

**STATE OF SOUTH CAROLINA
IN THE COURT OF APPEALS**

RECEIVED

APR 03 2018

**Appeal from Charleston County
Stephanie P. McDonald, Circuit Court Judge**

S.C. SUPREME COURT

Appellate Case No. 2014-002129

Indictment No. 2012-GS-10-6844-06845, 06846

STATE OF SOUTH CAROLINA,

Appellant,

v.

MARVIN BROWN,

Respondent.

RECORD ON APPEAL

**ALAN WILSON
Attorney General**

**SUSAN B. HACKETT
Appellate Defender**

**JOHN W. McINTOSH
Chief Deputy Attorney General**

**South Carolina Commission on Indigent Defense
Division of Appellate Defense
Post Office Box 11589
Columbia, South Carolina 29211-1589
803-734-1343**

**DONALD J. ZELENKA
Senior Assistant Deputy Attorney General
S.C. Bar No. 5758**

ATTORNEY FOR RESPONDENT

**South Carolina Attorney General's Office
P.O. Box 11549
Columbia, South Carolina 29211
803-734-6305**

**SCARLETT A. WILSON
Solicitor, Ninth Judicial Circuit
101 Meeting Street, Suite 400
Charleston, S. C. 29401**

ATTORNEYS FOR APPELLANT

INDEX

INDEX.....	i
MARCH 26, 2013 TRANSCRIPT.....	1
Testimony	
i. Jerome Fleming	8
ii. David Allen Brunson, Jr.	45
iii. Dendria Brunson.....	57
iv. Desmonte Goodwin	59
DECEMBER 10, 2013 TRANSCRIPT	102
MOTION TO SUPPRESS HEARSAY TESTIMONY OF VICTIM (MARCH 13, 2013)	117
MEMO IN SUPPORT OF MOTION TO SUPPRESS HEARSAY TESTIMONY (MARCH 25, 2013)	118
SOURCE CITATIONS IN RESPONSE TO DEFENSE MOTION TO SUPPRESS STATEMENT.....	131
ORDER GRANTING DEFENDANT’S MOTION TO EXCLUDE HEARSAY TESTIMONY OF DEFENDANT’S IDENTIFICATION (AUGUST 18, 2014).....	135
AUTOPSY REPORT.....	140
COURT’S EXHIBIT 1 – MEDICAL UNIVERSITY OF SOUTH CAROLINA RECORDS ...	153
COURT’S EXHIBIT 2 (B) – LINEUP AND ADMONITION FORM FOR LINEUP	672
COURT’S EXHIBIT 4 – AUTOPSY REPORT	675
COURT’S EXHIBIT 5 – COLOR LINEUP	688
INDICTMENTS	690

**THE FOLLOWING EXHIBITS ARE ON FILE WITH THIS COURT:
COURT’S EXHIBIT #2 (B) – LINEUP AND ADMONITION FORM FOR LINEUP
COURT’S EXHIBIT #3 (C) [AUDIO RECORDING]
COURT’S EXHIBIT #4 – AUTOPSY REPORT
COURT’S EXHIBIT #5 – COLOR LINEUP**

This page is intentionally left blank

STATE OF SOUTH CAROLINA) IN GENERAL SESSIONS COURT
COUNTY OF CHARLESTON) NINTH JUDICIAL CIRCUIT

STATE OF SOUTH CAROLINA,)
State,)
vs.) Case No.: 2012-GS-10-6844
MARVIN BROWN,) Case No.: 2012-GS-10-6845
Defendant.) Case No.: 2012-GS-10-6846

ORIGINAL

TRANSCRIPT OF PROCEEDINGS
SUPPRESSION HEARING
HEARD BEFORE JUDGE STEPHANIE McDONALD
ON MARCH 26, 2013

ROLAYNE M. VOLPE, CCR, RPR
Post Office Box 342
Summerville, South Carolina 29484

A P P E A R A N C E S

For the State:

CHAD SIMPSON
Ninth Circuit Solicitor's Office
O.T. Wallace Building
101 Meeting Street, Suite 400
Charleston, South Carolina 29401
(843) 958-1900

For the Defendant:

CODY GROEBER
MEGAN EHRLICH
Ninth Circuit Public Defender's Office
O.T. Wallace Building
101 Meeting Street, Fifth Floor
Charleston, South Carolina 29401
(843) 958-1850

Reported By:
Rolayne M. Volpe, CCR, RPR

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1	<u>I N D E X</u>	
2	DESCRIPTION	PAGE
3	PROCEEDINGS	4
4	WITNESS: JEROME FLEMING	
5	Direct Examination By Mr. Simpson	8
6	Cross-Examination By Ms. Ehrlich	19
7	Redirect Examination By Mr. Simpson	41
8	WITNESS: DAVID ALLEN BRUNSON, JR.	
9	Direct Examination By Mr. Simpson	45
10	Further Direct Examination By Mr. Simpson	67
11	Cross-Examination By Mr. Groeber	69
12	WITNESS: DAVID ALLEN BRUNSON, III	
13	Direct Examination By Mr. Simpson	49
14	Cross-Examination By Mr. Groeber	55
15	WITNESS: DENDRIA BRUNSON	
16	Direct Examination By Mr. Simpson	57
17	WITNESS: DESMONTE GOODWIN	
18	Direct Examination By Mr. Simpson	59
19	Cross-Examination By Mr. Groeber	61
20	REPORTER'S CERTIFICATE	102

21	<u>E X H I B I T S</u>	
22	DESCRIPTION	PAGE
23	*Court's Exhibit Numbers 1, 2, 3, and 4	5
24	*Court's Exhibit Number 5	30

25 *(Judge McDonald retained exhibits.)

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 The above-styled cause came on for hearing on
2 the 26th day of March, 2013, at 10:07 a.m., before Judge
3 Stephanie McDonald, Judge at Large, presiding over the
4 General Sessions for the County of Charleston, when the
5 following proceedings were had and entered of record, to
6 wit:

7 PROCEEDINGS

8 SUPPRESSION HEARING

9 (The Defendant is present in the courtroom and
10 assisted by his counsel.)

11 MR. GROEBER: I've got more materials, files,
12 if you want --

13 THE COURT: Sure.

14 MR. GROEBER: It's stuff that I E-mailed you
15 about. Really, the only thing that is any different is
16 the medical records --

17 THE COURT: Okay.

18 THE DEPONENT: -- which I tried to E-mail, but
19 were too big.

20 THE COURT: Yeah, we have trouble getting, too,
21 the downloads --

22 MR. SIMPSON: I think we're stipulating to all
23 of this.

24 THE COURT: Okay.

25 MR. SIMPSON: If we can just I.D. them as

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 Court's Exhibits?

2 THE COURT: Sure. We can mark them.

3 (Court's Exhibit Numbers 1, 2, 3, and 4 are
4 marked for identification.)

5 THE COURT: All right. Whenever everybody is
6 ready.

7 MR. SIMPSON: Thank you, your Honor. I believe
8 that State's going to begin with just taking some
9 testimony.

10 THE COURT: Sure.

11 MR. SIMPSON: The State calls former Detective
12 Jerome Fleming.

13 THE COURT: All right. Mr. Fleming, if you'll
14 come up and be sworn.

15 MR. GROEBER: Your Honor, sorry. Just for the
16 record.

17 THE COURT: Sure.

18 MR. GROEBER: The State, just kind of walk
19 through what we're doing?

20 THE COURT: You're certainly welcome to. Just
21 so y'all know for the record, I've reviewed what you've
22 sent in addition to poking around some law just for my
23 -- attempting to learn what the different splits of
24 opinions are on Crawford in dying declarations. But if
25 y'all want to just put a little bit -- tell me what your

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 issue is and what your motion is -- it's a Motion to
2 Suppress; correct?

3 MR. GROEBER: Correct, your Honor. A motion to
4 exclude identification and statements by the victim to
5 Detective Fleming specifically pursuant to Crawford, and
6 we'd argue also that it's hearsay. We also believe that
7 Neil versus Biggers would be an appropriate thing that
8 we should examine. I believe there are other statements
9 that the State would intend to introduce by the victim
10 to people other than Detective Fleming that we would
11 object. I think our basis for that would have to be
12 hearsay. I don't believe the confrontation clause
13 -- well, we'll -- we would argue that they would both
14 apply to that as well.

15 THE COURT: Okay. I mean, I looked at -- I
16 think more specifically 804(b)(2) and Crawford and just
17 the general case law. I think we had Justice Burnett's
18 opinion about the person who passed away 22 days after
19 he gave a declaration. So all of that is going to come
20 into play. But I will see what y'all tell me, first.
21 How about that?

22 MR. SIMPSON: Just for the Court's illumination
23 about the other statements, in speaking with the
24 victims' family this morning, just so -- there were some
25 further statements that the victim gave to his brother,

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of Jerome Fleming

1 I figured -- again, and I apologize to Mr. Groeber, they
2 just came to my attention this morning.

3 THE COURT: Okay.

4 MR. SIMPSON: I thought it would be easier, for
5 efficiency-wise, to get all that out this morning, and I
6 think the arguments are going to be similar for the --
7 whether it's for the exclusion or admissibility of --

8 THE COURT: Whatever y'all want to cover today,
9 we can. We can go as long as you need. We'll have to
10 break about 1:15 because I have an appointment at 1:30.
11 But we can pick back up and keep going from there.

12 MR. SIMPSON: And for planning purposes, I
13 think the testimony is going to be fairly -- I don't
14 think it will need to go past 1:15.

15 State calls Detective Jerome Fleming.

16 (The oath is administered by the Clerk of
17 Court, and the witness answers as follows:)

18 THE WITNESS: I do.

19 THE CLERK: Please have a seat and state your
20 name for the record, spelling your last name.

21 THE WITNESS: Jerome Fleming, F-l-e-m-i-n-g.

22 JEROME FLEMING,

23 having been first duly sworn by the Deputy Clerk of
24 Court to tell the truth, the whole truth, and nothing
25 but the truth, was examined and testified upon his oath

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of Jerome Fleming

1 as follows:

2 DIRECT EXAMINATION

3 BY MR. SIMPSON:

4 Q Mr. Fleming, good morning.

5 A Good morning.

6 Q Where do work currently?

7 A Currently I'm employed by the medical
8 university, MUSC, safety and security department.

9 Q And who is your former employer?

10 A The City of Charleston Police Department.

11 Q And were you working for the City of Charleston
12 Police Department in May of 2011?

13 A Yes, I was.

14 Q And what was your position?

15 A I was detective, assigned to the homicide unit.

16 Q And on -- were you also working in that
17 capacity in April of 2011?

18 A Yes.

19 Q On April 29th, 2011, do you recall taking a
20 statement that brings us here today?

21 A Yes, I do.

22 Q And have you reviewed your reports --

23 A Yes.

24 Q -- on that statement?

25 A Yes.

Reported By:
Rolayne M. Volpe, CCR, RPR

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of Jerome Fleming

1 Q Can you describe for the Court the
2 circumstances that -- that started your involvement in
3 this case?

4 A Started my involvement in the case. I think it
5 was the day after the shooting occurred that I was
6 assigned the case and given a briefing on the details of
7 the case, and I know that at that time, the victim,
8 himself, was at the medical university hospital in the
9 intensive care unit.

10 Q You didn't workup, so to speak, the actual
11 incident, but came in to follow up on some of the
12 points; is that a fair statement?

13 A That is correct. I did not respond to the
14 scene of the incident, no.

15 Q Okay. And in that capacity, did you go to the
16 medical university on April 29th, 2011?

17 A Yes, I did.

18 Q And about what time?

19 A Approximately noontime, on that day.

20 Q Okay. And I see you're referring to something
21 in front of you. Is that your report?

22 A This is the incident report -- that
23 supplemental report that I wrote.

24 Q Regarding the statement you took?

25 A Yes.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of Jerome Fleming

1 Q Can you describe for the Court what you
2 observed when you went to the medical university?

3 A Well, when I went into the Intensive Care Unit,
4 I think even before, I went into the unit, I made
5 contact with the victim's parents, the mother and
6 father, and -- which I believe the father informed me
7 that he had spoken with his son that night, and his son
8 was lucid and was able to communicate at the time,
9 regarding the shooting.

10 Q And did you then go into Mr. Goodwin's hospital
11 room?

12 A Yes, I did.

13 Q And you had some -- you audio recorded a good
14 portion of this statement; correct?

15 A Yes, I did.

16 Q Did you have some preliminary discussions with
17 the victim before the audio recording began?

18 A I did, yes.

19 Q And what was the nature of those conversations?

20 A The nature of the conversation was to convince
21 the victim to cooperate with me as far as the
22 investigation is concerned.

23 Q And did he express some hesitancy initially in
24 that regard?

25 A Yes, he did. It is common knowledge, as far as

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of Jerome Fleming

1 a lot of victims, out here, a lot of victims and
2 witnesses that are out here that -- subject to -- a
3 witness to crimes, living in a community, they don't
4 want to be identified as being an individual that
5 assisted police.

6 Q It's not looked upon favorably in some areas to
7 cooperate with the police; is that a fair?

8 A That is correct.

9 Q So describe the actual conversations prior to
10 the recording that you had with the victim.

11 A Well, it was pretty much he stated to me that
12 he didn't want to get involved. My comments to him was
13 that he's the victim here of this shooting, and very
14 fortunate at that time to say that -- to be alive. And
15 no matter, you know, the opinion of the people, that
16 there was no one out there really to come forward to say
17 that they witnessed this shooting, and he was the only
18 guy that could say -- we could say establish who the
19 perpetrator of the crime was and bring him to justice.

20 Q And following that conversation, did the victim
21 agree to provide you with a statement?

22 A Yes, he did.

23 Q Let's talk for a second -- and I realize you're
24 not a medical doctor, but what from your observation was
25 the condition and demeanor of the victim when you went

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of Jerome Fleming

1 to see him?

2 A Well, he was very weak, he was lying in this
3 bed in the Intensive Care Unit, and there were -- the
4 tubes and -- that were attached to his body. But unable
5 to really raise his hands or arms, per se, and speak
6 loudly.

7 Q And at one point you -- we'll get to this in a
8 second. But he needed to circle something on a piece of
9 paper; correct?

10 A Right, uh-huh.

11 Q And did you express anything about his ability
12 to use his hands in your report?

13 A Yes, I did. Which I did ask him to circle the
14 photograph that he pointed to, and he stated to me that
15 he was too weak to accomplish that task.

16 Q He stated he was too weak to even lift his hand
17 to circle?

18 A Right.

19 Q And, again, this is in the Intensive Care Unit
20 in the hospital?

21 A This is in the Intensive Care Unit.

22 Q So after this preliminary conversation
23 regarding his cooperation, you recorded this statement;
24 correct?

25 A Yes, I did.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of Jerome Fleming

1 Q And there's a moment in the recording where you
2 cease recording his statement?

3 A Yes.

4 Q And can you explain to the Court the purpose
5 -- the reasoning behind stopping the recording at some
6 point?

7 A The reason that I stopped the recording is
8 because I was standing next to his bed with the -- I had
9 a -- a hand-held digital recorder in my hand that I
10 placed up close to his mouth to be able to hear the
11 statement that was given. And in order for me to
12 -- after receiving his statement, in order for me to
13 show him the photo lineup, I -- I couldn't place
14 anything on his bed, and I didn't -- it's a small room
15 that we're in. And to say -- to have anything -- a desk
16 or anything there that I could utilize to put a recorder
17 and continue doing what I was doing, I saw fit to say
18 I'll just shut the recorder off. Utilize both my hands
19 to present -- I had to read an admonition statement from
20 the lineup to show to him and also get him to sign and
21 circle the picture on the lineup. So I needed both
22 hands.

23 Q Okay. So it was a matter -- you're in a
24 cramped hospital room, tubes and medical equipment --

25 A Yes.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of Jerome Fleming

1 Q You didn't stop the recording to -- so you
2 could suggest to him who to pick or anything like that,
3 did you?

4 A No. And his parents were present during that
5 whole time. So that was -- I had no reason to do
6 anything like that.

7 Q And he did -- eventually did sign -- did at
8 least mark a piece of paper with his hand?

9 A He did. He attempted to write his initials
10 -- or sign by the picture that he pointed to.

11 Q And is that weakness that we discussed earlier
12 indicative in that attempt to sign?

13 A Yes. Yes.

14 MR. SIMPSON: Your Honor, at this time, the
15 State would seek to publish what I believe has been
16 marked as Court's Exhibit 3, which is the audio.

17 MS. EHRLICH: No objection.

18 (Court's Exhibit Number 3 is being played for
19 the Court. Not transcribed for the record.)

20 (Time is 10:24 a.m. until 10:30 a.m.)

21 Q (By Mr. Simpson) So that's where you paused to
22 show him the lineup?

23 A Yes.

24 Q When we talk about the lineup, I know it's a
25 pretty basic question, but what are we talking about?

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of Jerome Fleming

1 A You're talking about what we consider a
2 six-pack. It will be six photos on the same sheet of
3 paper, consisting of six individuals with similar
4 qualities.

5 Q Okay. Was the Defendant, Marvin Brown, one of
6 the individuals in that six-pack?

7 A Yes, he was.

8 Q And do you recall why he was included as an
9 individual in that six-pack?

10 A He was included as one of the -- as the suspect
11 based on information we received from an anonymous
12 caller stating that Marvin Brown was the individual that
13 committed the crime.

14 Q And could you describe for the Court what
15 occurred while the recording was not running in this
16 case?

17 A Basically what I did was -- the photo lineup
18 itself is covered by an admonition sheet -- an
19 admonition form that was read to the victim or witness
20 where it's typically stapled onto -- on top of the
21 lineup covering it; and to see the lineup, the
22 investigator or detective would have to fold the sheet
23 back and present it to the victim.

24 MR. SIMPSON: May I approach the witness?

25 THE COURT: You may.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of Jerome Fleming

1 Q (By Mr. Simpson) Detective, I'm showing
2 you a copy of what's already been entered as Court's
3 Exhibit 2, I believe. Is that the lineup and the
4 admonition that we're referring to?

5 A Yes, it is.

6 Q Okay. And, again, we see some scrawls on the
7 front, the initialing, and whatnot. Is that the -- is
8 that the victim?

9 A It is from the victim, yes.

10 Q And does that indicate he understands your
11 admonition?

12 A Yes.

13 Q We also see something interesting that he's
14 written the word "pig" by Number 6?

15 A Yes.

16 Q Okay. Is that -- you asked him in the
17 recording, does this individual have a street name or
18 anything, and he said no. Do you recall where the --

19 A That came from where the victim, himself,
20 attempted to initial or sign the photo that he selected
21 by the Number 6. That would be -- instead of P-I-G, it
22 looks like maybe D-I --

23 Q So maybe I'm reading it wrong.

24 A Yeah.

25 Q Okay. Okay. Did -- did the victim -- when

State vs Marvin Brown
 Suppression Hearing, 3/26/2013
 DE of Jerome Fleming

1 shown the six-pack, select an individual?

2 A Yes. He pointed to Photo Number 6.

3 Q And he told you that -- while he didn't know

4 the person's name, he had known this person's face from

5 the neighborhood for quite some time?

6 A Yes, he did.

7 Q Did he express any hesitation or reluctance in

8 his I.D.?

9 A No.

10 Q Did he ever indicate to you, despite the

11 earlier hesitancy to cooperate, any doubt in that I.D.?

12 A No.

13 Q The six-pack?

14 Okay. And you didn't investigate, so to speak,

15 the incident or the underlying crime; correct?

16 A As far as the preliminary --

17 Q Yes.

18 A -- at the scene --

19 Q And things of that nature?

20 A I did not respond to the scene on the morning

21 of the incident, no.

22 Q But I believe in your follow-up, that we're

23 going to listen to in just a minute, you do indicate

24 that he got a pretty good look at the person that shot

25 him?

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of Jerome Fleming

1 A Yes.

2 MR. SIMPSON: Your Honor, at this time, we will
3 play the second half.

4 THE COURT: Okay.

5 (Playing Court's Exhibit Number 3 -- not
6 transcribed for the record, 10:34 a.m. to 10:37 a.m.)

7 Q (By Mr. Simpson) Detective, you testified
8 earlier that the victim was obviously very weak at the
9 time?

10 A Yes.

11 Q Had some difficulties using his hands?

12 A Yes.

13 Q All right. Is that -- I realize you're not a
14 doctor. Is his weak and his -- is his weakness and his
15 medical state apparent in his voice on that recording?

16 A Clearly.

17 Q As to the lineup, at any point, either previous
18 to the recording, during the recording, when the
19 recording is off, or later, did you do anything to
20 suggest to the victim the right answer, so to speak, on
21 identifying this individual from the lineup?

22 A No, I did not.

23 Q Any -- any pointing, any -- this is the guy we
24 think did it, or anything to that nature?

25 A No, I did not.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 MR. SIMPSON: Detective Fleming, please answer
2 any questions that the Defense has for you?

3 THE WITNESS: Sure.

4 THE COURT: Thank you. Cross-Examination.

5 CROSS-EXAMINATION

6 BY MS. EHRLICH:

7 Q Hi, Detective Fleming.

8 A Hi.

9 Q Are you still Detective Fleming?

10 A No, I'm not. I'm retired.

11 Q Okay. Mr. Simpson, asked you a little bit
12 about the condition of Mr. Goodwin, but you
13 interviewed -- you said you did interview him at the
14 hospital?

15 A Yes, I did.

16 Q To your recollection, was he -- he was not
17 under -- he didn't have a breathing tube at the time,
18 did he? He was able to speak?

19 A He was able to speak, yes.

20 Q And you indicated that he was able to
21 communicate with you about the case?

22 A Yes.

23 Q I believe you said that he was lucid and alert?

24 A Yes.

25 Q And I think we can hear from listening to the

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 audio, there are points where he was able to correct you
2 if you misheard something he said?

3 A Yes.

4 Q Okay. And the incident, itself, where he was
5 shot, that happened on the 26th?

6 A The exact date, I'm not 100 percent sure. I
7 know it was in the a.m., because I did not respond to
8 the scene where the incident occurred. I came in the
9 following day. Let's just say, it would be that same
10 day, at my normal time, and was given the case to follow
11 up on.

12 Q Your interview, though, was on the 29th?

13 A Interview was on the 29th.

14 Q And, to your knowledge, he had never -- he had
15 been in the hospital the entire time?

16 A Yes.

17 Q And I think you described, he was in a room
18 -- hospital room?

19 A He was in one of the Intensive Care Units.

20 Q Okay. And there was no restrictions for him as
21 far as phone use, to your knowledge? I'm just asking
22 you, to your knowledge.

23 A To my knowledge, no, but I would say as far as
24 his condition is concerned, prior to me meeting with
25 him, he wasn't able to utilize a phone.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 Q Well, you weren't -- you didn't see him at any
2 time until the 29th, though; right?

3 A I came there after he was at the hospital
4 during a time he was incubated.

5 Q Okay. You didn't write a report about that,
6 though; right?

7 A I didn't. I don't know. I don't recall.

8 Q But, to your knowledge -- your knowledge, there
9 was no restriction on -- he had visitors; right?

10 A Knowing how the system is at the medical
11 university, as far as the parents --

12 Q His parents were in there with him --

13 A -- would control the visitation, as far as the
14 patient is concerned.

15 Q To your -- I mean, when you got there, though,
16 he had visitors?

17 A His parents were there.

18 Q Okay. And I believe you said that they stayed
19 in the room while you interviewed him?

20 A Yes.

21 Q And you didn't ask them -- there was no point
22 where they left the room? They were in there with you?

23 A They were in there with me, yes.

24 Q Okay. And they were also present when you went
25 through the identification procedure with him?

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 A Yes, they were.

2 Q And your role, up until this point in the case,
3 was -- this was your first real assignment as far as
4 getting a statement from Mr. Goodwin?

5 A When you say "first real assignment"?

6 Q You hadn't interviewed any other witnesses at
7 that point?

8 A I was involved with the case, and there were
9 people that we had attempted to interview, which -- I
10 can't tell you who they all were. Right now I can't
11 really tell you who all the detectives were that had
12 their hand in this case.

13 Q Let me ask this a different way for you.
14 During your interview with him on that day, you didn't
15 have any conversations with him about whether or not he
16 talked to other people about this incident?

17 A That was my first time speaking with them.

18 Q Okay.

19 A Yes.

20 Q And you didn't ask him if he had been talking
21 to other people about the incident?

22 A No.

23 Q Okay. And you -- you didn't tell him about the
24 anonymous tip that came in?

25 A No.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 Q Okay. At the time that you worked this case,
2 you were an investigator with the city police?
3 A That is correct.
4 Q And as part of a police officer, you get
5 training on how to do your job?
6 A Yes.
7 Q They teach you how to investigate the case?
8 A Yes.
9 Q Interview witnesses?
10 A Yes.
11 Q Gather the facts?
12 A Yes.
13 Q Write reports?
14 A Yes.
15 Q Document your investigation?
16 A Yes.
17 Q And your job is to be complete, as far as what
18 you report?
19 A Yes.
20 Q You want to be accurate?
21 A Yes.
22 Q Because your documentation of your
23 investigation is going to be used by the prosecutor to
24 prosecute the case?
25 A That is correct.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 Q The Defense will have an opportunity to review
2 all of that?

3 A Yes.

4 Q When the case comes to court, you may review
5 your police reports?

6 A Yes.

7 Q And that helps you testify accurately?

8 A Yes.

9 Q And in addition to the training that you get on
10 reporting, or writing reports, you get training on how
11 to interview witnesses?

12 A Yes.

13 Q And, also, identification procedures?

14 A Yes.

15 Q So, like, for identification, that's important
16 because part of your duty is your interview a witness,
17 or victim?

18 A Yes.

19 Q You might interview suspects? As part of your
20 job when you were a police officer for the City of
21 Charleston?

22 A Yes.

23 Q And the reason you do that is to get
24 information about the case to possibly make an arrest?

25 A Yes.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 Q And, ultimately, the goal is to be able to
2 prosecute the case?

3 A Ultimately, yes.

4 Q And statements -- I think you don't work for CP
5 now, but CP goes as far as having, at the police
6 station, a room -- two rooms, I think, where you can
7 audio and video record interviews with witnesses?

8 A That is correct.

9 Q And when there's not an opportunity to do that,
10 you have the ability to do the audio recording? I
11 believe you described having a digital recorder?

12 A Uh-huh.

13 Q When you have that, it leaves no question as to
14 what somebody says during an interview when it's
15 recorded?

16 A That is correct.

17 Q Okay. And identifications are used the same
18 way statements are; you want an identification to be
19 able to make an arrest; right?

20 A Positive identification, yes.

21 Q And the arrest leads to an indictment, the
22 indictment leads to prosecution, and your goal is a
23 conviction?

24 A Ultimately, yes.

25 Q Okay. And so a positive identification will --

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 helps that case get to court?

2 A It does.

3 Q And at this point in your investigation, you
4 needed an identification because the only information
5 -- only thing that you had pointing to the Defendant's
6 involvement was an anonymous tip?

7 A That is correct.

8 Q And you even testified earlier about having to
9 convince him to talk to you -- that you needed that, you
10 needed him to be able to talk to you to be able to
11 prosecute the case?

12 A Yes.

13 Q Okay. While you were working with the City of
14 Charleston Police Department, you guys had like a policy
15 book to follow when you were working a case? Right?

16 A We have policies and procedures, yes.

17 Q Okay. And there's a section in there about
18 identifications? Do you remember?

19 A I will say, yes.

20 Q And the policy of -- of the police department
21 is to establish guidelines for the use of eyewitness
22 identifications whether or not it's a showup or a
23 photographic lineup?

24 A Uh-huh.

25 Q And I believe the policy says it is critical

State vs Marvin Brown
 Suppression Hearing, 3/26/2013
 CE of Jerome Fleming

1 that the identification be done in a nonsuggestive
 2 manner and be supported by written documentation?

3 A Uh-huh.

4 Q And that it is incumbent upon the investigator
 5 to ensure the lineup is shown in a nonsuggestive way?

6 A Yes.

7 Q And one of the policies is that the suspect
 8 doesn't stand out from the other photographs used?

9 A Yes.

10 Q And so the filler photographs are people who
 11 are not suspects, that are put into the lineup for
 12 purposes of creating a lineup for somebody to view, and
 13 you have guidelines on what fillers are supposed to be?

14 A Yes.

15 Q And so I believe that the policy would be that
 16 they reasonably resemble the suspect?

17 A That is correct.

18 Q And at the time that the lineup was put
 19 together in this case, you knew who the suspect was, and
 20 that's how the lineup was put together?

21 A Yes.

22 Q At any point, did you review the lineup to make
 23 sure that the Defendant did not stand out among the
 24 fillers?

25 A Yes, I did receive the lineup and looked at it,

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 yes.

2 Q Okay.

3 A To ensure that the -- the Defendant was
4 present in the lineup.

5 Q You reviewed that to make sure he was present
6 in the lineup?

7 A In the lineup.

8 Q But you didn't review it to make sure he didn't
9 stand out among the fillers?

10 A You always look at your lineup -- let me say
11 this, I didn't make the lineup. I asked my intelligence
12 unit at the time to -- gave them the name, and they
13 supplied me with the lineup.

14 Q Okay.

15 A Okay.

16 Q Prior to showing it to Mr. Goodwin, did you
17 review it to make sure that he did not stand out among
18 the fillers?

19 A Yes, I did.

20 Q Okay. And do you have a copy of the lineup
21 here?

22 A Right here before me.

23 MS. EHRLICH: Is that -- has that already been
24 put in?

25 MR. SIMPSON: Yes, I think so. Court's 2.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 THE COURT: I have it.

2 THE WITNESS: I have the original, yes.

3 MS. EHRLICH: Your Honor, at this time, because
4 we have an identification issue, I'd like to admit the
5 color version as well.

6 MR. SIMPSON: No objection. That's just the
7 original, so I'd like to be able to hang on to it for
8 trial.

9 THE COURT: Sure.

10 MR. SIMPSON: But no objection.

11 THE COURT: Why don't we do this, can we mark
12 it, and then let Mr. Simpson have it back, and we'll
13 substitute this one with the understanding that that is
14 the exhibit, the color one is going to come in -- we can
15 get another copy of the color one if we need to.

16 MR. SIMPSON: Yes, your Honor, that's -- that's
17 fine.

18 THE COURT: However, y'all want to handle it.

19 MR. GROEBER: I just want to make sure that it
20 survives -- the color copy survives.

21 THE COURT: Sure.

22 MR. GROEBER: We can later get a color copy,
23 and we can get a --

24 THE COURT: Sure.

25 MR. SIMPSON: I'll save the original.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 THE COURT: Okay.

2 MR. GROEBER: But I prefer that we have a color
3 copy that is an exhibit.

4 THE COURT: I think that makes more sense.

5 MR. GROEBER: Not that I don't trust Mr. --

6 THE COURT: I know that's not what it is. It's
7 more of an issue of something happening to it. Which is
8 why our very kind court reporter normally doesn't let me
9 have originals or things like that. But we'll make sure
10 we have a color copy, if we need to have that. Or the
11 color original, whichever.

12 (Discussion is held off the record between the
13 Judge and the Court Reporter.)

14 THE COURT: Do y'all want to leave this as 2,
15 and just make that Court's 5?

16 MS. EHRLICH: That's fine.

17 (Original Six-Pack Lineup is marked as Court's
18 Exhibit 5 and admitted.)

19 Q (By Ms. Ehrlich) Detective Fleming, just to
20 clarify the record, what's been marked as Court's 5,
21 this is the original copy of the identification?

22 A Yes, it is.

23 Q And that contains the colored photographs?

24 A Yes, it does.

25 Q And this is the actual lineup that was shown to

State vs Marvin Brown
 Suppression Hearing, 3/26/2013
 CE of Jerome Fleming

1 Mr. Goodwin at the hospital?

2 A Yes.

3 Q Those photographs you just reviewed to make

4 sure that your suspect at the time was in there --

5 A Uh-huh.

6 Q -- you didn't do any review to see if they were

7 similar complexion or age or anything like that?

8 A Yes, I did.

9 Q Okay. You did?

10 A Did I -- you asked me did I look at the photo

11 lineup to make sure it was similar?

12 Q I'm sorry?

13 A You asked did I look at the photo lineup to

14 ensure that the photographs were similar?

15 Q Right.

16 A Yes, I did.

17 Q And about two minutes ago, before we marked

18 that as Court's Exhibit 5, do you remember stating here

19 in court, that you only reviewed it to make sure the

20 suspect was in there --

21 MR. SIMPSON: Your Honor, I apologize for the

22 interruption. But he testified that he -- that is not

23 how he testified.

24 THE COURT: I didn't hear the testimony exactly

25 that way. So let's rephrase your question.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 MS. EHRLICH: Okay.

2 Q (By Ms. Ehrlich) Did you review the
3 photographs to see if they resembled the suspect?

4 A Yes.

5 Q How did you review them?

6 A Looked at the photographs to ensure that it did
7 include my suspect.

8 Q Okay.

9 A Okay. And to ensure that the photos were
10 similar.

11 Q Okay. Did you show the -- the lineup to any
12 sort of officer uninvolved with the case and have them
13 review the lineup?

14 A No.

15 Q And I think you testified earlier -- or we
16 heard in the interview, that you -- before you showed it
17 to him, you asked him if he had any problem picking the
18 person out of a photo lineup?

19 A Yes, I did ask him that question.

20 Q Okay. And on the recording, you go through
21 most of the admonition form, but I think that you don't
22 go all the way through the form; correct?

23 You don't ask him, upon reviewing these photos,
24 do not discuss the results or this procedure with other
25 victims or witnesses? That's not on the --

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 A I did not say that on the recording, no.

2 Q On the recording, though, you did say in front
3 of him, I believe several times, you called the suspect
4 by the name Marvin?

5 A Yes.

6 Q And I believe also in front of him you said
7 that he had made a positive identification?

8 A In my closing statement in the recording, yes.

9 Q Okay. And Mr. Simpson already asked you
10 whether or not -- kind of went over that you didn't
11 record the entire conversation with Mr. Goodwin, and
12 that included the identification portion of the
13 interview?

14 A That is correct. When I showed the -- I turned
15 the recorder off when I showed the photo lineup.

16 Q Okay. And in your police report, you don't
17 -- or on the admonition form, part of what you do on the
18 admonition form is there's a section for notes; right?

19 A Yes.

20 Q And that's where you would maybe indicate
21 details of the identification? For example, how certain
22 somebody was or how certain somebody was about a
23 identification?

24 A I asked that question on the recording --

25 Q Okay.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 A -- whether or not he was positive.

2 Q Okay. You asked that when he wasn't being
3 recorded; right?

4 I think that's what's in your police report?

5 A Possibly when it wasn't being recorded, was he
6 sure about the identification, yes.

7 Q Okay. And the interview, like we said, took
8 place on the 29th; do you remember what day you actually
9 wrote the report?

10 A On the 29th, I believe.

11 Q Would reviewing your report refresh your memory
12 as to the date you wrote the report?

13 A I'm looking at it now. Shows the 4th, 5-4.

14 Q So May the 4th?

15 A Uh-huh.

16 Q All right. By the time you interviewed
17 Mr. Goodwin, you did have some knowledge about the case?
18 For example, you knew there had been a tip about the
19 Defendant?

20 A Yes.

21 Q I think you also knew that there were certain
22 things in evidence, so during the interview process, you
23 correct him and said the cell phone -- the police have
24 your cell phone; they weren't taken during the robbery?

25 A I believe so, yes.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 Q Okay. And as far as your -- your knowledge of
2 the Defendant, you have -- you had -- had knowledge of
3 him from prior investigations, I believe?

4 A Yes.

5 Q And so you -- you knew more about him than you
6 would maybe the average suspect in a case you or case we
7 don't know anything about? You had investigated him
8 before?

9 A Repeat the question.

10 Q He had been investigated by you before?

11 A By other investigators that had cases.

12 Q Okay. So you knew his name?

13 A I knew his name, yes.

14 Q Despite knowing his name and knowing something
15 about the case, you still were the person who took the
16 statement from Mr. Goodwin and did the lineup?

17 A Yes.

18 Q No other officers were brought in or involved
19 with the lineup, as far as showing it to Mr. Goodwin?

20 A No other officers showed Mr. Goodwin this
21 lineup, no.

22 Q And no other officer witnessed the lineup
23 procedures?

24 A No. His mother and father were there when I
25 did that.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 Q I want to shift gears a little bit about the
2 statement. When you first interviewed him, he said that
3 he did not know who shot him?

4 A Yes, he did.

5 Q I think he said he did not know him at all? Or
6 he responded to your questioning, you don't know him at
7 all?

8 A He said he did not know his name.

9 Q First, though, he said he didn't know him at
10 all; right?

11 A Yes.

12 Q Okay. And then during the course of the
13 interview, that's when he said that he knew him by sight
14 but not by name?

15 A Yes.

16 Q Was there a conversation when the recording
17 wasn't going on, where there was a discussion about his
18 name?

19 A No. I would say that, for the most part, that
20 name came out of my mouth.

21 Q Okay.

22 A Okay.

23 Q So Marvin Brown came from you?

24 A Came from me. Because --

25 Q Before, y'all don't call him that. After that

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 identification, I think you referred to him as Marvin
2 several times?

3 A Right. Right.

4 Q Your report was written on May the 4th, and I
5 believe in your report you said that you saw and
6 interviewed Mr. Goodwin around 12:10?

7 A Yes.

8 Q But from listening to the recording -- of
9 the recording, you said that you interviewed him at
10 10:12 a.m.?

11 A You said 10:12?

12 Q I believe that's what --

13 A I know I heard that on the recording, saying
14 time, and I may have gotten the time --

15 Q Wrong?

16 A -- wrong on the paper saying it's 12:10. It
17 should have been 10:12.

18 Q I think also in your report, in your narrative,
19 you don't include anything about him initially denying
20 who the shooter was?

21 A No, I did not put that in there. No.

22 Q We know from listening to the recording that he
23 did deny knowing who the shooter was at the beginning of
24 the interview?

25 A He denied knowing his name.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 Q Okay. Also in your report you indicated that
2 the subject approached him and asked him did he have his
3 -- did he have a problem, stating he always does this
4 when he's been drinking. Do you remember writing that?

5 A Yes.

6 Q In the recorded statement, though, you say
7 something along the lines, you ask him, what does it
8 mean when he said do you have a problem? And
9 Mr. Goodwin says, that he doesn't know that boy be drunk
10 up. He didn't say he's always drunk; right?

11 A I believe his statement to me was: He's always
12 doing that when he's drunk.

13 Q Okay.

14 A I'm trying to recall.

15 Q In your police report, you also indicated that
16 he stated that the person took money from him?

17 A Yes.

18 Q But when you listen to the recorded interview
19 today, did it refresh your memory when he said that he
20 wasn't sure because he didn't know where his pants were?

21 A He informed me that he had \$120 in his pants.
22 And he wouldn't know where his pants were because they
23 were taken in, as far as evidence was concerned.

24 Q Okay. But from listening to the audio today,
25 you heard him say that he wasn't sure if the money was

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 taken?

2 A He did say that, yes.

3 Q The first -- you talked to him first without
4 recording, and that was to convince him to talk to you?

5 A Yes, to cooperate with me.

6 Q Say again.

7 A To cooperate with me.

8 Q Okay. And then you record -- start recording
9 the interview with him?

10 A Yes.

11 Q But you don't record the part where he makes
12 the identification?

13 A And I gave reason why.

14 Q Yeah.

15 A You see how weak his voice was? I was holding
16 the recorder with one hand to his mouth. It was going
17 to be difficult for me to now show him a present -- a
18 photo lineup to him and show him the photo lineup.

19 Q His parents were in the room; correct?

20 A They were, yes.

21 Q Okay. And it was -- you were at MUSC. So I'm
22 sure that there were nurses and doctors throughout the
23 building?

24 A Yes.

25 Q Okay. In your report, you don't document how

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Jerome Fleming

1 long you turned the audio recorder off, do you?

2 A Long enough to show him the photo lineup.

3 Q Okay --

4 A And to try to get him to sign it.

5 Q You don't, when you turn the recording back on,
6 state that it's now this time, and I'm starting back
7 with the interview?

8 A No, I did not.

9 Q And once you start recording again, you do not
10 ask him any questions about how positive he was about
11 the identification? You move on to additional details
12 about the incident?

13 A Yes.

14 Q And you did not record the portion where I
15 think you probably revealed to him the suspect's name,
16 Marvin?

17 A That came out during the conversation with him,
18 because he had been identified in the photo lineup, and
19 that was my slip by using his name.

20 Q Okay. All these things that we're talking
21 about now, that wasn't recorded by you?

22 A The --

23 Q The name; the discussion about how positive he
24 was about the lineup; none of that was recorded?

25 A I don't believe so.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
RD of Jerome Fleming

1 Q Okay. And was it you who -- and it's you at
2 the end of the tape who says this is a positive
3 identification of the suspect?

4 A Yes.

5 MS. EHRLICH: Court's indulgence?

6 THE COURT: Sure.

7 (Ms. Ehrlich speaks with Mr. Groeber off the
8 record.)

9 MS. EHRLICH: I don't have any other questions,
10 your Honor.

11 THE COURT: Okay.

12 Yes, sir?

13 REDIRECT EXAMINATION

14 BY MR. SIMPSON:

15 Q Detective Fleming, in that recording you use
16 the name Marvin?

17 A Uh-huh.

18 Q And that was after the positive I.D. from the
19 six-pack; correct?

20 A Yes.

21 Q At no time -- at any time prior to the showing
22 of the six-pack, did you suggest the name Marvin to the
23 victim?

24 A No, I did not.

25 Q You took the recording that you took, and you

State vs Marvin Brown
Suppression Hearing, 3/26/2013
RD of Jerome Fleming

1 put it into evidence; correct?

2 A Yes, I did.

3 Q And that's partially so it can also be
4 available to the Defendant?

5 A Yes.

6 Q So when you end the recording, the victim says
7 something like the phrase, drunk up? They're going to
8 hear that on the recording; right?

9 A Yes.

10 Q And you put in your report that the Defendant
11 was drunk all the time, and you phrased it slightly
12 differently; is that correct?

13 A Right.

14 Q But the recording, it's in evidence, and that's
15 going to be available?

16 A Yes.

17 Q Initially, Ms. Ehrlich asked you some questions
18 about investigating cases generally. And how your goal,
19 of course, is to apprehend the right person?

20 A That's correct.

21 Q And hopefully have your investigation lead to a
22 successful prosecution?

23 A Yes.

24 Q It is also a motive of yours in investigating a
25 crime, to take a dangerous person off the street?

State vs Marvin Brown
 Suppression Hearing, 3/26/2013
 RD of Jerome Fleming

1 A Yes.

2 Q And in a case like this, where I think
 3 Ms. Ehrlich very adequately covered, you needed that
 4 information to take -- from the victim was -- your main
 5 basis of taking someone off the street; correct? Who
 6 you believe is dangerous?

7 A And the person that committed the crime against
 8 him, yes.

9 Q Right. Because it's not a good thing for
 10 Charleston to have a person with a -- possibly a gun
 11 rooming around who's shown the capability of doing that?

12 A That is correct.

13 Q So in the investigation like this, is there
 14 also a motivation of public safety behind investigating
 15 a crime and apprehending a person?

16 A Yes.

17 Q Again, you put the recording into evidence?

18 A Yes.

19 Q But he does initially say to you, do you know
 20 him, and the victim responds no. But then through
 21 follow-up questions, he realizes, I don't know his name,
 22 but he, in fact, does know his face from the
 23 neighborhood --

24 A That is correct.

25 Q -- is that accurate?

State vs Marvin Brown
Suppression Hearing, 3/26/2013
RD of Jerome Fleming

1 Similarly, he expresses his phone may have been
2 missing from his pants pocket?

3 A Yes.

4 Q Follow-up questioning you -- he explains to you
5 he had camos with several pockets on it, and he notices
6 his pockets were gone through by the Defendant, is a
7 little unsure as to what items specifically were taken
8 and what were left?

9 A That is correct.

10 MR. SIMPSON: Nothing further, your Honor.

11 THE COURT: Sir, you may step down.

12 THE WITNESS: Thank you.

13 MR. SIMPSON: Your Honor, may Detective Fleming
14 be excused?

15 THE COURT: Any objection?

16 MS. EHRLICH: No objection.

17 THE COURT: You may leave.

18 THE WITNESS: Thank you.

19 THE COURT: Yes, sir.

20 (The witness is excused.)

21 MR. SIMPSON: Your Honor, at this time the
22 State calls David Brunson, Sr. [sic].

23 THE COURT: Mr. Brunson, if you will come
24 forward and be sworn.

25 (The oath is administered by the clerk of

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of David Brunson, Jr.

1 Court, and the witness answers as follows:)

2 THE WITNESS: I affirm to tell the truth as God
3 is my witness.

4 THE CLERK: Please have a seat. State your
5 full name, spelling your last name for the record?

6 THE WITNESS: David A. Brunson, Jr.

7 DAVID ALLEN BRUNSON, JR.,

8 having been first duly sworn by the Clerk of Court to
9 tell the truth, the whole truth, and nothing but the
10 truth, was examined and testified upon his oath as
11 follows:

12 DIRECT EXAMINATION

13 BY MR. SIMPSON:

14 Q Mr. Brunson, good morning.

15 A Good morning.

16 Q I'm sorry for the reasons we're here today.
17 What was your relationship to Davon Goodwin?

18 A I'm the grandfather.

19 Q And did you have a close relationship with him?

20 A Yes.

21 Q Did you spend a lot of time with him in his
22 life?

23 A Yes.

24 Q And what were the kind of things you like to do
25 together?

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of David Brunson, Jr.

1 A Well, they would -- I like to get all my
2 grandkids at the house, you know, let them play and
3 whatnot, and I would load up all my boys -- grandkids,
4 grandsons, and ride them around, and eventually get all
5 of them in the car, the girls too, and ride them too,
6 but mainly be the boys.

7 Q So you would ride around killing time in the
8 car; that's something you would do?

9 A Yeah.

10 Q And did you do that with Davon?

11 A Oh, yeah.

12 Q Did Davon -- did you speak with Davon while he
13 was in the hospital?

14 A Yes, I did.

15 Q You went to visit him?

16 A Yes.

17 Q Spend a good amount of time with him?

18 A Yes.

19 Q At any time did you have a conversation with
20 Davon specifically about these rides that you guys used
21 to take?

22 A Yeah.

23 Q And, if you could, just describe for the Court
24 that conversation that you had with Davon?

25 A Well, there was -- we had more than one, but

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of David Brunson, Jr.

1 one particular that hit me the hardest was -- he was
2 laying up there. . .

3 And I can't do this.

4 Q I'm sorry. Take as much time as you need,
5 Mr. Brunson. I know this is incredibly hard.

6 (Pause in proceedings.)

7 THE COURT: Do we need to take a break for a
8 few minutes?

9 MR. SIMPSON: I just have one question for him.
10 I think it will be easier if we just. . .

11 THE COURT: Okay.

12 Q (By Mr. Simpson) Mr. Brunson, if you are
13 ready, if you can just describe that conversation that
14 you had with Davon, and that will be the last question I
15 have for you today.

16 A I was sitting there holding his hand, and we
17 were talking about the times we had, and the subject
18 came up about us riding around, and -- he would always
19 sit on the front, near the door on the passenger side.
20 And he said he wouldn't be able to ride with me, and
21 that my other grandson Kaleem (phonetic) would have his
22 seat. Because him and Kaleem, they would always fight
23 over the front seat.

24 Q And did you take that to mean that he was
25 fearful he may not ever have the opportunity to take

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of David Brunson, Jr.

1 these rides with you again?

2 A Yes.

3 Q Did he also apologize to you for some of the
4 decisions that he had made in his life?

5 A Yes, he did.

6 MR. SIMPSON: Your Honor, I have no further
7 questions.

8 Please answer any questions that the Defense
9 might have for you, Mr. Brunson.

10 MR. GROEBER: I have no questions for
11 Mr. Brunson. Thank you.

12 THE COURT: All right. Sir, you may step down.
13 (The witness is excused.)

14 MR. SIMPSON: State calls David Brunson, Jr.
15 [sic].

16 THE CLERK: Please raise put your left hand
17 here raise your right hand.

18 (The oath is administered by the Clerk of
19 Court, and the witness answers as follows:)

20 THE WITNESS: Yes.

21 THE CLERK: Please have a seat, state your full
22 name, spelling your last name for the record.

23 THE WITNESS: David Allen Brunson, III.

24 DAVID ALLEN BRUNSON, III.,

25 having been first duly sworn by the Clerk of Court to

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of David Brunson, III

1 tell the truth, the whole truth, and nothing but the
2 truth, was examined and testified upon his oath as
3 follows:

4 DIRECT EXAMINATION

5 BY MR. SIMPSON:

6 Q Good morning, Mr. Brunson.

7 A Good morning.

8 Q What was your relationship to Davon Goodwin?

9 A That's my son.

10 Q Okay. And did you spend time with him during
11 his hospital stay, 2011?

12 A Yes.

13 Q Could you describe for the Court -- we realize
14 you're not a medical doctor. Could you describe for the
15 Court generally his condition during the hospital stay.

16 A When I got there that morning he was still in
17 surgery, and I waited. You know, I spoke to the doctor
18 -- the doc spoke to me right after he came out of
19 surgery, and then -- then they brought him out, and his
20 head was swollen real -- real big, his feets, and hands
21 were real big, his stomach was cut from here, all the
22 way down, wide open, and his legs were swollen real big
23 from the gunshot going in the inner thigh, tore up all
24 his inner organs -- his small kidneys -- I mean, his
25 small intestines, his liver, all that was damaged. And

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of David Brunson, III

1 the doc pretty much told me, you know -- you know, we
2 -- we did what we can do, but, you know, I'm -- I'm just
3 telling you, it don't look like he may make it, but, you
4 know -- and it's because the small intestines got
5 damaged real bad. And what they went in and did, they
6 tried to fix it. And for right now he's stable, but any
7 moment, it can be bad for him, so, you know.

8 Q So obviously as a father you're having
9 conversations with the doctors?

10 A Uh-huh.

11 Q And the surgery?

12 A Uh-huh.

13 Q Correct me if I'm wrong, but they conveyed to
14 you that it went as well as could be expected?

15 A Best they can do. They said they couldn't do
16 surgery again because that was it, because of the damage
17 and stuff, and he wouldn't be strong enough for them
18 after this if anything happened, that was just it, they
19 couldn't do anything else for him.

20 Q But they also made a point to apprise the
21 family that with these kind of injuries --

22 A Uh-huh.

23 Q -- hope for the best, prepare for the worst; is
24 that a fair enough statement?

25 A Yeah.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of David Brunson, III

1 Q What was Davon's demeanor following surgery?

2 A Almost like a -- a child just happy to see his
3 parents, because he know -- he know I'm dad, I'm going
4 take care of him, you know. You know, more like, for
5 example, like if your child got in a fight at school or
6 beat up with a gang and be happy to see their parents
7 when they see them, because they scared or whatever, you
8 know. So he was pretty much scared, you know, in the
9 dark -- he was in a deep stare, and always want you to
10 hold his hand, and you know.

11 Q As a father, is it fair to say that you
12 understood him to be afraid?

13 A Yeah. Yeah. He was -- he was -- he was
14 scared.

15 Q And then, you know, he was a young man;
16 correct?

17 A Uh-huh.

18 Q And in life before this incident, would he
19 often hold your hand or hold other peoples' hands?

20 A No. He -- no, he don't hold your hand. He was
21 mostly like -- you know strong, and, you know, real
22 playful. You know he liked to play, like -- like, you
23 know, my little nephew and them, you know, he just liked
24 to play with his little sisters and nephews and
25 everybody, you know. Like you heard my dad say, they

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of David Brunson, III

1 fight over the front seat. He still was a kid even
2 though he was big. He's like my size, a little bigger.
3 He ain't holding your hands.

4 Q But throughout this hospital stay, he'd often
5 like to be holding somebody's hand?

6 A Yes.

7 Q And as your father, you took that to mean he
8 was afraid?

9 A Yeah.

10 Q You mentioned briefly about the stares. Can
11 you tell the Court a little bit more about that, the
12 staring?

13 A We would be around and, you know, trying to
14 -- me and his mother or whoever we let come in the room,
15 because, you know, no one can come in and see him, not
16 unless we said they could come in and see him. The
17 visitation wasn't allowed. And -- and, you know, when
18 they do come in, he would just -- you know, be looking
19 in the corner, like -- like in a deep stare, and, you
20 know, while holding his hand or whatever. Try to talk
21 to him. Keep -- he was just scared, man. You know, I
22 would be too. But. . . yeah.

23 Q All right. Is there anything else you can
24 share with us about his condition?

25 A When -- when I got there, you know, like

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of David Brunson, III

1 -- after I spoke to the doctors and everything, you
2 know, he -- like -- like they told me, he was real tired
3 and everything, and the detective came, and they talked
4 and everything to -- to me. Asked me could they go in
5 and see him. You know, nobody could go in and see him.
6 And he asked, could he talk to him; and I was, like, you
7 know, he's asleep right now. But the doctors they gave
8 him some type of medicine to where if they took it off,
9 he could wake up. Because he was in so much pain, you
10 know. Stomach was bust wide open and his intestines
11 swollen, so it was hanging out. So -- so they were able
12 to like -- like if -- if you needed to talk to him, they
13 can pinch it where the medicine would stop going in, and
14 he can wake up. But they wouldn't let him stay up. It
15 wasn't like -- he could just stay up for an hour or so
16 like that, because he was in too much pain, they would
17 let it go back so he would go back to sleep and stuff
18 like that.

19 Q And that was just following his surgeries?

20 A Right. Right.

21 Q And it was during that time, just following
22 surgery, that the detectives came?

23 A The detective came in, you know, because we
24 didn't know how -- we -- what his state was going to be
25 in, and, you know -- like the doctor said, he can just

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of David Brunson, III

1 go backwards any second, you know. And so -- I had them
2 let him wake up to talk to the detective, you know.

3 Q And just briefly, you're in the room when the
4 detective came and took that statement?

5 A Yeah.

6 Q Did the detective at any time during that
7 interview feed your son the answer to the question?

8 A No. He -- as a matter of fact, he never even
9 mentioned that he had an anonymous call come in. He
10 never even told us that. You know, our understanding
11 was they didn't have any suspects -- I mean, they
12 -- they had people that said things, but they were
13 scared of this guy right here because he do so much in
14 the community, and always get in a lot of trouble, so
15 they was kind of scared, because they'd say he'd come
16 back and he'd do this and he'd do that. So that was
17 more the case of -- of -- a lot of the fear that Davon
18 had, but, you know -- like -- like he told him, you
19 know, you don't have to worry about nobody coming back
20 to do nothing to you when you do the right thing. You
21 know, you have to -- you have to speak when people do
22 things to you, you know.

23 Q But at any time, did they suggest to Davon who
24 out of the six-pack was the right person or who he
25 should be looking for?

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of David Brunson, III

1 A No.

2 Q And, in fact, you didn't even know that a
3 suspect --

4 A No, I don't even know that guy. I've never
5 seen him. I don't know him.

6 MR. SIMPSON: That's all I have for you.

7 Please answer any questions that Defense has.

8 THE WITNESS: All right.

9 MR. GROEBER: Could I have one moment, your
10 Honor?

11 THE COURT: Sure.

12 (Pause in proceedings.)

13 MR. GROEBER: Just a few questions,
14 Mr. Brunson.

15 CROSS-EXAMINATION

16 BY MR. GROEBER:

17 Q I think that when you were describing your
18 son's condition that his head was swollen, his legs were
19 swollen, that was right after he had surgery; right?

20 A Yeah. But that lasted a while. It didn't go
21 down in one day.

22 Q Okay. That was on the 26th, though, that you
23 talked to the doctor at that time?

24 A I don't recall the dates.

25 Q Was it right after his surgery?

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of David Brunson, III

1 A Yeah. I got there that morning when he was in
2 surgery, as I stated, and -- and I spoke to the doctors
3 when he came out of surgery. He was in the room -- he
4 was still down, but they told me that, you know -- they
5 could, you know, wake him up whenever they can with
6 the. . .

7 Q And do you remember the detectives coming one
8 time to see if they could get a statement from Davon,
9 and they were unable to because he was still recovering
10 from the surgery?

11 A No.

12 Q Okay.

13 A It was only one detective. The detective that
14 just left.

15 Q Okay. So it's your testimony he only came the
16 one time that he gave the statement?

17 A That's the only time I saw him.

18 Q So it's possible -- it's possible he could
19 have come another time and you didn't see him or speak
20 with him?

21 A Couldn't get around us, because they had to ask
22 to come in the room.

23 MR. GROEBER: Okay. Could I have a moment,
24 your Honor?

25 THE COURT: Uh-huh.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of Dendria Brunson

1 (Mr. Groeber speaks with Ms. Ehrlich off the
2 record.)

3 MR. GROEBER: I have no further questions.
4 Thank you.

5 THE COURT: Okay. Anything further.

6 MR. SIMPSON: No, your Honor.

7 THE COURT: Sir, you may step down.

8 (The witness is excused.)

9 MR. SIMPSON: State calls Dendria Brunson.

10 THE CLERK: Please put your left hand here and
11 raise your right hand.

12 (The oath is administered by the Clerk of Court, and the
13 witness answers as follows:)

14 THE WITNESS: Yes.

15 THE CLERK: Please have a seat, state your full
16 name, and spell your last name for the record.

17 THE WITNESS: Dendria Brunson, B-r-u-n-s-o-n.

18 DENDRIA BRUNSON,

19 having been first duly sworn by the Clerk of the Court
20 to tell the truth, the whole truth, and nothing but the
21 truth, was examined and testified upon her oath as
22 follows:

23 DIRECT EXAMINATION

24 BY MR. SIMPSON:

25 Q What was your relationship to Davon?

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of Dendria Brunson

1 A My brother.

2 Q And I'll keep this very brief. Did you spend
3 time up at the hospital with Davon --

4 A Yes.

5 Q -- while he was up there?

6 A Yes.

7 Q Did you know Davon in life, prior to incident,
8 to be a really emotional crying-type person?

9 A No.

10 Q Did you see him cry a lot?

11 A No.

12 Q Did you ever see Davon cry?

13 A No.

14 Q Okay. I know this is hard to talk about. But
15 when you spent time with Davon in the hospital following
16 his surgeries, did you see him cry?

17 A Yes.

18 Q All right. Was it frequently?

19 A Not a lot. He -- when I used to wipe his face
20 and stuff, I would see tears fall. I don't think he
21 wanted us to see him crying. But he used to always --
22 seemed tired, and he was ready to go home.

23 Q Okay. And so at times, you did witness -- he
24 tried to hide from you, but he did -- you did see tears
25 from Davon in the hospital?

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of Desmonte Goodwin

1 A Yes.

2 Q Was that an unusual thing for you to see?

3 A Yes.

4 MR. SIMPSON: I have nothing further.

5 MR. GROEBER: I have no questions, your Honor.

6 THE COURT: Okay. Ma'am, you may step down.

7 (The witness is excused.)

8 MR. SIMPSON: Your Honor, the final witness
9 would be Desmonte Goodwin.

10 THE COURT: Okay. Sir, if you will step up.

11 (The oath is administered by the Clerk of
12 Court, and the witness answers as follows:)

13 THE WITNESS: Yes, ma'am.

14 THE CLERK: Please have a seat and state your
15 name for the record, spelling your last name.

16 THE WITNESS: Desmonte Goodwin, G-o-o-d-w-i-n.

17 DESMONTE GOODWIN,

18 having been first duly sworn by the Clerk of the Court
19 to tell the truth, the whole truth, and nothing but the
20 truth, was examined and testified upon his oath as
21 follows:

22 DIRECT EXAMINATION

23 BY MR. SIMPSON:

24 Q Mr. Desmonte, what was relationship to Davon?

25 A My big brother.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
DE of Desmonte Goodwin

1 Q Did you look up to him?

2 A Yes, something like that. We come up at the
3 same times, coming up.

4 Q Did you go visit him while in the hospital
5 following the incident?

6 A Yeah, I went with him all the time.

7 Q You spent a lot of time with him?

8 A (The witness moves head up and down.)

9 Q Can you explain to the Court Davon's demeanor
10 during this time, the stay at the hospital?

11 A He -- he just keep telling me everything will
12 be all right and -- and he went outside that night, stay
13 out there, because my birthday was the next day, and he
14 was trying to buy me something. But he just keep saying
15 he -- the boy shot him and stuff like that, and he
16 didn't know why because he getting off work.

17 Q Did he ever tell you that -- who the boy that
18 shot him was?

19 A Yes. Same one right there.

20 Q Did he ever use a name?

21 A He didn't really use no name. He just said
22 shot him, and I know who he is.

23 Q Okay. That's all the questions I have for you
24 today.

25 Thank you. I'm sorry. One more.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Desmonte Goodwin

1 Detectives did try to come talk to you about
2 this case?

3 A Yes.

4 Q And you gave a statement?

5 A Yes.

6 Q Did you ever indicate that he I.D.'d
7 Mr. Brown, the Defendant?

8 A That my brother I.D.'d him?

9 0 Yeah.

10 A I wasn't in the room, so I --

11 Q I mean, did you ever tell the detectives that
12 your brother told you it was Marvin?

13 A Oh, yes, I did tell them that.

14 Q Would it surprise you to learn that a report
15 says that you didn't -- you didn't tell them that?

16 A I did tell him that.

17 Q Okay. Please answer any questions Mr. Groeber
18 has.

CROSS-EXAMINATION

20 BY MR. GROEBER:

21 Q Just a quick follow-up on those final
22 questions. It's your testimony that you did not tell
23 Directive Osborne that you heard Davon say anything
24 about Marvin?

25 A Excuse me, sir?

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Desmonte Goodwin

1 Q Let me try to phrase that better. Your
2 testimony is that you told Detective Osborne that Davon
3 said that Marvin had done it?

4 A Yes.

5 (Mr. Groeber speaks to Mr. Simpson off the
6 record.)

7 MR. GROEBER: No further questions. Thank you.

8 THE COURT: Okay.

9 Anything further?

10 MR. SIMPSON: Nothing from the State, your
11 Honor.

12 THE COURT: Sir, you may step down.

13 (The witness is excused.)

14 MR. SIMPSON: Your Honor, would you mind if we
15 took a quick break before argument?

16 THE COURT: Sure.

17 THE COURT: Y'all want to talk about ten
18 minutes and come in and argue?

19 MR. GROEBER: That will be great.

20 THE COURT: Okay. We'll be at ease for ten
21 minutes.

22 (A break is taken from 11:33 a.m. until
23 11:44 a.m.; at which time, the proceedings proceed as
24 follows:)

25 (The Defendant is present in the courtroom and

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Desmonte Goodwin

1 assisted by his counsel.)

2 THE COURT: All right. I'm ready whenever y'all
3 are.

4 MR. GROEBER: Thank you, your Honor. I guess
5 maybe you want to tell us how to proceed from here.
6 We've got, really, four questions to answer: whether or
7 not it's a dying declaration, whether or not it is
8 testimony -- testimonial pursuant to Crawford and the
9 other cases; whether it survives the Neil v. Biggers
10 hearing; and then whether or not -- if it is a dying
11 declaration and testimonial, whether there's -- dying
12 declarations are an exception. I guess I'll defer to
13 you how to proceed.

14 THE COURT: Let me give y'all my initial
15 thoughts and then maybe you can tailor the arguments.
16 As far as the testimonial/nontestimonial distinction, to
17 me this is a little bit different than the situation
18 where they come upon the person in the alley, or, you
19 know, the sexual assault victim who's just been
20 assaulted, and there's a perpetrator running around, and
21 they're looking for that person. I mean, they're
22 obviously looking for the perpetrator here, but this is
23 a few days after or the next day. It's not that
24 911-call situation that I think the ongoing emergency
25 distinction applies to. So I mean this is more -- and

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Desmonte Goodwin

1 the officer even said it: Well, to get the perpetrator;
2 to find the person that did it. What I'm really most
3 interested in is -- and I need to look at the medical
4 records in more detail in light of what y'all have told
5 me, is -- I've got to determine what the victim's state
6 of mind was from the totality of the circumstances, and
7 whether he truly felt that he was -- death was imminent
8 or it was a statement made under impending death.
9 I think that if it does fall into that exception under
10 804(b)(2), I think is what it is, reading Crawford and
11 then a couple of the footnotes, I think Michigan versus
12 Bryant. Maybe the Michigan case. And then there's a
13 very persuasive case from the Eastern District of North
14 Carolina, Calhoun versus Keller; it's 2011 Westlaw
15 6257060. Which breaks down what the majority rule is
16 and the states and then analyzes some of the other cases
17 on the other side of it. The majority rule appears to
18 be at this point, although it is still developing, is
19 that Crawford would not bar a so-called dying
20 declaration because that exception has existed for so
21 long -- it says: To exclude such evidence is volatile
22 of the right of -- to confrontation would not only be
23 contrary to all the precedents in England and here, but
24 abhorrent to the sense of justice in regard for
25 individual security and public safety. And then it goes

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of Desmonte Goodwin

1 from there. That seems to comport with what our
2 appellate courts would say. So we're back to the issue
3 -- and I understand y'all disagree and would probably go
4 with -- I think the case is maybe Jordan -- something
5 from California. It's cited in that Calhoun case. That
6 certainly is an approach some courts have taken. But
7 it's the "whether the decedent felt that he was facing
8 imminent death" that's the biggest concern to me. But I
9 understand y'all -- I mean, y'all argue whatever. I'm
10 happy to listen to it. I don't -- there's not a clear
11 answer, as I see it, to this exact situation. But I've
12 pulled up the Satter-White case that y'all mentioned,
13 and I've read the Briefs. So just whatever you think
14 you need to do. And I don't even get to the
15 Neil-Biggers issue, I don't think, until we get through
16 all of that.

17 MR. GROEBER: Okay. Do you want to start, or
18 shall I? I actually think it's the State, so I think
19 they argue first.

20 THE COURT: Well, I think the burden is on the
21 State, and actually did pull up -- this is one thing
22 that -- for the statement to be admissible as a dying
23 declaration, the burden is on the State, it says,
24 because the Government produced no evidence establishing
25 that the decedent in that particular case made his

State vs Marvin Brown
 Suppression Hearing, 3/26/2013
 CE of Desmonte Goodwin

1 statement believing that his death was imminent.
 2 So that does suggest that it's the State's
 3 burden and it is --
 4 I just need you to --
 5 MR. SIMPSON: Absolutely.
 6 THE COURT: -- show me.
 7 What would be helpful is when he made the
 8 statement to the grandfather about you're going to have
 9 to find somebody else to ride with you. That's pretty
 10 persuasive. And then the chronology of the surgeries is
 11 helpful, I think, to me.
 12 MR. SIMPSON: Okay. May I have a moment to
 13 speak with the grandfather?
 14 THE COURT: Sure. Sure.
 15 MR. SIMPSON: Yeah, understandably, exactly
 16 a certain --
 17 THE COURT: I understand.
 18 MR. SIMPSON: -- something happened -- it's a
 19 little -- let me have one moment and see if I can't --
 20 THE COURT: Okay.
 21 MR. GROEBER: Would we be recalling him, your
 22 Honor?
 23 THE COURT: If y'all need to.
 24 MR. SIMPSON: I'm happy to recall on that
 25 issue.

State vs Marvin Brown
 Suppression Hearing, 3/26/2013
 CE of David Brunson, Jr.

1 THE COURT: I just wasn't sure if I had just
 2 missed it.

3 MR. GROEBER: Your Honor, the North Carolina
 4 case, we don't have Westlaw.

5 THE COURT: Oh, here.

6 MR. GROEBER: Do you have a different cite in
 7 particular?

8 THE COURT: Here, just take it.

9 (Document is handed to Mr. Groeber from the
 10 Judge.)

11 MR. GROEBER: Thanks.

12 THE COURT: Sure.

13 MR. SIMPSON: Your Honor, I think we would like
 14 to put Mr. Brunson, Sr. [sic]. I'm sorry. As hard as
 15 it is talking about it for him, just very briefly on the
 16 stand to address that issue.

17 THE COURT: Okay. Let's do that. And y'all
 18 will be able to cross-examine if you need to.

19 Sir, if you will come back on up. You're still
 20 under oath.

21 (The witness resumes the stand.)

22 FURTHER DIRECT EXAMINATION

23 BY MR. SIMPSON:

24 Q Mr. Brunson, I'm sorry to call you again. Just
 25 a couple of quick questions. Is it difficult to

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of David Brunson, Jr.

1 remember exact dates and times when conversations
2 happened this long after the fact?

3 A I can't recall exactly.

4 Q But did you just mention to me that these types
5 of conversations happened on more than one occasion
6 while he was in the hospital?

7 A Yes.

8 Q And what did you just tell me specifically
9 about helping him out with something he had to do
10 medically?

11 A After they sewed him back up, he -- I guess, he
12 was leaking inside because he started to swell. And he
13 was rubbing his belly and kept saying, granddaddy, my
14 belly -- I'm burning inside. And -- I recall asking the
15 nurse for something and she went to the doctor and they
16 told me to go to the drugstore and get some itch cream
17 and put on his belly. And me and grandson walked over
18 there, came back, and I sat there, and I was rubbing it,
19 and -- he just point-blank asked me, he wasn't going to
20 make it.

21 Q And that post -- after being sewn up,
22 post-operative, with that, and the conversation about
23 driving in the car, any -- I know this is tough. -- but
24 any indication of during his hospital stay when that
25 occurred?

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of David Brunson, Jr.

1 A The car incident was before the --

2 Q The belly?

3 A -- the rubbing of the belly.

4 Q Okay. And was the -- was the -- the belly
5 incident, and when you're helping him out with that, is
6 that soon after being sewn back up?

7 A Yeah, it was a little bit after he was sewn
8 back up because his eyes were yellow like a banana.

9 Q Okay. Did me know that, that his eyes were
10 turning yellow?

11 A I can't recall if we discussed that or not.

12 Q Okay. So you say -- you had the conversation
13 while you were putting the cream on his belly, and the
14 car conversation preceded that?

15 A Yeah.

16 MR. SIMPSON: Okay. I don't have any further
17 questions for you at this point. Thank you.

18 CROSS-EXAMINATION

19 BY MR. GROEBER:

20 Q I apologize. I actually did not hear what you
21 said his statement was to you. After he was sewn up, I
22 did not hear what you said. Could you repeat it?

23 A The incident where I was rubbing the cream on
24 him --

25 Q Yes, sir.

State vs Marvin Brown
Suppression Hearing, 3/26/2013
CE of David Brunson, Jr.

1 A -- you talking about?

2 Yeah, he just pretty much pointblank said he
3 wasn't going to make it -- he didn't think he was going
4 to make it.

5 Q And you testified, too, though, that the
6 discussions about the car happened several times?

7 A No. The incident talking about the
8 car -- well, it was -- we talked about it a couple of
9 times, but the specific one I mentioned was first.

10 Q Okay. But -- and you don't know -- you can't
11 say which day that was that he --

12 A No, I can't remember exactly when.

13 MR. GROEBER: One moment, your Honor?

14 THE COURT: Okay.

15 (Mr. Groeber speaks with Ms. Ehrlich off the
16 record.)

17 MR. GROEBER: No further questions. Thank you.

18 THE COURT: All right. Thank you, sir.

19 (The witness is excused.)

20 MR. SIMPSON: Thank you, your Honor. I will
21 try my best to be as brief and direct as I can.

22 THE COURT: Whatever y'all need to do.

23 MR. SIMPSON: I'll kind of take these in the
24 order that you did. On Michigan versus Bryant and the
25 issue of testimonial or nontestimonial, I agree with the

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 Court that that is probably the most tangential here
2 because it's -- if we find again, like the Court seems
3 to be inclined to do, that this about -- that Crawford
4 v. Washington does not impact on dying declarations, and
5 that this was a dying declaration. We don't have to get
6 into the Michigan v. Bryant.

7 On that point, I would just say that you have
8 sort of a -- with respect a wishy-washy standard to put
9 forward as the testimonial or nontestimonial by the
10 majority in Michigan versus Bryant, seeming to leave
11 open the possibility that a gunman on the loose isn't an
12 emergency. And I think the detective also acknowledged
13 on the stand, that, yes, while, of course, they do their
14 work to later prosecute and get a conviction, that also
15 -- a motivation of theirs also is, of course, public
16 safety and then in getting a dangerous person off the
17 street. That being said, you look at the elements put
18 forward in Michigan versus Bryant, and I admittedly have
19 an uphill battle.

20 One of the factors that you didn't mention,
21 your Honor, was the formality of the statement, and I
22 don't think I can dispute in this case that this, you
23 know -- with a digital recorder at a hospital room, this
24 is a fairly formal statement. You know, all that being
25 said, I would just like to preserve our position that,

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 you know, there is an argument to be made that this is
2 nontestimonial under Michigan versus Bryant even though
3 some of those factors do work against us clearly.

4 THE COURT: Okay.

5 MR. SIMPSON: The brunt of our argument,
6 however, is the classic dying declaration. I agree with
7 what your -- the Court sort of indicated, that while
8 this is an undecided question by our U.S. Supreme Court,
9 it's not without some very suggestive dicta in the cases
10 and in the footnotes that you've mentioned where the
11 Court, itself, in both Michigan versus Bryant and
12 Crawford versus Washington seems to be confused on why
13 the State keeps abandoning the issue of why this isn't a
14 dying declaration. I think you can certainly read into
15 that and it's tipping of the cards, that because it's --
16 has such a long history, the dying declaration is
17 preserved as an exception to the Sixth Amendment and to
18 hearsay law, notwithstanding the holding in Crawford
19 versus Washington, which brings us to the heart of this
20 issue. And I agree with your Honor on this, which is,
21 was this statement a dying declaration? And I don't
22 know what the Court's intentions are; I just want to let
23 you know, for your information, that I've explained to
24 the family, who are present here today, we very likely
25 might not get a decision on this hearing today. This

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 might be something that's very weighty that the Court
2 wants to spend some time on. And I just want you to
3 know -- I don't know what your intentions are, but they
4 understand.

5 THE COURT: I'll tell you now. I want -- I
6 need to go through the medical records with more of a
7 fine-toothed comb. Because some of it, I couldn't -- we
8 couldn't open because of the size, but I've got the hard
9 copy now. And it's also just because sometimes my eyes
10 are old, and they get tired. So I'm going to look at
11 this. That's going to make a big difference because we
12 have a couple of days' lapse in what's going on here.

13 MR. SIMPSON: Sure. And I can address that
14 right now. Me and Mr. Groeber, we've had a lot of
15 conversations about the medical records and what they
16 mean. And I appreciate his point of view. I'm sure he
17 appreciates from mine. But there's not a lot of
18 disagreement. That's why we just entered them to let
19 your Honor review.

20 A few nitpicky things, we were just
21 talking about, trying to sort out. Obviously, the
22 Defendant had majorly, invasive surgery, emergency
23 surgery, in critical condition when he arrives on the
24 26th of April.

25 And, Cody, you can correct me if I get any of

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 the dates wrong.

2 I know I've reviewed the record --

3 And it's a lot, so if I'm mistaken on this
4 point, forgive me.

5 -- that explains that he had further
6 exploratory surgery on the 27th. Following this
7 hearing, since we're going to take some time to process
8 all this, I will provide for Cody and your Honor the
9 specific page where I read that.

10 THE COURT: Great.

11 MR. SIMPSON: But I believe that there was some
12 surgery done -- major surgery done on the 26th, and then
13 some pretty exploratory, major, medical procedures, at
14 the very least, followed up on on the 27th.

15 This statement was taken on the morning of, I
16 think, the earlier -- properly corrected at 10:12 on the
17 morning of the 29th. So you have major procedures on
18 the 26th, the 27th, and that -- and that -- because of
19 that day, between the 28th, is not just nonsensical. He
20 had a breathing tube. He was intubated. The breathing
21 tube was removed, I think, on the morning of the 29th --
22 or late the 28th. But, again, we can -- we can hammer
23 that out after this hearing.

24 So the statement is taken a short duration once
25 the tube is removed from his mouth, allowing him to

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 speak.

2 The records are what they are. In my -- how I
3 would characterize what these medical records, when you
4 have time to process all of them, your Honor, are going
5 to show that the doctors believed that surgery was
6 successful, that they were hopeful of a favorable
7 prognosis. That's not something that the Defense and I
8 disagree with. That the doctors conveyed to the family,
9 hey, you know, surgery went as well as can be expected,
10 and we're hopeful.

11 I would argue to your Honor, however, that that
12 prognosis takes place in a context, and it takes place
13 in a context of a gunshot wound to the abdomen and
14 majorly invasive surgery. And I would also point you to
15 the text -- the testimony of Mr. Brunson, Jr., when he
16 conveyed to you sort of the -- a generalization of the
17 news that they were receiving from the hospital, which
18 was, hey, we're hopeful but concerned and to please
19 prepare yourself and your family for the, you know,
20 always a possibility with an injury like this and always
21 a possibility on a major surgery like this, prepare
22 yourself for the possibility that this might go south at
23 any moment, and that was a very realistic possibility.

24 And so, obviously, his actual medical condition
25 is a relevant consideration when making this decision,

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 but I would submit to the Court it is far from your only
2 consideration. I will point to all of the line of
3 cases, which says, look, the fact that the victim
4 passed 33 days later, two weeks later, that's
5 immaterial. I would say that suggests -- the person's
6 actual medical condition, while relevant, is not the end
7 of the discussion here. The relevant discussion was a
8 person -- and it's difficult, because the person has
9 passed. But was that person subjectively in fear of
10 death, and I think when you look at the medical records,
11 was that fear of death, of imminent death, a reasonable
12 fear to have at that time. I would submit to you, your
13 Honor, that as McConney says, when you take a look at
14 all of the facts and circumstances surrounding the
15 statement and all the testimony we've heard today,
16 consider it as a whole and granted, there is things that
17 cut both ways. But considered as a whole, I think that
18 this victim was actually in fear of imminent death and
19 that fear was reasonable.

20 Also, as I suggested in my Brief, I think the
21 modern approach to these dying declarations, like you
22 see in McConney, is not -- and I'm not trying to suggest
23 that the element of imminent fear of imminent death is
24 no longer an element. Certainly it is. But I think
25 McConney and the cases cited therein suggest you can

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 take a broader, more reasonable approach. Obviously,
2 it's not required that the person die the next day.

3 So let's review, and then, your Honor, I'll sit
4 down. The evidence that we have suggesting this fear of
5 imminent death. First, the medical records themselves,
6 you have a statement taken assuming that in fact on the
7 27th there was further major surgery done, you have a
8 statement taken about 48 hours after major invasive
9 surgery. And I don't think I can overstate, that that
10 is surgery to repair a bullet that had ripped through
11 the body of Davon. Also included is the medical
12 examiner's report that further bolsters just the
13 severity of the surgery that's done and gives you a
14 little insight into the later cause of his death. We've
15 consented to and admitted 500 pages of medical records.
16 And I think, while you see things in there that are
17 hopeful and things that are suggestive of a possibility
18 of him getting better, you also see plenty that echoes
19 the severity of the situation that he was under and how
20 he was in fact still in the woods, so to speak, and, in
21 fact, possibly going to die.

22 You then have testimony of Jerome Fleming who
23 explained to you that he couldn't use his hands. You
24 can look at the actual statement itself and see how weak
25 and scrawled his initials are on that. In the ICU,

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 again, we're in intensive care, in critical condition.
2 And, your Honor, heard his invoice on the actual
3 recording. And I submit to the Court, you can hear the
4 weakness and the pain in that voice. He is, you know,
5 answering questions intelligently and seems lucid. But
6 you also heard from his father, Brunson, Jr., about
7 that, you know. To become lucid, they would have to cut
8 off the medication he had to keep him asleep.

9 Following up, you've heard probably the most --
10 the State's estimation of substantial evidence as to his
11 subjective fear of imminent death, and that's the
12 conversation that he had with his grandfather. I think
13 you heard; he was close to his grandfather. They had
14 this kind of relationship, and it was kind of a
15 relationship where he would probably say things a little
16 more frankly than maybe he wouldn't say to his friends
17 and some of his younger family members. And you've
18 heard the statement from the grandfather that, you know,
19 very sad. I hope you don't think I was trying to be
20 overly dramatic when I put him on, but it's directly
21 relevant to the issue that we're here about today, which
22 is that he was very upset that that could very well be
23 his last ride with his granddad that he was going to
24 have. And I think -- and also that's a direct statement
25 of, you know, suggesting he was fearful of death. But

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 he went on to apologize to his grandfather for some of
2 the decisions and some of the mistakes he had made in
3 his life, and I think again that sort of apology,
4 fearing -- is suggestive of a fear of imminent death.

5 We go on to the testimony of his father, David
6 Brunson, Jr., he gives you a good summation of the news
7 that the family is receiving from the hospital, and he
8 acknowledged, you know, some of that was favorable; but
9 the overall tone is that these things can turn at any
10 moment; you need to prepare, both your son and your
11 family for that possibility.

12 You heard from his father, David Brunson, Jr.,
13 that he would often stare off into space and sort of
14 lose track. Obviously, you know, he's on medication,
15 but then obviously that's some circumstantial evidence
16 toward a fear and the fact that, you know, this wasn't
17 -- Davon wasn't the kind of touchy-feely guy that would
18 walk around holding people's hand. But while in the
19 hospital, he almost constantly wanted someone's hand to
20 hold. I think, again, that's circumstantial evidence of
21 the degree of the fear that he was under.

22 You heard from his sister, Dendria Brunson,
23 about the crying and some attempts to hide it from the
24 family, but that on more than one occasion, he began to
25 cry. I think from a person -- you know, Davon, you

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 heard from her, wasn't again an overly emotional person
2 that would just cry in a bad situation. I think that
3 again is indicative, looking at all the circumstances of
4 a very realistic fear of imminent death.

5 It's a tough issue, your Honor, and there are
6 -- again, things you've heard from the stand and things
7 from the medical record, but we're not going to argue
8 with Defense on this -- some signs of hope. I would
9 submit to your Honor that -- and maybe the law just does
10 a bad job in this category. But being hopeful of
11 recovery and fearful of imminent death are not mutually
12 exclusive propositions for someone in this kind of
13 situation. I think it is very natural for someone in
14 this kind of situation would have ebbs and flows and
15 fear death -- you know, have a persistent fear of death
16 while remaining somewhat hopeful of a recovery.

17 When you're faced with sort of a lot of mixed
18 evidence like this, I would say you go to the heart of
19 what goes most directly to the relevant issue, which is
20 what is on Davon Goodwin's mind, and I submit that the
21 best evidence you're going to hear on that is his
22 conversation with his grandfather. And when weighing
23 different evidence, that goes right to the heart of it,
24 the State suggests that that tips the scale, this
25 -- while it doesn't fit neatly in a lot of the

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 precedents, this is a -- it is a dying declaration when
2 you apply a modern approach to that perception, and
3 obviously it's already been discussed. Furthermore,
4 Crawford v. Washington does not fit in that.

5 That's all I have.

6 THE COURT: Okay. All right.

7 Yes, sir.

8 MR. GROEBER: Thank you, your Honor. I'll
9 start with the dying declaration, and then finish up
10 with whether or not it's an exception.

11 I think that it's helpful to step back and
12 remember why it is that this long exception to the
13 hearsay rule exists. And the whole reason that it
14 exists is because a person who believes that they're
15 about to meet their maker wouldn't lie, that there's a
16 reflection, there's a pause, there's a -- a thought of,
17 I've got to be clean. I've got to be clear. I've got
18 to clear my conscience.

19 And the reason that that is so protected, and
20 that it's so important, and that the exception is so
21 narrow is because it is so important that a defendant be
22 able to confront his witnesses.

23 The second thing -- I want to talk a little bit
24 about the first condition. I think that the modern
25 approach to the -- Mr. Simpson is referencing -- I'm not

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 quite sure that there is a, quote, modern approach. I
2 suppose -- I mean, I did cite several old cases in my
3 Brief. Of course, there's really not a whole lot of
4 cases. There's the Cunning in 2001, Bethia in 1963, and
5 then you get back in to the '20s. And all of the cases
6 up to Bethia say that there are three kind of
7 requirements for it. And the first is that the person
8 actually be in imminent danger of death. I sub- -- my
9 guess is that the rule was adopted after Bethia and is
10 in effect during McConney sort of gets away with that
11 first requirement.

12 I don't know if that requirement still exists
13 or not. I think we would argue that it does, and that
14 it should. And the reason is, is because -- I suppose
15 you could think of an example where someone wasn't
16 actually in fear of imminent death or -- or was not
17 actually in imminent death, but had some kind of
18 unreasonable fear. I don't think that's the case here,
19 but I do think that the first condition is out there and
20 needs to be dealt with, and we would argue it still
21 applies.

22 I think that McConney probably doesn't address
23 that first condition, in addition to -- it's not
24 specifically stated in the rule -- is that it's so
25 obvious in McConney that the person is in -- is in

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 imminent -- is -- in extremis, is about to die. And
2 that's because she has a huge gash in her throat that
3 one person describes they can put their arm in. It's so
4 obvious, in McConney, that that first condition is met,
5 and so it's not discussed.

6 What is discussed in McConney, and the other
7 cases, is that the second condition required to admit a
8 dying declaration. And that is whether or not the
9 victim was aware of imminent death and had any hope at
10 life. I think if the State concedes to you that there
11 was a mixture of hope and fear, then I believe that the
12 cases are clear that if hope exists, then the statement
13 does not come in. And I think that every single case
14 talks about this requirement, that they have any hope.

15 It's true that those declarants need not
16 express in direct terms an awareness of their condition.
17 And that you can infer from the facts and circumstances,
18 you know, what their -- what they were thinking at the
19 time they gave the statement. I don't think there's any
20 argument about that.

21 In McConney, the person actually did express
22 knowledge to her imminent death. That is not anything
23 that anyone in this case has testified about. It's not
24 in the medical records, and it was not in any of the
25 testimony today.

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 In McConney, there was a clear rejection by the
2 person who -- the statement rejecting the nurse telling
3 her she would be okay. The nurses are saying she's
4 okay. Ms. McConney, she says, No, I'm not going to make
5 it. She loses consciousness, never regains it, and dies
6 many days later.

7 The other considerations that your Honor should
8 consider -- and these are in McConney -- again, is
9 repeated questions about the survival by the declarant.
10 Am I going to make it? Am I going to make it? There's
11 been no testimony to that today, less than reassuring
12 answers to that question. I don't believe there's any
13 testimony presented by the State to that.

14 Obviously, you can consider the nature of the
15 wound, the condition of the victim. I think there is a
16 lot of facts that kind of cut both ways when we're
17 talking about what condition he was in. And I remind
18 your Honor that the question is, what's the condition
19 he's in at the moment he's giving the statement? Not
20 right after his surgery, not five days after the
21 surgery, and two days before he dies, but at the moment
22 that he's giving that statement.

23 And that's why it's important, if you remember,
24 the point of the exception for dying declarations to
25 hearsay, is that it's supposed to be this almost

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 sacrosanct moment. And I don't believe that the
2 evidence in this case suggests that there was this
3 sacrosanct moment when he gave his statement to
4 Detective Fleming.

5 I will point to a few facts in the medical
6 records in the -- I guess I'll just refer to my Brief
7 and ask your Honor to refer to that. The pages are
8 directly on there. I'm not going to rehash those,
9 because I know you have them and will read them again.

10 In addition, through the testimony today, I
11 would highlight a few facts. All the testimony about
12 when he gave his statement was that he was lucid and
13 alert. Detective Fleming tells him, and it says on the
14 statement, that he is recuperating from his injury. He
15 had the ability -- Mr. Goodwin had the ability to
16 correct mistakes or misunderstandings in his
17 conversation with Detective Fleming.

18 I would urge your Honor to look at the medical
19 records around the time that Mr. Goodwin gave his
20 statement, because I don't believe that they support
21 what his dad said about pinching the line. I believe
22 that there would be evidence there was some kind of
23 change in medication within the medical records that
24 shows that there was a decrease of a certain narcotic.
25 I don't believe that that is evidenced in the medical

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 records.

2 His sister testified that he told her that he
3 was tired, and he was ready to go home. I think that
4 clearly speaks that he had hope that he was going to go
5 home. His brother testified that Mr. Goodwin told him
6 that, quote, Everything will be all right. I think that
7 also evidences hope that he will get better and go home.
8 At no time --

9 I think the State mentioned kind of what the
10 prognosis of the doctors were and what they were telling
11 the family. And they were telling them that, you know,
12 this -- it's a possibility that things could go bad.
13 Well, that doesn't suggest that he is upon -- he has
14 the -- that he is in imminent danger of death at the
15 time he gives a statement. It just says that there's a
16 possibility, and a possibility we don't think rises to
17 the level needed in this case.

18 I think that the testimony of the grandfather
19 is powerful testimony. And I would just say, your
20 Honor, that it's unclear when these statements were
21 given to the grandfather. I would also say that an
22 apology can show hope to change in the future. And it
23 does not necessarily mean that one is contemplating
24 death at the time that statement is given.

25 MR. GROEBER: If I could have one moment, your

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 Honor?

2 THE COURT: Sure. Can I grab that case back
3 from y'all?

4 MR. GROEBER: Yes, ma'am.

5 THE COURT: Thank you.

6 (Document is handed from Ms. Ehrlich back to
7 the Judge.)

8 MR. GROEBER: Just a few more cases that I'd
9 like to highlight that I E-mailed your Honor --

10 THE COURT: Okay.

11 MR. GROEBER: -- that I think are pertinent
12 when comparing it to this case. One is State v. Riley,
13 1914. The statement was, Yes, I'm going to die. I may
14 get up for a few days, but this one will kill me. And
15 it said, This shot will eventually kill me. That did
16 not rise to the level of a dying declaration, according
17 to the Court. And I believe it's because he did not say
18 he would die anytime soon.

19 I think that the cases of State v. Hall --
20 there's two cases. State v. Hall -- what I call one --
21 is 1924. The Court ruled in that case that there was
22 not evidence to support a dying declaration exception,
23 because there was no evidence that he had abandoned
24 hope. Quote, and that is, he said that he had to die
25 and could not live. That's State v. Hall, 1924 134- --

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 excuse me. -- 127 South Carolina 256.

2 They tried Mr. Hall again, and the same witness
3 testifies -- the same witness who told her that he said
4 that he had to die and could not live, she testified in
5 the second trial, and she, I would say, corrected her
6 testimony and said that he -- that the declarant said, I
7 am dying. There is no hope for me. Fred Hall has
8 killed me. Clearly that is an exclamation of no hope,
9 the requirement that you have no hope. And he
10 specifically says that there's no hope.

11 I'll also note in that one, that they did have
12 a doctor testify about State v. Hall two. And I
13 apologize. That's 127 South Carolina 256.

14 THE COURT: Okay. Thanks.

15 MR. GROEBER: They have a doctor testify about
16 how -- how the victim was in imminent peril at all times
17 during his injuries.

18 And then finally, I'll tell you to look at
19 State v. Davis. And this is a specific -- to the
20 question about the slightest hope of recovery. The
21 holding is, if the deceased -- excuse me -- State v.
22 Davis is 19 -- or 98 South Carolina 386, 19 -- I
23 apologize.

24 THE COURT: We have it.

25 MR. GROEBER: Okay. All right.

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 THE COURT: That's fine.

2 MR. GROEBER: The quote there is, I don't
3 believe I'm going to make it. That was not enough to
4 qualify for the dying declaration exception. Mainly
5 because the -- the holding was that if there was the
6 slightest hope of recovery when the declarations were
7 made, they are inadmissible.

8 So we would argue, your Honor, that case law
9 is -- is instructive, those cases specifically, when
10 compared to this case. We would argue that the State
11 has not met its burden in proving that it is a dying
12 declaration in this case.

13 THE COURT: Okay.

14 MR. GROEBER: That is enough for me on that
15 issue. I would like to address quickly whether or not
16 -- if you did find that this was a dying declaration,
17 the exception. I think my Brief lays out the two main
18 arguments. I would encourage your Honor to read the
19 article that Michael Pylel (phonetic) wrote that is
20 cited within my Brief. I think it has a good
21 explanation of the history that is the opposing view.

22 And I haven't read the case you cited, but I've
23 read some of the opinions about the majority idea. And
24 I will ask you to consider that as a criticism to the
25 majority --

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 THE COURT: Okay.

2 MR. GROEBER: -- holding on that exception.

3 THE COURT: I will read it.

4 MR. GROEBER: And I think that's all from me.

5 Thank you, your Honor.

6 THE COURT: Do y'all want to talk to me
7 about the Biggers issue now? Or do you want to wait
8 and -- this is my thought process -- we're in general
9 sessions next week; correct?

10 THE CLERK: Uh-huh.

11 THE COURT: I want to read everything that
12 y'all have talked about this morning that I haven't had
13 a chance to read yet, and I want to go through the
14 medical records. Tell me if this will work for y'all.
15 We're in trial, I think. But we're in GS next week. If
16 y'all are going to be around, we can get a time that I
17 can rule on the record so y'all will have a record. And
18 then y'all can do an order if you want to, and then
19 y'all can argue the Biggers issue, or you can do it now.
20 It doesn't matter to me.

21 MR. SIMPSON: I'd rather go ahead and do it.

22 THE COURT: If you want to do it now, that's
23 fine. Whatever y'all want.

24 MR. SIMPSON: Our position on the -- our
25 position on the Biggers issue is pretty straightforward.

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 You have factors -- the Neil v. Biggers factors, but you
2 don't even get to those factors unless there's an
3 initial showing of suggestivity --

4 THE COURT: Right.

5 MR. SIMPSON: -- that the police did something
6 improper and suggested an answer, you don't even get to
7 the Neil v. Biggers factor. So we're, in the State's
8 estimation, dead in the water right there. Nothing that
9 was done by Detective Fleming suggested an answer. Even
10 if you do find there was some sort of police misconduct
11 -- remember, that's what Neil v. Biggers is meant to
12 combat, is some sort of suggestive misconduct by the
13 police. If you find suggestive conduct, then you move
14 on to the factors. And the factors, while they may not
15 have been on the recording, expressly rattled off one,
16 two, three, four, five -- I think they're all covered.
17 The opportunity to view, the -- the defendant is
18 suggested. His certainty, you've heard -- while not on
19 the recording, you heard the circumstantial evidence
20 that he didn't express any hesitation, it didn't take
21 him much time, he looked right at it, he pointed at it.
22 But without wasting the Court's time, I think
23 we've got the Neil v. Biggers factors satisfied as well,
24 but we don't get to those factors unless there's some
25 kind of showing of suggestibility, and I don't think

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 that showing's been made.

2 THE COURT: Okay.

3 Yes, ma'am?

4 MS. EHRLICH: Thank you, your Honor. As far as
5 whether or not the procedures were suggestive, I want to
6 just kind of lay out our position. First, the
7 identification was done in the hospital, that he was
8 questioned in front of the parents, where there's the
9 added pressure to give the answers. But the two main
10 issues that we have with the procedures and the lineup
11 that was done is, number one, the actual lineup itself.
12 That's why we wanted the color copy admitted to the
13 Court. I know that Detective Fleming testified that he
14 chose the photographs -- or he reviewed the photographs
15 to make sure that the fillers were similar. I don't
16 know if that -- I don't know if I agree with him on the
17 fillers being similar to the Defendant in the case. The
18 only similarity I really see is the race. They appear
19 to have different face structures, ages, complexion.
20 And that -- that concerned us, as far as who the people
21 are in the identification, as far as the fillers.

22 The reason I brought up, on cross-examination,
23 his training and what he's supposed to do in documenting
24 is that he knows that he's supposed to fully document
25 everything. And even the things that were recorded by

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 Detective Fleming and then later put in a police report,
2 there are inconsistencies. And our concern is that if
3 there's an inconsistency in his testimony or a police
4 report with what's actually recorded, what did really
5 happen in the room when the recording didn't happen?

6 It's the most important part of the interview.
7 He testifies that he's doing this to try to help, you
8 know, make an arrest. But he turns off the digital
9 recorder at the most important time. And there's not
10 real clear testimony about what actually happened in the
11 room at the time. Then by the time the recording comes
12 back on, he's referring to the suspect by the name of
13 Marvin. He says in front of everybody that this is
14 -- you know, this is the person, that that's a positive
15 identification. And also, Detective Fleming testified
16 that he had prior knowledge of the Defendant and that
17 -- our position is that that influenced him when he was
18 doing a lineup, that it should have been somebody
19 independent of Detective Fleming, somebody who didn't
20 know anything about the investigation, didn't know
21 anything about the Defendant, and didn't go in there
22 with kind of a preconceived notion, this is who he
23 should identify.

24 THE COURT: Y'all make sure I'm clear on this.
25 My understanding, from the detective's testimony, was

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 that he didn't say the name Marvin -- he being the
2 detective -- until the Defendant had already been
3 identified. I mean, I didn't hear anybody say Marvin
4 before.

5 MS. EHRLICH: I think that's what his testimony
6 was today.

7 THE COURT: Okay. And does the tape state
8 anything different? I didn't see that -- hear that.

9 MR. SIMPSON: No, your Honor.

10 THE COURT: Okay. And then the -- the fact
11 that it was a positive identification in the detective's
12 mind, again, came after the picture had been identified;
13 correct?

14 MS. EHRLICH: If -- if you believe all of the
15 testimony and you believe that nothing happened when the
16 recording was off.

17 THE COURT: Okay.

18 MS. EHRLICH: Yes.

19 THE COURT: I mean, I believe, based on what I
20 heard and observed today, that the detective was
21 uncomfortable in a tiny little ICU room at the MEDU, or
22 wherever this was, and there isn't a lot of room in
23 those areas to place things. His testimony, as I heard
24 it, was that he turned the video [sic] recorder off so
25 he could get everything situated, and wasn't allowed to

State vs Marvin Brown
 Suppression Hearing, 3/26/2013

1 put stuff on top of the Defendant because he had tubes
 2 sticking out of him, I think. If I'm wrong about that,
 3 y'all clear me up.

4 MS. EHRLICH: My only response to that is that
 5 there were two other people in the room that could have
 6 held the recorder for him. I --

7 THE COURT: Okay.

8 MS. EHRLICH: -- mean, maybe it was just an
 9 honest mistake on his part that he didn't think about
 10 it. But there are -- and then there is a hospital full
 11 of people who could have come in and held the recorder.
 12 I've had other cases where the nurse is holding a light
 13 up for the person to help the person make an
 14 identification. I don't think that's a sufficient
 15 reason for not recording the most important part of the
 16 interview.

17 THE COURT: Y'all correct me if I'm wrong.
 18 I'm just trying to think this through. I think I've
 19 had people testify about six-pack lineups and
 20 identifications when nothing was recorded. They just
 21 gave their testimony that he picked him up out of the
 22 six-pack; correct?

23 MR. SIMPSON: Absolutely. And that's our
 24 position. He went above and beyond in recording this.
 25 And had a parent of the victim assisted in any way, we

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 would be here right now arguing about how improper that
2 was. I just think it's a practical thing to cut off the
3 recording for a brief period of time. It is what it is.

4 THE COURT: All right. Well, doing the Neil v.
5 Biggers analysis, I do not find that the identification
6 process was unduly suggestive. I've looked at the
7 photos. And, of course, they don't all look exactly
8 alike, and they don't all have the same complexions, but
9 I don't find them to be so dissimilar as to be unduly
10 suggestive, particularly since they're all dressed the
11 same way. You know, we don't have different people --
12 sometimes six-packs have made the mistake of having some
13 people in a jail jumpsuit and other people dressed
14 differently. I don't have a problem with the
15 composition of the six-pack lineup itself.

16 And I do find that -- that it was reliable,
17 and that there was no substantial likelihood of
18 misidentification. Certainly, the witness had the
19 opportunity to view the perpetrator at the time of the
20 crime. He would have been paying a great degree of
21 attention to him. He was medicated and brought out of
22 medication to identify him but didn't demonstrate any
23 doubt about who he selected. So I'm comfortable with
24 that. So if we get to that point, I would not exclude
25 that -- the identification under Neil v. Biggers.

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 Now, I still need to make a determination as to
2 the -- whether this was a dying declaration or not. And
3 I do want to read that law review article that sets
4 forth the minority rule such that we have it so far,
5 because a lot of courts are still trying to figure out
6 where to go based on the United States Supreme Court's
7 dicta and this somewhat wavering on Crawford. So let me
8 take a look at that, and I will try to figure that out
9 by the end of the week.

10 Y'all aren't up next week?

11 MR. SIMPSON: I am.

12 THE COURT: You're in a different matter,
13 though; right?

14 MR. SIMPSON: In front of Judge Dennis, right.

15 THE COURT: But not this?

16 MR. SIMPSON: Oh, not this case, no.

17 THE COURT: I just want to make sure I'm not
18 holding y'all up if it takes me until Friday to figure
19 this out.

20 MR. SIMPSON: No.

21 THE COURT: Okay.

22 MR. SIMPSON: I do have one thing to say in
23 response to Mr. Groeber. I don't mean to waste your
24 time.

25 THE COURT: Sure. No, we're fine.

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 MR. GROEBER: Because I think I stated
2 something on the record a little inartfully, and I want
3 to correct myself.

4 THE COURT: Let me just stop y'all real quick.
5 Okay. You're in front of Judge -- am I in
6 trials or pleas next week?

7 THE CLERK: Yeah, pleas.

8 THE COURT: We're both doing trials?

9 MR. SIMPSON: It's a case that you're recused
10 on because you know Bill Capps.

11 THE COURT: Oh, gotcha. Okay. Thank you.

12 MR. SIMPSON: We moved it to Dennis to start on
13 Monday.

14 THE COURT: Gotcha. Okay. I just want to make
15 sure I'm in the right place. I'm sorry to interrupt
16 you, Mr. Simpson.

17 MR. SIMPSON: I'll be as brief as I can.

18 THE COURT: That's fine.

19 MR. SIMPSON: The first element that
20 Mr. Groeber mentioned is imminent danger of death. I
21 would just stress to you that that is danger of death,
22 not imminent death. I meant danger of death. We think
23 that's certainly -- precedents in the case.

24 And, second, as to the element of any hope of
25 life. First, I think you'll find those, again, in -- in

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 more of these more rigid analyses that you'll find a
2 hundred years ago. When you look at McConney, and
3 especially look at the cases cited in McConney, because
4 it's a great little nationwide review; while, of course,
5 they don't reverse that element, I think their analysis
6 of that element is a little less rigid than you find in
7 the old cases.

8 And most important to correct something I said.
9 There is hopeful news coming from the doctors at times.
10 You will see that in the medical records. But the
11 evidence that goes to the state of mind, the most
12 relevant evidence, overwhelmingly points to the fear of
13 death and not to hope. I received a note from the
14 family. Take this how you will, your Honor. But their
15 interpretation of his statement, I'm ready to go home
16 was, I'm ready to pass. I'm ready to pass on to Heaven.
17 And they wanted to make sure I conveyed that to you.
18 That was their interpretation of him saying that.

19 And I would just submit that the other direct
20 evidence quoted by the Defense, everything will be all
21 right, is a person telling his little sister and brother
22 not to worry about him. I don't think that that's
23 really indicative of hope of a full recovery.

24 THE COURT: Well, and I think even in McConney,
25 the Court acknowledged that there are lots of situations

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 where medical personnel are going to tell a victim or
2 someone that they're going to be fine. You know, the
3 Defense's response to that is, in McConney, the
4 defendant shook her head and said, No, I'm not. So I
5 understand that part, and I appreciate that.

6 Okay. I will read all of the rest of your
7 submissions and the law review article and the medical
8 records, and we'll go from there.

9 MR. GROEBER: The only thing -- I read the
10 cases that McConney references. The facts are so
11 different. So I -- that would be my response to -- I
12 think there's a couple of Louisiana cases and a Texas
13 case, and we could address those at a later hearing if
14 we need to. But I would argue the facts are, as in
15 McConney, so different than in this case.

16 MR. SIMPSON: Okay. We could probably do this
17 all day.

18 THE COURT: I mean, we can argue about this and
19 find different distinctions the whole time. I'm going
20 to tell y'all what I find significant. I think the
21 autopsy report is significant, because you had
22 substantial bacterial growth, even into the muscle.
23 There's probably no question that the decedent was in a
24 significant amount of pain and scared to death when he's
25 in bed. Granted, there was medication. And I think all

State vs Marvin Brown
Suppression Hearing, 3/26/2013

1 of that plays into somebody's state of mind as to
2 whether they think they're in fear of imminent death or
3 not, or in danger of imminent death. But I need to look
4 at it, and we'll go from there. Okay? Thank you.

5 Anything else we need to look at while we've
6 got you in here?

7 MR. GROEBER: I don't think so. Thank you,
8 your Honor.

9 THE COURT: All right. Good job, y'all. This
10 is complicated. To me, at least. Thank you.

11 WHICH WAS ALL THE EVIDENCE ADDUCED AT THIS
12 CAUSE.

13 (The hearing of this cause concluded
14 at 12:38 p.m., on March 26, 2013.)

15

16

17

18

19

20

21

22

23

24

25

STATE OF SOUTH CAROLINA	)	COURT OF GENERAL SESSIONS
	)	NINTH JUDICIAL CIRCUIT
COUNTY OF CHARLESTON	)	
	)	
The State,	)	CASE NO. 2012-GS-10-06844
	)	2012-GS-10-06845
APPELLANT,	)	2012-GS-10-06846
	)	
VS.	)	
	)	TRANSCRIPT OF RECORD
Marvin Brown,	)	
	)	
RESPONDENT.	)	
	)	

December 10, 2013

Charleston, South Carolina

B E F O R E:

The Honorable Stephanie P. McDonald

A P P E A R A N C E S:

Chad Simpson, Assistant Solicitor
For the Appellant

Cody Grober, Esquire
For the Respondent

Phyllis Norton, CVR, Nationally Certified Verbatim Court Reporter
636 Long Point Road, Unit G, #74, Mt. Pleasant, South Carolina 29464
(843) 958-5083 PNorton@sccourts.org

I N D E XHEARING -- 3

Dying Declaration Hearing/Bond Reconsideration

CERTIFICATION OF TRANSCRIPT -- 17

Look for an original signature in blue ink on the certification sheet to verify that the court reporter has certified the transcript you are viewing.

If you need an additional copy of this transcript or a sealed transcript or if opposing counsel requires a copy of the transcript, you should contact the court reporter.

Certification will satisfy Rule 80, Stenographic Report of Transcript as Evidence.

1 THE COURT: On the record in State versus Marvin Brown.
2 All right. I certainly apologize for how long it has taken
3 me to get the ruling to y'all on this. I think as you know
4 I have been struggling with it because it is somewhat
5 uncharted territory at least in the confrontation clause
6 area, although I have some thoughts on that.

7 But after reviewing the medical records, the
8 information about the extubation of the victim and his
9 health status at the time of him looking at the six-pack
10 that the officer brought, I do not think that this falls
11 within 804(b)(2) which deals with the statement upon belief
12 of impending death.

13 Because at that point it's my understanding that the
14 victim was extubated. I believe there was testimony from a
15 sister that said he just seemed tired and ready to go home.
16 And if I'm wrong on that, y'all correct me.

17 But he was ambulatory I believe the next day or perhaps
18 later that day. He actually was screened for a physical
19 therapy session, and everyone thought he was getting better.

20 This to me was different than the situation in McConey
21 which was the case that was most helpful. Let me just pull
22 up my notes on that. There you had a victim that I believe
23 never regained consciousness after she made her declaration.
24 Her throat was cut. The court did not find necessarily
25 relevant the fact that she didn't die for two more weeks,

1 but it was the fact that she never regained consciousness
2 and shook her head and thought she was going to die at the
3 time.

4 So I would not -- I find that the hearsay exception of
5 804(b)(2) does not apply. I think for that reason I don't
6 need to reach the confrontation clause issue. But if y'all
7 want me to for purposes of appeal, I'm happy to do that.
8 That would be advisory on my part, I guess. But and the
9 court may.

10 But what I did find so far, it was certainly
11 testimonial. I mean the officer went there with a six-pack
12 for him to look at. And even though he did really well
13 saying no we were trying to get a dangerous criminal off the
14 street it wasn't the emergent situation where you have the
15 first person dying in a back alley.

16 MR. SIMPSON: And I believe we conceded that at the
17 hearing, Your Honor.

18 THE COURT: Okay. And I appreciate that.

19 MR. SIMPSON: Certain testimonial ---

20 THE COURT: Y'all were great to deal with with the
21 facts that you stipulated to and the information that you
22 provided.

23 The other exception obviously -- issue under the
24 confrontation clause is when it is a common law -- I mean
25 this is the dicta I believe we have out of Crawford and

1 maybe Michigan v Bryant referred to it. But talking about
2 how the common law allowed the declaration of the deceased
3 long before we had our hearsay rules or specifically even
4 our constitution in Crawford I found the case indicated from
5 time and memorial they had been treated as competent
6 testimony and no one would have the hardihood at this day to
7 question their admissibility -- well, that is a split.

8 I mean there is a split in the courts. But the
9 majority seemed to be going the way of allowing statements
10 upon eminent death, which is I think is the way the federal
11 rule refers to it or statements upon a belief of impending
12 death which is the way our rule, and not finding those
13 banned by the confrontation clause.

14 But again, I don't have to get to that if y'all don't
15 want me to because I am finding that we don't have an
16 804(b)(2) situation.

17 I just don't believe that the intent of the exception
18 deals with someone in the health condition that the victim
19 was in. And it wasn't just a spontaneous declaration, you
20 know, so and so did it. This is an officer that went to his
21 room with a six-pack to look at. That is just another
22 reason why likely 804(b)(2) would not apply.

23 Now I am happy if one of y'all wants to give me a
24 proposed order so y'all can tee it up for appeal, or however
25 y'all want to handle it. Chad, Cody, ya'll ---

1 MR. SIMPSON: Well ---

2 THE COURT: Whatever y'all want to do.

3 MR. SIMPSON: It would be, you know, obviously ---

4 THE COURT: Okay.

5 MR. SIMPSON: --- my side would be seeking this appeal.

6 THE COURT: Okay.

7 MR. SIMPSON: I don't know that we will ---

8 THE COURT: It doesn't hurt my feelings one bit. We
9 need to know ---

10 (Pause for Court's comments on attorney entering courtroom
11 for unrelated case.)

12 MR. SIMPSON: I think considering that -- I am not
13 trying to put more work on Mr. Grober. I think since they,
14 quote, unquote, won, so to speak, that it would be more
15 appropriate for him to draft the order.

16 THE COURT: Well, if Mr. Cody -- if Mr. Grober just
17 wants to turn his brief into an order and then send it to me
18 in Word so that I can jump into it with the additional law
19 that I pulled and a little bit of what I just said ---

20 MR. GROBER: Sure.

21 THE COURT: --- I will get that in a posture so that
22 you can discuss it with whoever you need to discuss it to
23 decide if you want to appeal or not. And I don't even have
24 to address the confrontation clause issue unless y'all just
25 want me to.

1 MR. SIMPSON: Well, I think should an appeal be -- you
2 know, both sides I think conceded this is incredibly close.
3 And were an appeal to be sought it would be ---

4 THE COURT: Okay.

5 MR. SIMPSON: --- for clarification of a tough issue.
6 I think if that is -- again, I don't know that it is. But
7 if that is the route we take ---

8 THE COURT: Okay.

9 MR. SIMPSON: --- I think the ruling on both ---

10 THE COURT: All right.

11 MR. SIMPSON: --- prongs would be most helpful.

12 THE COURT: Well, ---

13 MR. SIMPSON: --- if I understand you correctly.

14 THE COURT: --- let me do that. And then if Chad needs
15 to appeal and you need to cross appeal, it doesn't hurt my
16 feelings. But it will give them a chance to have it tee'd
17 up.

18 MR. SIMPSON: For full ---

19 THE COURT: And by them, I mean the folks in Columbia.
20 So that y'all don't have to re-create the wheel. Because
21 this is going to come up again. I mean I don't know what is
22 trickling up to the United States Supreme Court, but when
23 there is a split like this, it is going to keep coming up.

24 MR. SIMPSON: Sure.

25 THE COURT: All right. I will do that ---

1 MR. GROBER: I should be able to get the brief to you
2 tomorrow.

3 THE COURT: Okay. Well, I owe Rand Stoney an order
4 before I owe this one. So I will get it to y'all as quickly
5 as I can. What else did y'all need me to rule on, or I
6 think there was a bond setting issue.

7 MR. GROBER: Yes, Your Honor.

8 THE COURT: Okay.

9 MR. GROBER: I know we did -- we did a Neil v Biggers.
10 I believe you ruled. Obviously this supersedes that ruling
11 to some -- I mean it doesn't change the ruling, but it kind
12 of depends if they appeal it or not.

13 We do -- we would like to address bond and also motion
14 for a speedy trial. On -- and I can ---

15 THE COURT: What is the current bond?

16 MR. GROBER: He actually has no bond.

17 THE COURT: Oh, no bond. Okay.

18 MR. GROBER: On murder. And I mean I don't know that
19 he has bond on anything.

20 THE COURT: Okay.

21 MR. GROBER: We would ask that you -- I know he has one
22 at least no bond on a murder.

23 THE COURT: Okay.

24 MR. GROBER: I think there is a bond set on the armed
25 robbery.

1 THE COURT: Okay.

2 (Mr. Grober confers with client.)

3 MR. GROBER: Okay, and he says there is not. I
4 apologize. I don't have it with me.

5 THE COURT: All right.

6 MR. GROBER: I do have, you know, blank form order. I
7 can address that issue now if you would like, Your Honor.

8 THE COURT: What, the speedy trial issue or ...?

9 MR. GROBER: Well, both.

10 THE COURT: The bond and the speedy ---

11 MR. GROBER: Bond -- I mean we would move that you set
12 a bond.

13 THE COURT: Well, here is the thing. I have just
14 thrown a huge curve ball at the State's case, and so y'all
15 may need to chat. I don't know what the other evidence is.
16 I need to know his prior record about the bond and something
17 that the State would like and also when you think we might
18 be able to try this understanding that the analysis is
19 different now that the ID is out.

20 MR. SIMPSON: We would -- I would ask, Your Honor --
21 and I'm glad you recognize this. Obviously this is going to
22 be a full reassessment ---

23 THE COURT: I understand.

24 MR. SIMPSON: --- of the entire case. And I'm
25 reluctant to make any ---

1 THE COURT: Okay.

2 MR. SIMPSON: --- definitive statements on the record
3 because I need to look through ---

4 THE COURT: You don't -- I'm not going to make you do
5 that.

6 MR. SIMPSON: --- again. I will say that our strongest
7 most substantial evidence was -- was the statement that we
8 are arguing about today. And it's clearly -- it's hard for
9 me to overstate how much that changes the strength of our
10 case.

11 THE COURT: I thought that might be the situation. And
12 y'all, just to know, I like y'all both. I looked at it
13 fully as I thought the law needed to be applied.

14 MR. SIMPSON: And we appreciate the time that you took.
15 And I think certainly we have got a great record that you
16 allowed us to make on this issue.

17 THE COURT: Tell me what his prior record is if you
18 would.

19 MR. SIMPSON: Your Honor, he has a 2002 trespassing,
20 possession of marijuana, and strong-armed robbery for which
21 he served an active YOA.

22 THE COURT: Okay.

23 MR. SIMPSON: A 2005 possession of marijuana, 2007
24 possession of marijuana, and 2010 a second strong-armed
25 robbery for which he received a sentence of five years.

1 THE COURT: Okay. And this allegedly happened shortly
2 after he got out?

3 MR. SIMPSON: Yeah, this happened in May of 2011 which
4 would have been -- I mean I don't have the actual dates of
5 service. But ---

6 THE COURT: Okay. How long has he been incarcerated?

7 MR. GROBER: May 4th, 2011.

8 THE COURT: May 4th of ---

9 MR. GROBER: If I can give you some dates just
10 procedurally.

11 THE COURT: Sure. Sure.

12 MR. GROBER: That was the date of his arrest. His bond
13 essentially was denied in June of that year. A motion for a
14 speedy trial was filed in July of that year. In July 20th
15 of 2012 I filed a motion for a speedy trial and to revisit
16 bond. Judge Nicholson ruled that it should be tried by
17 January of 2013 or I be allowed to revisit bond.

18 THE COURT: Okay.

19 MR. GROBER: I held off on addressing that because I
20 knew that we were having this hearing.

21 THE COURT: Okay.

22 MR. GROBER: Or that we were having a hearing ---

23 THE COURT: You were waiting on me ---

24 MR. GROBER: --- in March.

25 THE COURT: Right.

1 MR. GROBER: So in my -- I would say that Mr. Brown has
2 been very patient with the process and he's really been a
3 pleasure to work with.

4 So I know that initially -- it is on a couple of trial
5 dockets, the priority dockets. One is January 27th, one is
6 March 31st. I think I have all discovery. But we want to
7 make -- we will meet again to talk about that to make sure.

8 But, you know, we were ready to move and get some
9 resolution and certainly, you know, would like a bond to be
10 set.

11 THE COURT: And, sir, please know the delay was in no
12 part on your attorney's fault or Mr. Simpson's. And I
13 apologize to you, sir, as well. This was an extremely close
14 legal issue and I have struggled with it.

15 Did this gentleman wish to be heard? Yes, sir, if you
16 would give me your name for the record first.

17 AUDIENCE: David Allen ---

18 THE COURT: Yes, sir, I am happy to hear from you.

19 AUDIENCE: I am the grandfather of Davon and I request
20 that no bond be set at this time and even on the appeal.

21 THE COURT: Okay. Well, I appreciate that, sir. Thank
22 you, sir.

23 MR. SIMPSON: And, Your Honor, to verify some of the
24 dates ---

25 THE COURT: Sure.

1 MR. SIMPSON: --- Mr. Grober gave you. We are second
2 on the priority docket for January.

3 THE COURT: Okay.

4 MR. SIMPSON: I might have the actual day wrong. But
5 it is late January. The 27th?

6 MR. GROBER: I want to say ---

7 THE COURT: There are a lot of things, speedy trial
8 matters popping up around then.

9 MR. SIMPSON: And then we're -- we think we do have a
10 firm priority docket first up ---

11 THE COURT: Okay.

12 MR. SIMPSON: --- for March of two thousand ---

13 THE COURT: Well, hopefully that will give y'all time
14 to decide your procedural posture of what you want to do ---

15 MR. SIMPSON: Yes, Your Honor.

16 THE COURT: --- and we'll go from there. The defendant
17 has been incarcerated for quite some time. I am
18 uncomfortable with his prior record. But under the
19 Constitution and Title 17 he is entitled to a bond.

20 I'm going to set a \$200,000 consolidated bond. And I
21 will no longer be the administrative judge starting in
22 January; but if y'all need to revisit this, you know, I'm
23 happy to -- I know more about it. You know, Judge Young
24 will be coming in cold. I am happy to help any way I can in
25 moving that along. I will get ya'll the order as soon as I

1 can.

2 Cody, if you will e-mail me your brief. We may already
3 have it in Word. Just shoot us that and we will deal with
4 it.

5 MR. GROBER: Sure.

6 THE COURT: And we will get the order as quickly as we
7 can. What else; anyway that I have not ruled on that I need
8 to rule on for y'all so y'all can move this thing forward?

9 MR. SIMPSON: Just should there need to be a record for
10 an appeal ---

11 THE COURT: Yeah.

12 MR. SIMPSON: --- let me -- I want to clarify and I
13 think Mr. Grober referred to this. Just so you don't have
14 to redo all this stuff --

15 THE COURT: That's fine.

16 MR. SIMPSON: --- if it should happen. But it was my
17 understanding that you -- at the initial hearing it seemed
18 to me like you ruled on the Neal v Biggers ---

19 THE COURT: Yeah ---

20 MR. SIMPSON: --- and that that wasn't a real concern
21 to you.

22 THE COURT: I did not find the lineup to be unduly
23 suggestive. I didn't think we had a Neal v. Biggers
24 problem. My concern the whole time was the whether the
25 hearsay exception applied and what implication the

1 confrontation clause would have.

2 Sarah and I both poured through the medical records and
3 went over the notes and read the cases. And this just
4 doesn't come within 804(b)(2) as I interpret it.

5 But, yes, I did not have a problem with under the
6 totality and circumstances with any suggestivity problem on
7 the part of the lineup. And I'm happy to put that in the
8 order as well.

9 You know, that burden is on the defense to show that
10 the lineup is unduly suggestive. And only if we find that
11 it is do we even have to reach the reliability issue. But I
12 do find that it was reliable. It just doesn't fall within
13 that hearsay exception.

14 MR. GROBER: Thank you, Your Honor.

15 MR. SIMPSON: Thank you.

16 MR. GROBER: A blank order ---

17 THE COURT: Okay.

18 MR. GROBER: --- for bond purposes?

19 THE COURT: Oh, and I have got this one too.

20 MR. GROBER: Oh, okay.

21 THE COURT: But it doesn't matter. This is just a
22 format they use.

23 MR. GROBER: Okay. That's fine.

24 THE COURT: You can do that if it's easier.

25 MR. GROBER: I am fine with that.

FILED

STATE OF SOUTH CAROLINA) IN THE COURT OF GENERAL SESSIONS
FOR THE NINTH JUDICIAL CIRCUIT

2013 MAR 13 PM 12:10

COUNTY OF CHARLESTON)
JULIE J. ARMSTRONG) Case No(s):
CLERK OF COURT) Warrant No(s): M640172; ~~C-10-11-0476~~;
M640173; M640174; M640203
BY MA) Charge(s): Poss. Wpn. During Viol. Crime;
Probation Violation; Murder/Attempted; Armed
Robbery; Murder

STATE OF SOUTH CAROLINA)

vs.)

Motion to Suppress Hearsay
Testimony Of Victim

MARVIN REGINALD BROWN,)

Defendant)

YOU WILL PLEASE TAKE NOTICE that the Defendant, by and through the undersigned attorney, will move before the Presiding Judge of the Court of General Sessions at the Charleston County Judicial Center, 100 Broad Street, Charleston, South Carolina, as soon as the matter may be heard for an Order suppressing any Hearsay statements of the Victim in the case by witnesses for the Prosecution on the grounds that introduction of such statements deny the Defendant due process, all in violation of the Laws and Constitutions of the State of South Carolina and the United States of America. This motion is filed pursuant to Crawford v. Washington, 541 U. S. 36, S.C. Rule of Evidence 801 and 802.

Respectfully Submitted,

Cody J. Groeber
Cody J. Groeber
Assistant Public Defender
Attorney for Defendant

Charleston, South Carolina

Dated: 3/12/13

STATE OF SOUTH CAROLINA
COUNTY OF CHARLESTON

) IN THE COURT OF GENERAL SESSIONS
) FOR THE NINTH JUDICIAL CIRCUIT
)

Indictment No(s): 2012-GS-10-6844, 6845, 6846
Charge(s): Murder, Armed Robbery, Possession
of a Weapon During a Crime of Violence

STATE OF SOUTH CAROLINA

vs.

MARVIN BROWN

Defendant

MEMO IN SUPPORT OF MOTION TO
SUPPRESS HEARSAY TESTIMONY

I. Facts

Davon Goodwin, was shot on April 26th, 2011, around 2 a.m. on America Street in downtown Charleston, South Carolina. He was transported to the Medical University of South Carolina, where he underwent surgery on the same day. He made it through surgery and regained consciousness sometime on April 27th. The next day, the breathing tube was removed, and he was able to converse with his family and doctors. His condition was generally improving throughout his stay in the hospital. See attached Exhibit A, a copy of Mr. Goodwin's medical records.

On April 29th, Detective Fleming of the Charleston Police Department interviewed the victim. Detective Fleming read him a standard admonition form for photographic lineups: (Exhibit B) The victim identified the Defendant in a six-pack photo lineup. There is a partial audio recording of this identification procedure. (Exhibit C) On May 4, 2011, the victim died from complications of the gunshot wound.

II. Argument

The Defendant maintains that the victim's statements and identification of the Defendant are inadmissible. The Sixth Amendment's Confrontation Clause provides that, "[i]n all criminal prosecutions, the accused shall enjoy the right ... to be confronted with the witnesses against him" U.S. Const. amend. VI. *Crawford v. Washington*, 541 U.S. 36 (2004), held that the admission of testimonial statements by an unavailable witness whom the defense has not had a prior opportunity to cross-examine violates the defendant's Confrontation Clause rights under the Sixth Amendment.

In South Carolina, not only is this right applicable to state prosecutions under the Fourteenth Amendment, it is specifically mandated by the State Constitution. *State v. Green*, 269 S.C. 657, 661 (1977) (citing S.C. Const. art. I, § 14).

Even if a statement does not run afoul of these Constitutional Amendments, it may still be inadmissible as Hearsay. Hearsay is defined as "a statement, other than one made by the declarant while testifying at the trial or hearing, offered in evidence to prove the truth of the matter asserted." Rule 801(c), S.C.R.E. Hearsay is generally inadmissible. Rule 802 S.C.R.E. An exception to this general rule is a dying declaration. Rule 804(b)(2), S.C.R.E., provides that dying declarations are admissible: "In a prosecution for homicide or in a civil action or proceeding, a statement made by a declarant while believing that the declarant's death was imminent, concerning the cause or circumstances of what the declarant believed to be impending death."

A. Confrontation Clause

The victim's statements should be excluded because they are testimonial in nature, and therefore, are subject to the confrontation clause's requirement of cross examination.

1. Crawford

Crawford concerned whether the statements of the wife of the accused were admissible although she was unavailable as a trial witness. The Supreme Court held that the prior case law erroneously permitted admission of the wife's statement based on the concept of reliability. When testimonial statements are the issue, "the only indicium of reliability sufficient to satisfy constitutional demands is the one the Constitution actually prescribes: confrontation." *Crawford* at 69. The right to confrontation was found not to be a substantive guarantee that evidence be reliable, but rather a procedural guarantee that the reliability of the wife's statement be tested by cross-examination. *Id.* at 61.

The Court established a two-prong test in which statements are inadmissible: (1) if the statements are "testimonial" and (2) the witness is unavailable to testify unless the defendant has had a prior opportunity for cross-examination of the witness.

2. "Testimonial" Statements

a. *Crawford*

Although *Crawford* did not fully define what constitutes "testimonial" statements, it provided guidelines for what would make a statement "testimonial." For example, the Court explained that "pretrial statements that declarants would reasonably expect to be used prosecutorially" would be categorized as "testimonial" statements. *Id.* at 51-52. "Testimony" is generally "[a] solemn declaration or affirmation *made for the purpose* of establishing or proving some fact." *Id.* at 51, citing 2 N. Webster, *An American Dictionary of the English Language* (1828) (emphasis added). The Court also noted that "accusatory statements to police officers" were "testimonial statements." *Id.* at 68.

b. *Davis and Hammon*

In a pair of cases two years later, the Court clarified (to a degree) which statements to a police officer are “testimonial.” See *Davis v. Washington* and *Hammon v. Indiana*, 547 U.S. 813 (2006). In *Davis*, the victim of abuse by her boyfriend called 911. While speaking with the operator, she gave her boyfriend’s name and described her abuse at his hands. She did not testify at trial, and over the defendant’s objection, the State was allowed to play the 911 tape. *Davis* at 818-19. In *Hammon*, the police were dispatched to the defendant’s house for a report of domestic disturbance. When the police arrived, the victim first claimed that nothing happened. Then she signed an affidavit alleging that the defendant pushed and hit her. Again, at trial, the victim did not testify. Over the defendant’s objection, the State was allowed to introduce the affidavit alleging the battery. *Id.* at 819-21.

The Supreme Court explained that statements are “non-testimonial” when made in the course of police interrogation under circumstances objectively indicating that the primary purpose of the interrogation is to enable police assistance to meet an ongoing emergency. *Id.* at 822. Statements are “testimonial” when circumstances objectively indicate that there is no such ongoing emergency, and that the primary purpose of the interrogation is to establish or prove past events potentially relevant to later criminal prosecution. *Id.*

The Court, applying the above reasoning, distinguished the two cases. The 911 statements were non-testimonial (and therefore not subject to the Confrontation Clause and its procedural requirement of cross examination) because the purpose of the call was to “a call for help against a bona fide physical threat.” *Id.* at 827. “Ordinarily [a 911 call] is not designed to primarily ‘establish or prove’ some past fact, but to describe current circumstances requiring

police assistance.” *Id.* at 827. The victim in this case was “speaking about events *as they were actually happening.*” (emphasis in original). *Id.*

When distinguishing the facts in *Davis* from the facts in *Crawford*, the Court examined the following factors: (1) how close in time was the statement made in relation to the event itself, (2) whether or not there was an ongoing emergency, (3) whether or not the questions asked elicited statements necessary to be able to resolve the present emergency, and finally, (4) the level of formality of interviews. *Id.* at 826-28.

When the Court examined the facts in *Hammon* through these factors, it determined that the victim’s oral statements and affidavit were testimonial because the clear purpose was to “investigate a possible crime.” *Id.* at 830. The Court found that the affidavit was a solemn declaration or affirmation made for the purpose of establishing or proving some fact that occurred in the past. *Id.* at 832. The court found these facts much closer to the facts in *Crawford*. There was no emergency in progress and no immediate threat to the victim’s person. *Id.* The Court determined that the officer was not seeking to determine “what is happening” but “what happened.” *Id.* at 830.

Finally, when the Court examined the level of formality, it found that it was more similar to a station house interview than a 911 call. *Id.* The victim was moved away from her husband, her account was in response to police questioning, and the interview took place some time (several minutes) after the events that she described were over. The Court believed that all of this was an obvious substitute for live testimony. Consequently, the statements should not have been admitted.

c. *Michigan v. Bryant*

In *Michigan v. Bryant*, 131 S.Ct. 1143 (2011), the Court again waded into the waters of confrontation. In *Bryant*, the police responded to a dispatch and found the victim in a parking lot mortally wounded. In response to police questions, the victim described the circumstances of the shooting, told the police that the defendant shot him, and he had driven to the parking lot. The conversation with the officers lasted between five and ten minutes, and then EMS arrived. *Bryant* at 1150. The victim subsequently died, and his statements were admitted at trial over defense objection. The United States Supreme Court found that the statements were non-testimonial because the “primary purpose of the interrogation was to enable police assistance to meet an ongoing emergency.” *Id.* This was important, because, as the Court noted, “an ongoing emergency focuses the participants not on proving past events potentially relevant to later criminal prosecution. Rather, it focuses them on ending a threatening situation.” *Id.* at 1157

(citations omitted).

Bryant gives perhaps the most robust example of the “objective” evaluation a court is to undergo in determining whether or not a statement is testimonial. The court is to determine what was the “primary purpose” of the interrogation. *Id.* at 1156. When determining what the primary purpose was, a court should consider “the circumstances in which an encounter occurs.”

Id.

These circumstances include whether the statement was made “at or near the scene of the crime versus at a police station,” or “during an ongoing emergency or afterwards.” *Id.* The existence of an “ongoing emergency” at the time of the statement “is among the most important circumstances informing the “primary purpose” of an interrogation . . . because an emergency focuses the participants on something other than “prov[ing] past events potentially relevant to

later criminal prosecution.” *Id.* at 1157. However, the existence of an emergency is not dispositive of the inquiry. “As Davis made clear, whether an ongoing emergency exists is simply one factor--albeit an important factor--that informs the ultimate inquiry regarding the “primary purpose” of an interrogation.” *Id.* at 1160.

Another factor “is the importance of informality in an encounter between a victim and police.” *Id.* Finally, in determining the primary purpose of the statement, a court must examine “the statements and actions of both the declarant and interrogators.” *Id.*

3. The statements to Detective Fleming are “testimonial.”

Crawford stood for the proposition that accusatory statements to the police are testimonial. When looking at the circumstances in this case objectively, it is clear that the primary purpose of the interrogation that produced the identification was to prove past events relevant to a later prosecution.

First, in examining the circumstances, it is helpful to consider the closeness in time of when the incident happened and when the statement was given. The incident happened on April 26th. In this case, the statement was given on April 29th, a full three days after the shooting. This is very factually different than *Davis*, when the statement was given *at the same time* that the incident was happening. It is also a far cry from *Bryant*, when the statements were given twenty to thirty minutes after the shooting. *Id.* at 1166.

Second, the court should examine whether or not there was an ongoing emergency. Again, the temporal distance between the incident and the identification suggests that the emergency was no longer ongoing. *Bryant* does encourage courts to consider both whether or not a suspect has been apprehended as well as what type of weapon was used. *Id.* at 1164-65. However, the “shooter on the loose” fear cannot alone determine if an emergency would still

exist. The Court in *Bryant* did not feel the need to “decide precisely when the emergency ended” because the victim’s interactions with the police “occurred within the first few minutes of the police officers’ arrival and well before they secured the scene of the shooting.” *Id.* at 1165.

The court should also examine the scope and duration of the emergency. In *Bryant*, the police responded to an open area, and the police had no idea what happened. They needed to determine what kind of danger the victim was in, what kind of danger they, as the responding officers, were in, as well as what kind of threat the shooter posed to the public at large. *Id.* at 1166. The questioning by Detective Fleming indicates that this was not his primary purpose. His purpose was to get an identification so that he could make an arrest. He also wanted to document this: he partially recorded the procedure, he read the admonition form regarding identifications, and he asked the victim to initial and sign the identification.

Third, the court must examine the formality of the situation and interrogation. The *Bryant* court found great similarities with *Davis*, the 911 case. *Bryant* at 1166. Both were very informal, with questions designed to “simply address what they perceived to be an ongoing emergency.” These “circumstances lacked any formality that would have alerted [the victim] to or focused him on the possible future prosecutorial use of his statements.” *Id.*

This case is much more similar to the station house interview in *Crawford*. Detective Fleming went to the victim’s hospital room. He brought a six-pack photo line-up. He also brought an admonition form. He read the admonition form to the victim. He had the victim initial the admonition form twice and sign it once.

Finally, the court must examine “the statements and actions of both the declarant and interrogators” because they can “provide objective evidence of the primary purpose of the interrogation.” *Bryant* at 1160. The questions asked by Detective Fleming were aimed at a past

event and not at dealing with an ongoing emergency. His purpose was to get an identification that he could rely on for an arrest warrant, indictment, and later prosecution.

4. Dying Declaration: An Exception?

There is some suggestion within the confrontation cases that an exception to the cross-examination requirement may exist for dying declarations. See *Crawford* at 56 n. 6, *Giles v. California*, 554 U.S. 353, 361-362 (2008), and *Bryant* at 1151 n. 1 (majority opinion) and at 1177 (Ginsburg dissent). However, this question has never been properly preserved or presented. There are two main arguments advanced by those who argue that an exception does exist.

The first is a historical argument. At the time of the adoption of the Sixth Amendment, the Framers intent (assuming it is their “intent” that one is after”) was to include any historical “exceptions” to the common law requirement of confrontation. These, under Justice Scalia’s originalist interpretation of the Constitution’s text, would have included dying declarations. See *Crawford* at 56 n. 6 and *Giles* at 361. This historical claim is not without criticism. See Michael J. Polelle, *The Death of Dying Declarations in a Post-Crawford World*, 71 Mo.L.Rev. 285 at 291 (2006). (“The suggestion that dying declarations should be differentiated historically from other hearsay exceptions rests in part on an inaccurate claim that dying declarations were the only recognized criminal hearsay exception at common law.”)

This view is predicated, at least in part, upon the idea that dying declarations were the only recognized criminal hearsay exception at common law. *Crawford* at 56 n.6 (citing F. Heller, *The Sixth Amendment* 105 (1951)). Michael Polelle, *supra*, is not the only person to challenge this interpretation. See *California v. Green*, 399 U.S. 149, 178 n.12 (1970) (Harlan J.

concurring) (“This view is open to question. Wigmore, for one, takes the position that several exceptions to the hearsay rule existed as of the time the Sixth Amendment was adopted.”)

This theory then, that the Framers’ intent was to graft on certain exceptions to the confrontation requirement, is far from proven and accepted in the courts and the scholarly literature.

The other argument advanced by proponents of the exception is one of necessity. This is certainly seen in *Satterwhite v. Virginia*, 56 Va. App. 557 (Va. App. 2012). The victim in that case was found by his girlfriend, shot, gasping for breath, and bleeding profusely. He told both her and the police a few minutes later that the defendant was the shooter. *Satterwhite* at 559-60. The court specifically held that a dying declaration was an exception to the Sixth Amendment’s requirement of confrontation. It did so both for historical reasons, *Id.* at 566, but also because of necessity. The *Satterwhite* court cited *Mattox v. United States*, 156 U.S. 237 (1895), for the proposition that dying declarations “from time immemorial have been treated as competent testimony They are admitted, not in conformity with any general rule regarding the admission of testimony, but as an exception to such rules, simply from the necessities of the case, and to prevent a manifest failure of justice.” *Satterwhite* at 567.

Necessity is a convenient claim for the state when attempting to prove its case. However, “if mere need of the state to use whatever information it has to ensure a conviction suffices, then the entire array of hearsay exceptions and even confrontation guarantees risk collapse.” Michael J. Polelle, *The Death of Dying Declarations in a Post-Crawford World*, 71 Mo.L.Rev. 285 at 306 (2006).

Mattox was decided over 100 years before *Crawford*, and it is uncertain that it survives it. The Majority in *Crawford* saw *Mattox* as distinguishable because its decision was based upon

"whether the defendant had had an opportunity for cross-examination" or not. *Crawford* at 59 n.9. To the extent that the *Mattox* court treated dying declarations as exceptions to the confrontation requirement, it was dicta. It certainly is inconsistent with the holding in *Crawford* that "[w]here testimonial statements are at issue, the only indicium of reliability sufficient to satisfy constitutional demands is the one the Constitution actually prescribes: confrontation." *Id.* at 68-69.

B. Are These Statements Dying Declarations?

If the court determines that the Confrontation Clause does not apply to these statements, these statements are barred under S.C. Rule of Evidence 801 and 802. They are hearsay, no exceptions exist, and they are therefore inadmissible in a court of law in South Carolina. The only arguable exception is found in S.C. Rule of Evidence 804(b)(2), a dying declaration.

This rule has long been recognized in South Carolina. See *State v. Riley*, 98 S.C. 386 (1914). It is a codification of the common law rule that "dying declarations are competent evidence, for or against the accused, upon preliminary proof of certain conditions." *State v. Bethea*, 241 S.C. 16 at 21 (1962). Those three conditions are (1) imminent death at the time the declaration is made, (2) the declarant must be fully aware of this to be without any hope of life, and (3) the circumstances of the death must be the subject of the declaration. *Id.* at 21.

"It is incumbent on the party offering to introduce in evidence a dying declaration to show that it fulfills the requirements of the law." *State v. Brown*, 108 S.C. 490 at 497-98.

1. Was the victim in imminent danger of death?

While there is no doubt, from the medical records, that the victim in this case was still in serious condition, he was improving. He was admitted into the hospital on April 26th, and underwent surgery the same day. See Exhibit A, page 56 of 519. He was intubated on the 26th

and extubated on the 28th. Ex. A at 186. He was moved out of the STICU on April 29th. Ex. A at 56. On the day he gave his statement, he was "adequate on the floor, transferred out of STICU, was making strides with physical therapy, and ambulating in the hall." Ex. A at 56. He received a physical therapy consult on April 29th at 14:17. Ex. A at 465. He began physical therapy on April 30th. Ex. A at 404-406. On that day he tolerated 5 – 15 minutes of uninterrupted exercise. Ex. A at 429. His death, on April 4, was listed as sudden and unexpected. Ex. A at 48.

2. **Was the victim fully aware of his imminent death, and did he have any hope?**

There is no evidence presented in the medical records or discovery that the victim was aware of his imminent demise. In fact, the evidence suggests to the contrary. His medical condition was improving. Furthermore, there is evidence to be presented at the pre-trial hearing that the victim was planning on seeking revenge. This is inconsistent with both an awareness of imminent death as well as one who has given up all hope of life.

Finally, the victim never received chaplain services, although his family did. The chaplain visited his family the day he came in and the day he died. Ex. A at 464.

3. **Were the circumstances of the death the subject of the statement?**

All parties agree that this was the case.


III. Conclusion

A defendant must be allowed to confront his accusers. This right is enshrined in the Sixth Amendment of the United States Constitution and the Fourteenth Amendment of the South Carolina Constitution. This right ensures that testimonial statements, as in this case, are tested in the "crucible of cross-examination." *Crawford* at 61.

The victim's statements in this case are also inadmissible hearsay, and the facts do not support a finding that he was in danger of imminent death at the time he made the statements, that he was aware of imminent death, or that he had given up all hope of life.

For all of these reasons, the statements and identification of the victim should not be admitted in the trial against the Defendant.

Respectfully Submitted,


Cody J. Groeber
Assistant Public Defender
Attorney for Defendant

Charleston, South Carolina

Dated: 3/25/13

STATE OF SOUTH CAROLINA	)	IN THE COURT OF GENERAL SESSIONS
	)	
COUNT OF CHARLESTON	)	NINTH JUDICIAL CIRCUIT
	)	
STATE OF SOUTH CAROLINA	)	Indictment No(s): 2012-GS-10-06844, 45, 46
	)	Warrant No(s): M640172, 174, 203
	)	Charges: Poss. Weapon, Armed Robbery,
	)	Murder
-versus-	)	
	)	
Marvin R. Brown	)	Source Citations in Response to Defense
	)	Motion to Suppress Statement
Defendant.	)	

I. Does the holding of Crawford v. Washington, 124 S.Ct. 1354 (2004), impact analysis of a dying declaration?

1. Crawford v. Washington, 124 S.Ct. 1354, 1367 (2004):

Discussing the history of the Confrontation Clause and established hearsay exceptions, specifically holding that established hearsay exceptions do not constitute an exception to the Confrontation Clause, with one possible exception:

“But there is scant evidence that [hearsay] exceptions were [historically] invoked to admit *testimonial* statements against the accused in a *criminal case*.” (emphasis in original) FN6.

FN6: “The one deviation we have found involves dying declarations. The existence of that exception as a general rule of criminal hearsay law cannot be disputed. [citations omitted] Although many dying declarations may not be testimonial, there is authority for admitting even those that clearly are. [citations omitted] We need not decide in this case whether the Sixth Amendment incorporates an exception for testimonial dying declarations. If this exception must be accepted on historical grounds, it is *sui generis*.”

2. Michigan v. Bryant, 131 S.Ct. 1143, 1151 FN.1 (2011):

After first determining that the government had waived their dying declaration argument, addressing the issue of whether Crawford undoes the admissibility of dying declarations:

“FN. 1. . . . This [trial] occurred prior to our 2004 decision in Crawford v. Washington, 541 U.S. 36, 124 S.Ct. 1354 (2004), where we first suggested that dying declarations, even if testimonial, might be admissible as a historical exception to the Confront Clause. Id., at 56, n.6, 124 S.Ct. 1354; see also Giles v. California, 554 U.S. 353, 358-59, 128 S.Ct. 2678 (2008). We noted in Crawford that we ‘need not decide in this case whether the Sixth Amendment incorporates an exception for testimonial dying declarations.’ 541

U.S., at 56, n.6 124 S.Ct. 1354. Because of the State's failure to preserve its argument with regard to dying declarations, we similarly need not decide that question here."

From J. Ginsberg's dissent:

"I would add, however, this observation. In *Crawford v. Washington*, 541 U.S. 36, 56, n. 6, 124 S.Ct. 1354, 158 L.Ed.2d 177 (2004), this Court noted that, in the law we inherited from England, there was a well-established exception to the confrontation requirement: The cloak protecting the accused against admission of out-of-court testimonial statements was removed for dying declarations. This historic exception, we recalled in *Giles v. California*, 554 U.S. 353, 358, 128 S.Ct. 2678, 171 L.Ed.2d 488 (2008); see *id.*, at 361-362, 368, 128 S.Ct. 2678, applied to statements made by a person about to die and aware that death was imminent. Were the issue properly tendered here, I would take up the question whether the exception for dying declarations survives our recent Confrontation Clause decisions. The Michigan Supreme Court, however, held, as a matter of state law, that the prosecutor had abandoned the issue. See 483 Mich. 132, 156-157, 768 N.W.2d 65, 78 (2009). The matter, therefore, is not one the Court can address in this case."

II. The Dying Declaration Exception in South Carolina

The modern approach to dying declarations, thoroughly reviewed in State v. McHoney, 344 S.C. 85, 554 S.E.2d 30 (2001):

[Victim's parents found her with her throat slashed and abdominal stab wounds. Victim was told by medical personnel that she was going to be "fine" and would get the "best care from the best doctors." She died two weeks later. Statements she gave to police while being transported from one hospital to another were admitted in the defendant's trial]

"I. Dying Declaration

McHoney argues the trial judge erred by admitting the victim's identification of "SP" as her killer under the dying declaration exception to the hearsay rule, Rule 804(b)(2), SCRE, because there was no evidence the victim believed her death was imminent, and the victim did not die until two weeks after making the statements. We disagree.

Hearsay is not admissible unless it fits within an exception to the hearsay rule. Rule 802, SCRE. The State sought to introduce the victim's identification of "SP" as her killer under the dying declaration exception. Rule 804(b)(2), SCRE. A statement made under the belief of impending death is not excluded by the hearsay rule if the declarant is unavailable as a witness in a prosecution for homicide, the statement is made by a declarant while believing the declarant's death is imminent, and the statement concerned the causes or circumstances of what the declarant believed to be impending death. Rule 804(b)(2), SCRE; see also 29A AM.JUR.2DEvidence § 829 (Supp.2000) ("In a homicide prosecution, the dying declaration must bear on the fact of the homicide and the person

by whom it was committed. Such statements must be made voluntarily and in good faith. In addition, such statement must be made under a sense of impending death.”).

McHoney argues there was no evidence the victim believed her death was imminent at the time of her declaration. According to defense counsel:

“If this nurse who has been taking care of me and talking to me says I am going to be fine and I am getting the best medical treatment possible, then exactly the opposite would have been understood by the declarant. So it would not qualify as a dying declaration.”

The medical personnel who attended the victim assured her she would be “fine.” However, the victim shook her head no *93 in response to the assurances, indicating she was aware of her impending death. A declarant does not have to express, in direct terms, his awareness of his condition for his statement to be admissible as a dying declaration. The necessary state of mind can be inferred from the facts and circumstances surrounding the declaration. *See Louisiana v. Bell*, 721 So.2d 38 (La.Ct.App. 5th Cir.1998); *Louisiana v. Nicholson*, 703 So.2d 173 (La.Ct.App. 4th Cir.1997); *Louisiana v. Matthews*, 679 So.2d 977 (La.Ct.App. 4th Cir.1996). Repeated questioning by the declarant concerning whether he is going to live, a less than reassuring answer, the nature of the wound, and the declarant's critical condition are circumstances that indicate the declarant's awareness of approaching death. **34 *Charles v. Texas*, 955 S.W.2d 400 (Tex.Ct.App.1997).¹ In fact, a declarant can be aware of imminent death even when he is assured he will not die and will be fine. *See id.* at 404 (holding evidence was sufficient for trial court to infer victim believed her death was imminent where victim had severe burns all over her body, she asked if she was going to die, and the officer replied negatively to reassure her and to prevent shock).

Furthermore, the length of time the declarant lives after making the dying declaration is immaterial. The focus is on the declarant's state of mind when the statement is made, not on the eventual outcome of the declarant's injuries. *See State v. Hall*, 134 S.C. 361, 133 S.E. 24 (1926).² In *State v. *94 Hall*, we held a dying declaration was properly admitted when the declaration was made shortly after the injury, and the declarant died 33 days later. We held it was the jury's duty to pass upon the credibility of the dying declaration, and the length of time between the declaration and death is just one factor to be considered. *Id.* at 361, 133 S.E. at 26.

In the instant matter, the fact the victim died two weeks after her injury does not indicate the victim did not believe her death was imminent, where she shook her head when told she would be fine, and where she never regained consciousness after she made the declaration. Therefore, we find the trial judge properly admitted the victim's identification of “SP” as her killer under Rule 804(b)(2), SCRE.”

III. Summation of State's Argument

1. While still an open question, review of the Crawford cases strongly suggests that the longstanding "dying declaration" exception does not run afoul of the Sixth Amendment. See, e.g., Satterwhite v. Virginia, 56 Va. App. 557 (2012) (Virginia State court concluding that the dying declaration exception survives Crawford)
2. The Defense cites a line of cases dating from 1914 – 1943 & 1962 to stand for the general proposition that a victim must literally be at death's door for the exception to apply (or, to use a phrase Mr. Groeber kindly taught me the other day on the phone – *in extremis*). They will argue that the favorable prognosis just following surgery negates the elements of the exception.

Though it was admirably mentioned in an email by the defense, absent from the defense's motion is reference to State v. McHoney, 344 S.C. 85, 554 S.E.2d 30 (2001). In McHoney, the South Carolina Supreme Court, in the text of the opinion and footnotes, performs a nationwide review of modern "dying declaration" jurisprudence. While McHoney admittedly does not expressly reverse the World War I era authority cited by the defense, a reading makes clear the modern approach to the reasonable fear of "imminent death" relaxes somewhat the rigid requirements of a century ago. It is wholly within the Court's discretion to review all the facts and circumstances, including the type of injury involved, and conclude that the declarant was in reasonable fear of imminent death. McHoney also makes clear that an extended amount of time before death happens is immaterial, as well as statements by medical personnel that the declarant has a favorable prognosis.

The defense seeks to apply the dying declaration exception, contrary to the most recent and complete view of State law in this area, as if it must be a statement given with one's literal last breath. The State would ask the Court to look at all the facts and circumstances, including the fact that the declarant was 48 hours removed from majorly invasive surgery due to a gunshot wound to the abdomen, in deciding whether or not the exception applies according to modern jurisprudence. This is admittedly a very close and difficult case, but when all circumstances are considered as a whole, we believe the statement is admissible.

3. Should the Court find either that Crawford effectively ended the dying declaration exception or that the exception does not apply to these facts, the State preserves the issue as to whether or not the statement here was testimonial under Bryant.

Respectfully Submitted,

Benjamin Chad Simpson
Assistant Solicitor, Ninth Circuit Solicitor's Office

STATE OF SOUTH CAROLINA

COUNTY OF CHARLESTON

) **IN THE COURT OF GENERAL SESSIONS**
FOR THE NINTH JUDICIAL CIRCUIT
) Indictment No(s): 2012-GS-10-6844, 6845, 6846
)
) Charge(s): Poss. Wpn. During Viol. Crime;
) Armed Robbery; Murder

STATE OF SOUTH CAROLINA

vs.

MARVIN REGINALD BROWN,

Defendant

) **ORDER**
) **GRANTING DEFENDANT'S**
) **MOTION TO EXCLUDE**
) **HEARSAY TESTIMONY**
) **OF VICTIM'S IDENTIFICATION**
)

THIS MATTER CAME BEFORE THE COURT on March 25, 2013, and December 10, 2013, on the Defendant's Motion to Suppress Hearsay Testimony and Defendant's Motion to Exclude the Victim's Identification of the above-named defendant, Marvin Brown. Deputy Public Defender Cody Groeber appeared on behalf of Defendant Brown; Assistant Solicitor Chad Simpson appeared on behalf of the State.

STATEMENT OF FACTS

The victim, Davon Goodwin, was shot on April 26, 2011, around 2 a.m., on America Street in downtown Charleston, South Carolina. He was transported to the Medical University of South Carolina, where he underwent surgery that same day. He made it through surgery and regained consciousness sometime on April 27, 2011. The following day, the victim's breathing tube was removed, and he was able to converse with his family and doctors. As exhibited by Mr. Goodwin's medical records, the victim's condition was generally improving throughout his stay at MUSC. See Exhibit A to Defendant's Motion to Suppress Hearsay Testimony.

ARM
1

On April 29, 2011, Detective Fleming of the Charleston Police Department interviewed the victim. Detective Fleming read him a standard admonition form for photographic lineups. See Exhibit B to Defendant's Motion to Suppress Hearsay Testimony. The victim identified the Defendant in a six-pack photo lineup. There is a partial audio recording of this identification procedure. See Exhibit C to Defendant's Motion to Suppress Hearsay Testimony. On May 4, 2011, the victim died from complications of the gunshot wound.

LEGAL ANALYSIS

The Defendant maintains that the victim's statements are inadmissible under the Sixth Amendment's Confrontation Clause, which provides that, "[i]n all criminal prosecutions, the accused shall enjoy the right ... to be confronted with the witnesses against him." U.S. Const. Amend. VI. In *Crawford v. Washington*, 541 U.S. 36 (2004), the United States Supreme Court held that the admission of testimonial statements by an unavailable witness whom the defense has not had a prior opportunity to cross-examine violates the defendant's Confrontation Clause rights under the Sixth Amendment. In South Carolina, not only is the right applicable to state prosecutions under the Fourteenth Amendment, it is specifically mandated by the State Constitution. *State v. Green*, 269 S.C. 657, 661, 239 S.E.2d 485, 487 (1977) citing S.C. Const. Art. I, § 14.

In the alternative, Defendant argues that the victim's statements are hearsay and that no exception exists in this case that would allow testimony regarding the victim's identification of Defendant Brown from the six-pack photo array. Hearsay is defined as "a statement, other than one made by the declarant while testifying at the trial or hearing, offered in evidence to prove the

truth of the matter asserted." Rule 801(c), S.C.R.E. Hearsay is generally inadmissible. Rule 802 S.C.R.E.

The State argues that the victim's statements are admissible under Rule 804(b)(2), S.C.R.E., which provides an exception to the hearsay prohibition for statements made by a declarant while under the belief that his death is imminent. Specifically, Rule 804(b)(2) states that "[i]n a prosecution for homicide or in a civil action or proceeding, a statement made by a declarant while believing that the declarant's death was imminent, concerning the cause or circumstances of what the declarant believed to be impending death" is not excluded by the hearsay rule.

This "dying declaration" rule has long been recognized in South Carolina. See *State v. Riley*, 98 S.C. 386, 82 S.E. 621 (1914). It is a codification of the common law rule that "dying declarations are competent evidence, for or against the accused, upon preliminary proof of certain conditions." *State v. Bethea*, 241 S.C. 16, 21, 126 S.E.2d 846, 848 (1962). "It is incumbent on the party offering to introduce in evidence a dying declaration to show that it fulfills the requirements of the law." *State v. Brown*, 108 S.C. 490, 497-98, 95 S.E. 61, 63 (1918). The three conditions that must be proved are as follows: (1) imminent death at the time the declaration is made; (2) the declarant must be so fully aware of this as to be without any hope of life; and (3) the circumstances of the death must be the subject of the declaration. *State v. Bethea*, 241 S.C. at 21, 126 S.E.2d at 848-849.

1. Imminent Death at the Time the Declaration is Made

The Court concludes that while there is no doubt that the victim in this case was in serious condition at the time of his identification of Defendant Brown, his medical records demonstrate that he was improving and was not in imminent danger of death at the time the

QPM
3

statements were made. The victim was admitted into the hospital on April 26, 2011, and underwent surgery the same day. See Exhibit A, page 56 of 519. He was intubated on April 26th and extubated on the 28th. Ex. A at 186. Furthermore, on the day that the challenged statements were made, the Defendant, the victim was "adequate on the floor, transferred out of STICU, was making strides with physical therapy, and ambulating in the hall." Ex. A at 56. He received a physical therapy consult on April 29th, and began physical therapy on April 30th. Ex. A at 404-406 and 465. On that day, he tolerated 5-15 minutes of uninterrupted exercise. Ex. A at 429. Moreover, the victim's May 4th death was listed as sudden and unexpected. Ex. A at 48.

2. Declarant must be Fully Aware of Imminent Death to be without any Hope of Life

The Court further finds that neither the medical records nor the other evidence in the case demonstrates that the victim was aware of his imminent death when identifying the photograph of the defendant from the six-pack lineup. In fact, the evidence suggests the contrary; on April 29th, the victim's medical condition was improving. Furthermore, evidence presented at the pre-trial hearing suggested that the victim was planning on seeking revenge against his assailant. This is inconsistent with both an awareness of imminent death as well as one who has given up all hope of survival. Ex. A at 464.

3. The Circumstances of the Death Must be the Subject of the Statement

The Court concludes, and all parties agree, that the circumstances of the shooting - which resulted in the victim's subsequent death - were the subject of the hearsay statement. However, this alone does not satisfy the requirements of either Rule 804(b)(2) or the common law rule.

APM
4

CONCLUSION

Following oral arguments and a detailed review of the facts, exhibits, and case law, this Court hereby finds that the victim's statements are barred under S.C. Rules of Evidence 801 and 802. As the statements are hearsay and no hearsay exception applies, the statements are inadmissible.¹

THEREFORE, IT IS HEREBY ORDERED that the statements of the victim shall not be admitted in the trial against the Defendant as they are inadmissible hearsay. Defendant's Motion to Suppress Hearsay Testimony is hereby **GRANTED**.

AND IT IS SO ORDERED.


The Honorable Stephanie P. McDonald
Chief Administrative Judge, Ninth Judicial Circuit

August 18, 2014
Charleston, South Carolina

¹ The Court also conducted a *Neil v. Biggers* hearing regarding the six-pack identification procedure at the hospital. Under the totality of the circumstances, the Court determined that the identification process was not unduly suggestive, was reliable, and that no substantial likelihood of misidentification existed. See *State v. Spears*, 393 S.C. 466, 480, 713 S.E.2d 324, 331 (S.C. App. 2011) citing *Biggers*, 409 U.S. 188, 198-99 (1972).

MUSC

**MEDICAL UNIVERSITY
OF SOUTH CAROLINA**

Medical University of South Carolina
Department of Pathology and Lab Medicine
165 Ashley Avenue, Suite 309
PO Box 250908
Charleston, SC 29425

Patient: GOODWIN, DAVON
Med Rec: GOODVON
DOB: [REDACTED]
Male Race: Black
ACCESSION: FA-11-00269
Date Received: 5/5/2011
Date Collected: 5/5/2011

Page: 1 of 7

Autopsy Information

Date of Death: 05/04/11 Time: 8:45 a.m.
Date of Autopsy: 05/05/11 Time: 9 a.m.
County: Charleston
Coroner: Brittney Martin, Deputy
Attending Pathologist: S. Erin Presnell, MD
Pathologist Assistant: Eowyn Corcraan
Autopsy Technician: Kimberly Boretzky
Prosecutor(s): Lee Marie Tormos, MD and Jalidsa Pellicier, MD

(If Injury) How occurred: Gunshot wound
Date of Injury: 04/26/11 Time: ~ 2:15 a.m.
Place of Injury: Road/sidewalk

Cause of Death

Complications of single penetrating gunshot wound to abdomen

Manner of Death

Homicide

CASE HISTORY

According to the coroner and available medical records, the decedent was a 19-year-old black male who sustained a gunshot wound to the abdomen on April 26, 2011 at approximately 2:15 a.m. EMS transported him to MUSC, where he underwent an exploratory laparotomy and

MAXINE T. ROBINSON
NOTARY PUBLIC
SOUTH CAROLINA
MY COMMISSION EXPIRES APRIL 29, 2014

This is a true and accurate copy of the original.

Department of Pathology and Laboratory Medicine
Forensic Pathology

Chair: Janice M. Lage, M.D.

Director: S. Erin Presnell, M.D.

Forensic Pathology Phone: 843-792-3500

Forensic Pathology Fax: 843-792-3537

Patient: GOODWIN, DAVON
 Med Rec: GOODVON
 DOB: [REDACTED]
 Male Race: Black
 ACCESSION: FA-11-00269
 Date Received: 5/5/2011
 Date Collected: 5/5/2011

Page: 2 of 7

repair of the duodenum, inferior vena cava, and superior mesenteric vein and artery. Subsequently, he returned to the operating for an abdominal washout and drain/tube placements. The projectile was not recovered at that time. The patient improved and had begun ambulation and physical therapy. However, on May 4, 2011, he was found unresponsive in bed. Despite resuscitative efforts, he was pronounced dead at 8:45 a.m. that morning.

GROSS DESCRIPTION

EXTERNAL EXAMINATION

The body is that of a well-developed, well-nourished, black male, who weighs approximately 200 pounds, is 71 inches in length, and appears compatible with the stated age of 19 years. The body is identified by the coroner; a hospital band around the left wrist and a tag around the right toe bear the decedent's name. The body is clad in a hospital gown (disposed at the autopsy). The body is cold to the touch. Rigor is fully fixed (4/4) in all extremities and jaw. Diffuse, fixed purple livor extends over the posterior surfaces of the body, except in areas exposed to pressure.

The scalp hair is dark brown/black and styled in dreadlocks up to 8.5 inches in length over the crown. The irides are brown; the pupils are bilaterally equal. The corneas are slightly clouded. The sclerae and conjunctivae are pale yellow. The nose and ears are not unusual. The lips and gums are intact. The teeth are in good condition. The deceased has scant upper lip and chin hair. The neck is without masses, and the larynx is in the midline. The thorax is symmetrical with an anteroposterior/lateral ratio of about 1:2. The abdomen is flat. The penis is unremarkable; the testes are bilaterally descended within the scrotum. The anus and back are unremarkable. The upper and lower extremities are well-developed and symmetrical, without absence of digits.

Identifying marks and scars consist of a 2.5 inch rectangular scar/pigmentation on the anterolateral right thigh; a 1.25 inch scar on the left pretibial surface; and a tattoo of the letters "ESP" on the anterior right forearm. Non-specific scars are on the knees. Evidence of emergency resuscitation and / or medical therapy includes an endotracheal tube secured with tape entering the oral cavity and extending into the trachea, an 11.5 inch stapled midline incision on the abdomen, five abdominal drains/tubes protruding through the anterior abdominal wall and

Department of Pathology and Laboratory Medicine
 Forensic Pathology

Chair: Janice M. Lage, M.D.


Director: S. Erin Presnell, M.D.



Medical University of South Carolina
Department of Pathology and Lab Medicine
165 Ashley Avenue, Suite 309
PO Box 250908
Charleston, SC 29425

Patient: GOODWIN, DAVON
Med Rec: GOODVON
DOB: [REDACTED]
Male Race: Black
ACCESSION: FA-11-00269
Date Received: 5/5/2011
Date Collected: 5/5/2011

Page: 3 of 7



showing tan opaque particulate fluid in collection containers, a bandaged intravenous catheter at the right inguinal area, and punctures in the anterior left wrist and forearm.

EVIDENCE OF INJURY

Punctate abrasions with scabs are on the medial left and lateral right forearms. Punctate and thin linear abrasions with scabs are on the epigastric area and anterior abdomen bilaterally.

A 0.38 x 0.2 inch healing defect with eschar is at the left upper quadrant of the abdomen, 23.5 inch from the top of the head and 3.5 inch to the left of midline. A 0.05 inch circumferential gray discoloration affects the tissues around the defect. The bullet pathway is through skin and subcutaneous tissues, abdominal musculature, duodenum (surgically repaired), superior mesenteric artery and vein (surgically repaired), vena cava (surgically repaired), abdominal aorta (transverse, 0.8 cm tear sutured externally with the vena cava) proximal to the iliac bifurcation, and into the right psoas muscle, where a 0.29 x 0.3 inch base diameter x 0.46 inch in length intact gray metal projectile with a copper-colored jacket is recovered. The approximate bullet trajectory through the body is 45 degrees down, 40 degrees back, and to the left.

RADIOGRAPHS: Antemortem abdominal radiographs confirm the presence of a radiopaque projectile (recovered at autopsy) in the right posterior abdomen.

EVIDENCE TRANSFER: The bullet recovered at autopsy and a postmortem blood spot on filter paper are transferred to SPO Jennifer Wooley of the Charleston Police Department on May 6, 2011.

INTERNAL EXAMINATION

BODY CAVITIES: The right and left pleural cavities contain 200 and 100 ml of serosanguineous fluid, respectively. The pericardial cavity contains 50 ml of serous fluid. The peritoneal cavity contains 500 ml of tan, turbid fluid and fibrinous debris; mesenteric saponification is noted. The liver capsule is adhered to the diaphragm.

HEAD (CENTRAL NERVOUS SYSTEM): The brain weighs 1330 grams. The dura mater and falx cerebri are intact. The leptomeninges are thin and delicate. The cerebral hemispheres are

Department of Pathology and Laboratory Medicine
Forensic Pathology

Chair: Janice M. Lage, M.D.

Director: S. Erin Presnell, M.D.

Forensic Pathology Phone: 843-792-3500

Forensic Pathology Fax: 843-792-3537

Patient: GOODWIN, DAVON
 Med Rec: GOODVON
 DOB: [REDACTED]
 Male Race: Black
 ACCESSION: FA-11-00269
 Date Received: 5/5/2011
 Date Collected: 5/5/2011

Page: 4 of 7



symmetrical. The structures at the base of the brain, including cranial nerves and blood vessels, are intact and free of abnormality. Sections through the cerebral hemispheres reveal no lesions within the cortex, subcortical white matter, or deep parenchyma of either hemisphere. The basal ganglia, thalami, and hippocampi are unremarkable. The cerebral ventricles are normal caliber. Sections through the brainstem and cerebellum are unremarkable.

NECK: Examination of the soft tissues of the neck, including strap muscles, thyroid gland, and large vessels, reveals no abnormalities. The hyoid bone and larynx are intact. The lingual mucosa is intact.

CARDIOVASCULAR SYSTEM: The heart weighs 400 grams. The pericardial and epicardial surfaces are smooth, glistening and unremarkable. The coronary arteries arise normally, follow the usual distribution and are patent, although the left anterior descending coronary artery demonstrates 10% atherosclerotic narrowing. The chambers and valves bear the usual size-position relationships and are unremarkable. The myocardium is dark red-brown, firm, and unremarkable; the atrial and ventricular septa are intact. The left ventricular thickness = 1.6 cm, right ventricular thickness = 0.4 cm, and the interventricular septum = 1.5 cm. Excluding findings under "Evidence of Injury," the aorta and its major branches arise normally, follow the usual course and are patent, free of significant atherosclerosis and other abnormality. The venae cavae and their major tributaries return to the heart in the usual distribution.

RESPIRATORY SYSTEM: The right and left lungs weigh 610 and 600 grams, respectively. The upper airway contains nonocclusive yellow mucoid material; the mucosal surfaces are smooth, yellow-tan and unremarkable. The pleural surfaces are smooth, glistening and unremarkable. Lobar divisions are of the usual configuration. The pulmonary parenchyma is dark red-purple, exuding moderate amounts of blood and frothy fluid. A 3 cm triangular, wedge-shaped area of parenchymal hemorrhage affects the peripheral lower lobe of the right lung; a thromboembolism occludes a medium sized artery at the apex of the hemorrhagic area. Scattered thromboemboli are identified within small and medium sized peripheral pulmonary arteries.

LIVER AND BILIARY SYSTEM: The liver weighs 1680 grams. The hepatic parenchyma is tan-brown parenchyma with geographical yellow discoloration. The gallbladder contains 27 ml of brown bile sludge; the mucosa is velvety and unremarkable. The extrahepatic biliary tree is patent, without evidence of calculi. The portal vein and its tributaries are unremarkable.

Department of Pathology and Laboratory Medicine
 Forensic Pathology

Chair: Janice M. Lage, M.D.

Director: S. Erin Presnell, M.D.

Forensic Pathology Phone: 843-792-3500

Forensic Pathology Fax: 843-792-3537

Patient: GOODWIN, DAVON
 Med Rec: GOODVON
 DOB [REDACTED]
 Male Race: Black
 ACCESSION: FA-11-00269
 Date Received: 5/5/2011
 Date Collected: 5/5/2011

Page: 5 of 7



ALIMENTARY TRACT: The esophagus is lined by gray-white, smooth mucosa. The gastric mucosa is flattened; several small mucosal ulcerations affect the distal third. The gastric lumen contains ~ 20 mL of orange fluid. An intact suture line is identified at the distal duodenum. The jejunum shows gray discoloration and marked thinning of the wall. The appendix is not identified. The pancreas has a tan-yellow, lobulated appearance, and the ducts appear clear.

GENITOURINARY TRACT: The right and left kidneys weigh 170 and 120 grams, respectively. The renal capsules are smooth and thin, semi-transparent, and strip with ease from the underlying smooth cortical surfaces. The right kidney is pale and soft when compared to the left kidney. The cortices are delineated from the medullary pyramids, which are red-purple to tan and unremarkable. The calyces, pelves, and ureters are unremarkable. The relationships at the trigone are unremarkable. The urinary bladder contains 60 ml of yellow urine; the mucosa is gray-tan and smooth. The prostate and seminal vesicles are unremarkable. A section of testis shows regional yellow discoloration and minute thromboses of intratesticular vessels.

RETICULOENDOTHELIAL SYSTEM: The spleen weighs 220 grams and has a smooth, intact capsule covering red-purple, moderately firm parenchyma; the lymphoid follicles are unremarkable. The regional lymph nodes appear normal. Focally, the vertebral bone marrow is red-purple and homogeneous, without evidence of abnormality.

ENDOCRINE SYSTEM: The pituitary, thyroid, and adrenal glands are unremarkable.

MUSCULOSKELETAL SYSTEM: Excluding the previously described findings, the bony framework, supporting musculature, and soft tissues are not unusual.

CASSETTE SUMMARY:

1. Right and left lungs
2. Lungs, thromboembolus
3. Liver, kidneys
4. Left cardiac ventricle, small bowel
5. Testis with infarct

LMT:mtr
 05/05/11

Department of Pathology and Laboratory Medicine
 Forensic Pathology

Chair: Janice M. Lage, M.D.

Director: S. Erin Presnell, M.D.

Forensic Pathology Phone: 843-792-3500

Forensic Pathology Fax: 843-792-3537

Patient: **GOODWIN, DAVON**
 Med Rec: **GOODVON**
 DOB: [REDACTED]
 Male Race: **Black**
ACCESSION: FA-11-00269
 Date Received: **5/5/2011**
 Date Collected: **5/5/2011**

Page: 6 of 7



05/24/11

SEP:mtr
 06/03/11

Lee M Tormos, M.D./ MTR

CASE SUMMARY

A complete autopsy was performed on the body of Davon Goodwin at the Medical University of South Carolina Autopsy Suite on May 5, 2011. The postmortem examination began at 9 a.m. The prosecutors were Drs. Lee Marie Tormos and S. Erin Presnell. The autopsy technician was Ms. Kimberly Boretsky. The autopsy was authorized by the Charleston County Deputy Coroner, Ms. Brittney Martin.

Significant findings at autopsy included a single, penetrating gunshot wound to the abdomen with surgically repaired distal aortic/inferior vena cava, superior mesenteric artery and vein, and duodenal injuries; small bowel wall thinning and necrosis; 500 ml turbid peritoneal fluid; gastric ulcerations; hepatic geographical yellow discoloration; testicular thrombosis and infarct; peripheral pulmonary thromboemboli; right pulmonary wedge infarction; bilateral pleural serosanguineous effusions; liver-diaphragm adhesions; mild coronary atherosclerosis; cardiomegaly (400 grams); and a pale right kidney.

Microscopic examination of the lungs reveals edema, abundant intraalveolar macrophages, marked intravascular bacterial overgrowth. Multiple pulmonary thromboemboli show signs of early organization; an associated area of resolving infarction is noted. The liver shows areas of normal architecture with a transition to marked parenchymal autolysis and associated bacterial overgrowth. The kidneys also show marked autolysis and bacterial overgrowth. A focus of contraction-like bands is in the heart; myocyte hypertrophy is noted. Histologically, the thinned small bowel shows acute inflammation with associated necrosis and abundant bacterial overgrowth extending through the wall but predominantly in the muscle layers. A section of testis confirms regional parenchymal infarction.

Department of Pathology and Laboratory Medicine
 Forensic Pathology

Chair: Janice M. Lage, M.D.

Director: S. Erin Presnell, M.D.

Forensic Pathology Phone: 843-792-3500

Forensic Pathology Fax: 843-792-3537



Medical University of South Carolina
Department of Pathology and Lab Medicine
165 Ashley Avenue, Suite 309
PO Box 250908
Charleston, SC 29425

Patient: GOODWIN, DAVON
Med Rec: GOODVON
DOB: [REDACTED]
Male Race: Black
ACCESSION: FA-11-00269
Date Received: 5/5/2011
Date Collected: 5/5/2011

Page: 7 of 7

In light of the historical and clinical information and the gross and microscopic autopsy findings, it is the opinion of the prosecutors that the decedent died as the result of complications of single penetrating gunshot wound to the abdomen. Furthermore, the manner of death is best deemed homicide.

FINAL DIAGNOSIS

Single, penetrating gunshot wound to abdomen

- Surgically repaired distal aortic/inferior vena cava injury
- Surgically repaired duodenal injury
- Surgically repaired superior mesenteric artery and vein injury
- Small bowel necrosis
- 500 ml turbid peritoneal fluid

Gastric ulcerations

Multiple peripheral pulmonary thromboemboli

Pulmonary edema

Pleural serosanguineous effusions

Hepatic and renal autolysis and bacterial overgrowth

Testicular thrombosis and infarct

Mild coronary atherosclerosis

Cardiomegaly (400 grams) with myocardial hypertrophy

CERTIFICATION

I certify that I personally conducted the diagnostic evaluation of the above specimen(s) and have rendered the above diagnosis(es).

S.Erin Presnell, M.D. (1-4391)

(Electronically signed by)

Verified: 06/03/2011 12:55

SP /MTR

Department of Pathology and Laboratory Medicine
Forensic Pathology

Chair: Janice M. Lage, M.D.

Director: S. Erin Presnell, M.D.

Forensic Pathology Phone: 843-792-3500

Forensic Pathology Fax: 843-792-3537



Medical and Forensic
Autopsy Section
Pathology and Laboratory Medicine

171 Ashley Avenue
MSC 908
Charleston SC 29425-9080
Tel 843 792 3556
Fax 843 792 3537
Forensic 843 792 3500
www.musc.edu/pathology

FORENSIC AUTOPSY PRELIMINARY REPORT

Date: May 5, 2011
To: Rae H. Wooten
Charleston County Coroner
Attn: Brittney Martin
Fax Number: 843-746-4033

RE: Davon Goodwin

Number of pages (including fax cover sheet: 3)

From: Maxine T. Robinson
Forensic Operations Coordinator
MUSC, Forensic Autopsy Section
Department of Pathology & Laboratory Medicine
Rm. 281 Main Hospital

The documents accompanying this facsimile contain **CONFIDENTIAL** information intended only for the use of the individual or entity named above. If the reader of this message is not the intended recipient, or an employee responsible for delivering the message to the intended recipient, you are hereby notified that any disclosure, dissemination, distribution or copying of this communication is strictly prohibited. If you received this communication in error, please notify Maxine immediately at 843-792-3500. Thank you.



Medical University of South Carolina
Pathology and Laboratory Medicine
165 Ashley Avenue, Suite 309
PO Box 250908
Charleston, SC 29425

Patient: GOODWIN, DAVON
Med. Rec: GOODVON
DOB: [REDACTED]
Sex: Male Race: Black
Accession: FA-11-00269
Date Received: 5/5/2011
Date Collected: 5/5/2011

Page: 1 of 2

F o r e n s i c A u t o p s y P r e l i m i n a r y R e p o r t

AUTOPSY INFORMATION

Date of Death: 05/04/11 Time: 8:45 a.m.
Date of Autopsy: 05/05/11 Time: 9 a.m.
County: Charleston
Coroner: Brittney Martin
Attending Pathologist: S. Erin Presnell
Pathologist Assistant: Eowyn Corcain
Autopsy Technician: Kim Boretsky
Prosecutor(s): Drs. Lee Tormos and Pellicier

(If Injury) How occurred: gunshot wound
Date of Injury: 04/26/11 Time: ~ 2:15 a.m.
Place of Injury: road/sidewalk

Whole organs retained for diagnostic purposes: None

Preliminary Findings: scattered peripheral pulmonary emboli, right pulmonary wedge infarction; mucus in bronchi; bilateral pleural serosanguineous effusions; gastric ulcerations; repaired distal aortic/inferior vena cava injury; repaired duodenum; hepatic geographical yellow discoloration; testicular clot/infarct; bowel necrosis with associated mesenteric saponification; pale right kidney; 500 ml turbid peritoneal fluid; liver-diaphragm adhesions; mild coronary atherosclerosis; cardiomegaly (400 grams)

PROBABLE CAUSE OF DEATH

Complications of single penetrating gunshot wound
(more specific wording pending)

Department of Pathology and Laboratory Medicine
Forensic Pathology

Chair: Janice M. Lage, MD

Director: S. Erin Presnell, M.D.



Medical University of South Carolina
Pathology and Laboratory Medicine
165 Ashley Avenue, Suite 309
PO Box 250908
Charleston, SC 29425

Patient: GOODWIN, DAVON
Med. Rec: GOODVON
DOB: [REDACTED]
Sex: Male Race: Black
Accession: FA-11-00269
Date Received: 5/5/2011
Date Collected: 5/5/2011

Page: 2 of 2

F o r e n s i c A u t o p s y
P r e l i m i n a r y R e p o r t

MANNER OF DEATH

Homicide

S.Erin Presnell, M.D. (1-4391)
(Electronically signed by)
Verified: 05/05/2011 11:11
SP /SP

Department of Pathology and Laboratory Medicine
Forensic Pathology

Chair: Janice M. Lage, MD

Director: S. Erin Presnell, M.D.

Forensic Pathology Phone: 843-792-3500

Forensic Pathology Fax: 843-792-3537

Wp 4/10/11

GOODWIN, DAVON
GOODVON

150

ACHA



FA-11-00269

05/05/11

ORPRESNELL/TORMOS/KB

Weight: 201

Length: 71

Temp: cold

Rigor: 4/4

Livor: post fixe

Scalp hair: brown curly-dreadlocks, 8 1/2

Facial hair: scant chin + upper lip hair
snubble

Eyes: br, pup=, sclera intense

Ears: ✓


Nose: ✓

Teeth: perm, good

Neck: no masses

Abdomen: sl. prot

Genitalia: circ. male



tag w/ name.

*bullet in postat (2) (psoas) : intact Cu-jacket
gray metal
projectile
L = 0.46

MUSC DEPARTMENT OF PATHOLOGY, FORENSIC SEC.
171 ASHLEY AVENUE, CHARLESTON, SC 29425
Office (843) 792-3500 FAX (843) 792-3537

GOODWIN, DAVE
GOODWIN
151
FA-11-00269
05/05/11
DRPRESNELL/TORMOS/KB

ACHA

EVIDENCE TRANSFER SHEET

ON May 6th 2011 AT 10:00am
(Date) (Time)
NAME OF DECEASED Damon Goodwin AUTOPSY NUMBER FA11-269
TRANSFERRED AT MUSC Forensic Department
(Location)

DESCRIPTION OF EVIDENCE

Bullet
Blood Spot

From: Lee M Tormos, MD
(Print name)
[Signature]
(Signature)
Representing: MUSC Forensic Dept
To: SPD Jennifer Wadley
(Print name)
[Signature]
(Signature)
Representing: Charleston Police Department

OODWIN, DAVON
OODVON

ACHA



FA-11-00269

BLOOD
SPOT

05/05/11

DRPRESNELL/TORMOS/KB

OODWIN, DAVON
OODVON

ACHA



FA-11-00269

BLOOD
SPOT

05/05/11

DRPRESNELL/TORMOS/KB

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
5/4/11 8:29 AM	METABOLIC PANEL, COMPREHENSIVE	Finished					*
	SODIUM (NA)		134.0 MMOL/L	135.0 -	L	GEN LAB	
	POTASSIUM (K)		8.50 MMOL/L	3.50 - 5.00	C	GEN LAB	
	CHLORIDE		96.0 MMOL/L	98.0 - 107.0	L	GEN LAB	
	CO2 CONTENT (BICARBONATE)		17 MMOL/L	22 - 32	L	GEN LAB	
	ANION GAP		21 MMOL/L	2 - 11	H	GEN LAB	
	GLUCOSE, SERUM		97.0 MG/DL	70.0 - 100.0		GEN LAB	
	UREA NITROGEN, BLOOD (BUN)		26.0 MG/DL	8.0 - 20.0	H	GEN LAB	
	CREATININE		3.4 MG/DL	0.6 - 1.3	H	GEN LAB	
	ESTIMATED GLOMERULAR		28 mL/min			GEN LAB	*
	CALCIUM		9.3 MG/DL	8.4 - 10.2		GEN LAB	
	BILIRUBIN, TOTAL		7.4 MG/DL	0.2 - 1.3	H	GEN LAB	
	ASPARTATE AMINOTRANSFERASE		65.0 IU/L	12.0 - 38.0	H	GEN LAB	
	ALANINE AMINOTRANSFERASE		49.0 IU/L	10.0 - 45.0	H	GEN LAB	
	ALKALINE PHOSPHATASE		87.0 IU/L	25.0 - 100.0		GEN LAB	
	TOTAL PROTEIN, SERUM		5.6 G/DL	6.0 - 8.0	L	GEN LAB	
	ALBUMIN, SERUM		2.0 G/DL	3.5 - 4.8	L	GEN LAB	
	HEMOLYSIS INDEX		3.0	0.0 - 1.9	H	GEN LAB	*
	HEM PANEL	Finished					
	WHITE BLOOD CELL COUNT		40.49 K/CUMM	4.80 - 10.80	H	GEN LAB	
	RED BLOOD CELL COUNT		3.56 M/CUMM	4.70 - 6.10	L	GEN LAB	
	HEMOGLOBIN		10.4 GM/DL	14.0 - 18.0	L	GEN LAB	
	HEMATOCRIT		31.6 %	42.0 - 52.0	L	GEN LAB	
	MCV		88.8 FL	80.0 - 94.0		GEN LAB	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Page1

153

MUSC1 OF 519

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	MCH		29.2 pg	27.0 - 31.0		GEN LAB	
	MCHC		32.9 GM/DL	30.7 - 34.4		GEN LAB	
	RDW		15.0 %	11.5 - 14.5	H	GEN LAB	
	PLATELET COUNT	Corrected					*
	PLATELET COUNT		* K/CUMM	140 - 440		GEN LAB	
	MPV		* FL	9.15 - 12.31		GEN LAB	
	TROPONIN I ULTRA	Finished	0.11 NG/ML	<=0.06	H	GEN LAB	*
	APTT	Finished	23.2 SEC	23.3 - 35.7	L	GEN LAB	*
	MASS CKMB	Finished	2.42 NG/ML	<=4.90		GEN LAB	*
	RELATIVE INDEX		1.3	<=2.0		GEN LAB	*
	CREATINE KINASE	Finished	181 IU/L	25 - 260		GEN LAB	
	HEMOLYSIS INDEX		3.0	0.0 - 1.9	H	GEN LAB	*
5/4/11 7:29 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	117	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/4/11 3:47 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	180	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/3/11 11:36 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	99	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
5/3/11 8:15 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	121	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/3/11 3:50 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	125	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/3/11 12:09 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	138	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
5/3/11 10:10 AM	AMYLASE, FLUID	Finished					*
	AMYLASE, FLUID		378.0 U/L			GEN LAB	*
	HEMOLYSIS INDEX		2.0	0.0 - 1.9	H	GEN LAB	*
5/3/11 7:48 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	125	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/3/11 3:04 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	116	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/2/11 11:00 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	126	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/2/11 8:02 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	125	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/2/11 3:59 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	107	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/2/11 12:05 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	141	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/2/11 10:30 AM	METABOLIC PANEL, BASIC - BMP	Finished					
	SODIUM (NA)		136.0 MMOL/L	135.0 -		GEN LAB	
	POTASSIUM (K)		4.20 MMOL/L	3.50 - 5.00		GEN LAB	
	CHLORIDE		103.0 MMOL/L	98.0 - 107.0		GEN LAB	
	CO2 CONTENT (BICARBONATE)		26 MMOL/L	22 - 32		GEN LAB	
	ANION GAP		7 MMOL/L	2 - 11		GEN LAB	
	GLUCOSE, SERUM		112.0 MG/DL	70.0 - 100.0	H	GEN LAB	
	UREA NITROGEN, BLOOD (BUN)		6.0 MG/DL	8.0 - 20.0	L	GEN LAB	
	CREATININE		0.9 MG/DL	0.6 - 1.3		GEN LAB	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	ESTIMATED GLOMERULAR		>59 mL/min			GEN LAB	*
	CALCIUM		8.0 MG/DL	8.4 - 10.2	L	GEN LAB	
	HEMOLYSIS INDEX		1.0	0.0 - 1.9		GEN LAB	*
	MAGNESIUM (MG)	Finished	2.1 MG/DL	1.6 - 2.3		GEN LAB	
	HEMOLYSIS INDEX		1.0	0.0 - 1.9		GEN LAB	*
	PHOSPHORUS (PO4)	Finished	3.5 MG/DL	2.4 - 4.7		GEN LAB	
	HEMOLYSIS INDEX		1.0	0.0 - 1.9		GEN LAB	*
5/2/11 7:58 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	134	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/2/11 4:18 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	117	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/1/11 11:28 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	111	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/1/11 7:33 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	158	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/1/11 3:37 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	151	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/1/11 11:36 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	116	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/1/11 9:06 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	117	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/1/11 8:25 AM	PREALBUMIN	Finished	6.2 MG/DL	18.0 - 38.0	L	GEN LAB	
5/1/11 8:03 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	109	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
5/1/11 6:56 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	104	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/1/11 6:12 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	103	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/1/11 5:10 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	113	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/1/11 4:02 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	114	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/1/11 3:09 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	105	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
5/1/11 2:06 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	75	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
5/1/11 12:57 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	97	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
5/1/11 12:04 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	102	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 11:08 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	106	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 9:51 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	97	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 9:12 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	99	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 8:04 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	101	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
4/30/11 7:01 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	88	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 6:18 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	87	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 5:08 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	106	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 4:20 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	101	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 3:24 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	100	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 2:10 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	97	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 1:23 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	63	70 - 100	L	POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 12:08 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	113	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 11:35 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	98	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 10:22 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	169	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 10:05 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	128	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 9:04 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	120	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
 Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

159

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
4/30/11 8:08 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	119	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 7:30 AM	HEM PANEL	Finished					
	WHITE BLOOD CELL COUNT		3.23 K/CUMM	4.80 - 10.80	L	GEN LAB	
	RED BLOOD CELL COUNT		2.67 M/CUMM	4.70 - 6.10	L	GEN LAB	
	HEMOGLOBIN		7.8 GM/DL	14.0 - 18.0	L	GEN LAB	
	HEMATOCRIT		22.2 %	42.0 - 52.0	L	GEN LAB	
	MCV		83.1 FL	80.0 - 94.0		GEN LAB	
	MCH		29.2 pg	27.0 - 31.0		GEN LAB	
	MCHC		35.1 GM/DL	30.7 - 34.4	H	GEN LAB	
	RDW		13.8 %	11.5 - 14.5		GEN LAB	
	PLATELET COUNT	Finished					
	PLATELET COUNT		130 K/CUMM	140 - 440	L	GEN LAB	
	MPV		10.00 FL	9.15 - 12.31		GEN LAB	
4/30/11 6:53 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	97	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 6:01 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	101	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 4:56 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	124	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 4:06 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	117	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 2:52 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	126	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
4/30/11 2:08 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	128	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 12:54 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	108	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/30/11 12:40 AM	URINALYSIS	Finished					
	COLOR		Yellow			GEN LAB	
	PH, URINE		7.5	5.0 - 7.0	H	GEN LAB	
	BILIRUBIN		Small	Negative	A	GEN LAB	*
	BLOOD		Trace	Negative	A	GEN LAB	
	NITRITE		Negative	Negative		GEN LAB	
	PROTEIN		10.0 MG/DL	<=10.0		GEN LAB	
	SPECIFIC GRAVITY URINE		1.010	1.003 -		GEN LAB	
	GLUCOSE		Negative MG/DL	<=30.0		GEN LAB	
	KETONES		Negative MG/DL	<=10.0		GEN LAB	
	RED BLOOD CELLS		1 /HPF	0 - 1		GEN LAB	
	WBCS/HPF, URINE		<1 /HPF	0 - 2		GEN LAB	
	UROBILINOGEN		<2.0 MG/DL	<=2.0		GEN LAB	
	LEUKOCYTE ESTERASE		Negative LEU/UL	<=25.0		GEN LAB	
	MUCOUS		Few		A	GEN LAB	
4/30/11 12:07 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	92	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 11:03 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	90	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 10:03 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	208	70 - 100	H	POCT	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

MUSC9 OF 519

161

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	PERFORMING LAB		See Note			POCT	*
4/29/11 8:57 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	107	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 7:54 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	94	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 7:07 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	91	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 6:23 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	96	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 5:10 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	117	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 4:40 PM	HEM PANEL	Finished					
	WHITE BLOOD CELL COUNT		6.86 K/CUMM	4.80 - 10.80		GEN LAB	
	RED BLOOD CELL COUNT		2.59 M/CUMM	4.70 - 6.10	L	GEN LAB	
	HEMOGLOBIN		7.6 GM/DL	14.0 - 18.0	L	GEN LAB	
	HEMATOCRIT		21.5 %	42.0 - 52.0	L	GEN LAB	
	MCV		83.0 FL	80.0 - 94.0		GEN LAB	
	MCH		29.3 pg	27.0 - 31.0		GEN LAB	
	MCHC		35.3 GM/DL	30.7 - 34.4	H	GEN LAB	
	RDW		13.9 %	11.5 - 14.5		GEN LAB	
	PLATELET COUNT	Finished					
	PLATELET COUNT		103 K/CUMM	140 - 440	L	GEN LAB	
	MPV		10.00 FL	9.15 - 12.31		GEN LAB	
4/29/11 4:02 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	104	70 - 100	H	POCT	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina

Charleston, SC

843-792-2123

Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	PERFORMING LAB		See Note			POCT	*
4/29/11 3:11 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	97	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 2:04 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	101	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 1:01 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	105	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 12:11 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	115	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 11:30 AM	HEM PANEL	Finished					
	WHITE BLOOD CELL COUNT		6.73 K/CUMM	4.80 - 10.80		GEN LAB	
	RED BLOOD CELL COUNT		2.57 M/CUMM	4.70 - 6.10	L	GEN LAB	
	HEMOGLOBIN		7.5 GM/DL	14.0 - 18.0	L	GEN LAB	
	HEMATOCRIT		21.4 %	42.0 - 52.0	L	GEN LAB	
	MCV		83.3 FL	80.0 - 94.0		GEN LAB	
	MCH		29.2 pg	27.0 - 31.0		GEN LAB	
	MCHC		35.0 GM/DL	30.7 - 34.4	H	GEN LAB	
	RDW		13.9 %	11.5 - 14.5		GEN LAB	
	PLATELET COUNT	Finished					
	PLATELET COUNT		55 K/CUMM	140 - 440	L	GEN LAB	
	MPV		11.20 FL	9.15 - 12.31		GEN LAB	
4/29/11 11:15 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	131	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 9:59 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	123	70 - 100	H	POCT	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN

Date Printed: 03/16/2012

Page10

MUSC10 OF 519

162

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	PERFORMING LAB		See Note			POCT	*
4/29/11 9:01 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	129	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 8:07 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	108	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 7:00 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	102	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 5:58 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	106	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 5:03 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	96	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 4:11 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	82	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 4:00 AM	MAGNESIUM (MG)	Finished	1.8 MG/DL	1.6 - 2.3		GEN LAB	
	HEMOLYSIS INDEX		0.0	0.0 - 1.9		GEN LAB	*
	PHOSPHORUS (PO4)	Finished	2.7 MG/DL	2.4 - 4.7		GEN LAB	
	HEMOLYSIS INDEX		0.0	0.0 - 1.9		GEN LAB	*
	METABOLIC PANEL, BASIC - BMP	Finished					
	SODIUM (NA)		136.0 MMOL/L	135.0 -		GEN LAB	
	POTASSIUM (K)		3.60 MMOL/L	3.50 - 5.00		GEN LAB	
	CHLORIDE		105.0 MMOL/L	98.0 - 107.0		GEN LAB	
	CO2 CONTENT (BICARBONATE)		28 MMOL/L	22 - 32		GEN LAB	
	ANION GAP		3 MMOL/L	2 - 11		GEN LAB	
	GLUCOSE, SERUM		81.0 MG/DL	70.0 - 100.0		GEN LAB	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	UREA NITROGEN, BLOOD (BUN)		7.0 MG/DL	8.0 - 20.0	L	GEN LAB	
	CREATININE		1.0 MG/DL	0.6 - 1.3		GEN LAB	
	ESTIMATED GLOMERULAR		>59 mL/min			GEN LAB	*
	CALCIUM		7.2 MG/DL	8.4 - 10.2	L	GEN LAB	
	HEMOLYSIS INDEX		0.0	0.0 - 1.9		GEN LAB	*
	HEM PANEL	Finished					
	WHITE BLOOD CELL COUNT		5.38 K/CUMM	4.80 - 10.80		GEN LAB	
	RED BLOOD CELL COUNT		1.91 M/CUMM	4.70 - 6.10	L	GEN LAB	
	HEMOGLOBIN		5.7 GM/DL	14.0 - 18.0	C	GEN LAB	*
	HEMATOCRIT		16.1 %	42.0 - 52.0	C	GEN LAB	*
	MCV		84.3 FL	80.0 - 94.0		GEN LAB	
	MCH		29.8 pg	27.0 - 31.0		GEN LAB	
	MCHC		35.4 GM/DL	30.7 - 34.4	H	GEN LAB	
	RDW		13.7 %	11.5 - 14.5		GEN LAB	
	PLATELET COUNT	Finished					
	PLATELET COUNT		90 K/CUMM	140 - 440	L	GEN LAB	
	MPV		10.40 FL	9.15 - 12.31		GEN LAB	
4/29/11 3:01 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	95	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 2:03 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	88	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/29/11 1:16 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	81	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 11:57 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	77	70 - 100		POCT	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	PERFORMING LAB		See Note			POCT	*
4/28/11 11:06 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	84	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 10:03 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	74	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 8:58 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	83	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 8:01 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	101	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 6:59 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	114	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 6:02 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	74	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 5:04 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	127	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 3:58 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	128	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 3:08 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	151	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 2:00 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	142	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 1:07 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	146	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 12:02 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	154	70 - 100	H	POCT	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	PERFORMING LAB		See Note			POCT	*
4/28/11 11:05 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	146	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 10:04 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	105	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 9:13 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	91	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 7:57 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	102	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 7:07 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	103	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 6:10 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	106	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 4:51 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	107	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 3:57 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	107	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 3:06 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	123	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 3:00 AM	HEM PANEL	Finished					
	WHITE BLOOD CELL COUNT		8.93 K/CUMM	4.80 - 10.80		GEN LAB	
	RED BLOOD CELL COUNT		2.22 M/CUMM	4.70 - 6.10	L	GEN LAB	
	HEMOGLOBIN		6.5 GM/DL	14.0 - 18.0	L	GEN LAB	
	HEMATOCRIT		18.2 %	42.0 - 52.0	L	GEN LAB	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	MCV		82.0 FL	80.0 - 94.0		GEN LAB	
	MCH		29.3 pg	27.0 - 31.0		GEN LAB	
	MCHC		35.7 GM/DL	30.7 - 34.4	H	GEN LAB	
	RDW		14.1 %	11.5 - 14.5		GEN LAB	
	PLATELET COUNT	Finished					
	PLATELET COUNT		82 K/CUMM	140 - 440	L	GEN LAB	
	MPV		11.60 FL	9.15 - 12.31		GEN LAB	
	METABOLIC PANEL, BASIC - BMP	Finished					
	SODIUM (NA)		136.0 MMOL/L	135.0 -		GEN LAB	
	POTASSIUM (K)		4.20 MMOL/L	3.50 - 5.00		GEN LAB	
	CHLORIDE		105.0 MMOL/L	98.0 - 107.0		GEN LAB	
	CO2 CONTENT (BICARBONATE)		27 MMOL/L	22 - 32		GEN LAB	
	ANION GAP		4 MMOL/L	2 - 11		GEN LAB	
	GLUCOSE, SERUM		103.0 MG/DL	70.0 - 100.0	H	GEN LAB	
	UREA NITROGEN, BLOOD (BUN)		12.0 MG/DL	8.0 - 20.0		GEN LAB	
	CREATININE		1.2 MG/DL	0.6 - 1.3		GEN LAB	
	ESTIMATED GLOMERULAR		>59 mL/min			GEN LAB	*
	CALCIUM		7.1 MG/DL	8.4 - 10.2	L	GEN LAB	
	HEMOLYSIS INDEX		0.0	0.0 - 1.9		GEN LAB	*
	MAGNESIUM (MG)	Finished	1.6 MG/DL	1.6 - 2.3		GEN LAB	
	HEMOLYSIS INDEX		0.0	0.0 - 1.9		GEN LAB	*
	PHOSPHORUS (PO4)	Finished	2.8 MG/DL	2.4 - 4.7		GEN LAB	
	HEMOLYSIS INDEX		0.0	0.0 - 1.9		GEN LAB	*
	ARTERIAL BLOOD GAS	Finished					

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Page15

MUSC15 OF 519

167

Medical University of South Carolina

Charleston, SC

843-792-2123

Laboratory Results Report

168

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	FRACTION OF INSPIRED OXYGEN		40 %			OR LAB	
	TEMPERATURE (UNCORRECTED)		37 DegC			OR LAB	
	PH AT 37 DEGREES C		7.47	7.35 - 7.45	H	OR LAB	
	PCO2 AT 37 DEGREES C		38 MM HG	35 - 45		OR LAB	
	PO2 AT 37 DEGREES C		174 MM HG	80 - 100	H	OR LAB	
	BODY TEMPERATURE		38.0 DegC			OR LAB	
	PH AT PATIENT TEMP		7.46	7.35 - 7.45	H	OR LAB	
	PCO2 AT PATIENT TEMP		40 MM HG	35 - 45		OR LAB	
	PO2 AT PATIENT TEMP		177 MM HG	80 - 100	H	OR LAB	*
	% O2 SATURATION		100.0 %	96.0 - 97.0	H	OR LAB	
	BASE EXC/D		4.0 MMOL/L	-2.0 - 3.0	H	OR LAB	
	BICARBONATE		27.5 MMOL/L	22.0 - 26.0	H	OR LAB	
	CA++ MEASURED	Finished	1.11 MMOL/L	1.14 - 1.29	L	OR LAB	
4/28/11 2:06 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	121	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/28/11 1:05 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	104	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/27/11 11:57 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	79	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/27/11 11:02 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	86	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/27/11 10:15 PM	CA++ MEASURED	Finished	1.14 MMOL/L	1.14 - 1.29		OR LAB	
4/27/11 9:57 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	118	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN

Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

169

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
4/27/11 9:02 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	119	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/27/11 7:44 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	116	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/27/11 6:54 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	117	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/27/11 6:15 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	122	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/27/11 6:00 PM	CA++ MEASURED	Finished	1.12 MMOL/L	1.14 - 1.29	L	OR LAB	
4/27/11 4:59 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	138	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/27/11 3:53 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	158	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/27/11 3:00 PM	CA++ MEASURED	Finished	1.04 MMOL/L	1.14 - 1.29	L	OR LAB	
4/27/11 2:57 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	161	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/27/11 2:00 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	151	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/27/11 1:20 PM	HEM PANEL	Finished					
	WHITE BLOOD CELL COUNT		9.07 K/CUMM	4.80 - 10.80		GEN LAB	
	RED BLOOD CELL COUNT		2.55 M/CUMM	4.70 - 6.10	L	GEN LAB	
	HEMOGLOBIN		7.4 GM/DL	14.0 - 18.0	L	GEN LAB	
	HEMATOCRIT		21.0 %	42.0 - 52.0	L	GEN LAB	
	MCV		82.4 FL	80.0 - 94.0		GEN LAB	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Page17

MUSC17 OF 519

Medical University of South Carolina

Charleston, SC

843-792-2123

Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	MCH		29.0 pg	27.0 - 31.0		GEN LAB	
	MCHC		35.2 GM/DL	30.7 - 34.4	H	GEN LAB	
	RDW		14.0 %	11.5 - 14.5		GEN LAB	
	PLATELET COUNT	Finished					
	PLATELET COUNT		64 K/CUMM	140 - 440	L	GEN LAB	
	MPV		12.00 FL	9.15 - 12.31		GEN LAB	
	METABOLIC PANEL, BASIC - BMP	Finished					*
	SODIUM (NA)		136.0 MMOL/L	135.0 -		GEN LAB	
	POTASSIUM (K)		5.00 MMOL/L	3.50 - 5.00		GEN LAB	
	CHLORIDE		106.0 MMOL/L	98.0 - 107.0		GEN LAB	
	CO2 CONTENT (BICARBONATE)		26 MMOL/L	22 - 32		GEN LAB	
	ANION GAP		4 MMOL/L	2 - 11		GEN LAB	
	GLUCOSE, SERUM		139.0 MG/DL	70.0 - 100.0	H	GEN LAB	
	UREA NITROGEN, BLOOD (BUN)		13.0 MG/DL	8.0 - 20.0		GEN LAB	
	CREATININE		1.3 MG/DL	0.6 - 1.3		GEN LAB	
	ESTIMATED GLOMERULAR		>59 mL/min			GEN LAB	*
	CALCIUM		6.4 MG/DL	8.4 - 10.2	C	GEN LAB	
	HEMOLYSIS INDEX		1.0	0.0 - 1.9		GEN LAB	*
	MAGNESIUM (MG)	Finished	1.7 MG/DL	1.6 - 2.3		GEN LAB	
	HEMOLYSIS INDEX		1.0	0.0 - 1.9		GEN LAB	*
	PHOSPHORUS (PO4)	Finished	3.2 MG/DL	2.4 - 4.7		GEN LAB	
	HEMOLYSIS INDEX		1.0	0.0 - 1.9		GEN LAB	*
4/27/11 12:49 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	97	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN

Date Printed: 03/16/2012

Page18

170

MUSC18 OF 519

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
4/27/11 11:37 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	164	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/27/11 9:30 AM	ARTERIAL BLOOD GAS	Finished					
	FRACTION OF INSPIRED OXYGEN		78 %			OR LAB	
	TEMPERATURE (UNCORRECTED)		37 DegC			OR LAB	
	PH AT 37 DEGREES C		7.38	7.35 - 7.45		OR LAB	
	PCO2 AT 37 DEGREES C		46 MM HG	35 - 45	H	OR LAB	
	PO2 AT 37 DEGREES C		439 MM HG	80 - 100	H	OR LAB	
	BODY TEMPERATURE		36.5 DegC			OR LAB	
	PH AT PATIENT TEMP		7.38	7.35 - 7.45		OR LAB	
	PCO2 AT PATIENT TEMP		45 MM HG	35 - 45		OR LAB	
	PO2 AT PATIENT TEMP		436 MM HG	80 - 100	H	OR LAB	*
	% O2 SATURATION		100.0 %	96.0 - 97.0	H	OR LAB	
	BASE EXC/D		1.4 MMOL/L	-2.0 - 3.0		OR LAB	
	BICARBONATE		26.1 MMOL/L	22.0 - 26.0	H	OR LAB	
	TOTAL CO2 CONTENT		27.5 MMOL/L	23.0 - 27.0	H	OR LAB	
	HEMOGLOBIN		6.9 GM/DL	14.0 - 18.0	L	OR LAB	
	HEMATOCRIT - BLOOD GAS		22 %	42 - 52	L	OR LAB	
	SODIUM (NA)		134 MMOL/L	135 - 145	L	OR LAB	
	POTASSIUM (K)		5.2 MMOL/L	3.5 - 5.0	H	OR LAB	
	CHLORIDE		107 MMOL/L	98 - 107		OR LAB	
	GLUCOSE-BLOOD GAS		149 MG/DL	70 - 100	H	OR LAB	
	CA++ MEASURED		1.07 MMOL/L	1.14 - 1.29	L	OR LAB	
4/27/11 8:30 AM	ARTERIAL BLOOD GAS	Finished					

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

MUSC20 OF 519

172

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	FRACTION OF INSPIRED OXYGEN		80 %			OR-LAB	
	TEMPERATURE (UNCORRECTED)		37 DegC			OR LAB	
	PH AT 37 DEGREES C		7.38	7.35 - 7.45		OR LAB	
	PCO2 AT 37 DEGREES C		43 MM HG	35 - 45		OR LAB	
	PO2 AT 37 DEGREES C		416 MM HG	80 - 100	H	OR LAB	
	BODY TEMPERATURE		36.9 DegC			OR LAB	
	PH AT PATIENT TEMP		7.38	7.35 - 7.45		OR LAB	
	PCO2 AT PATIENT TEMP		43 MM HG	35 - 45		OR LAB	
	PO2 AT PATIENT TEMP		415 MM HG	80 - 100	H	OR LAB	*
	% O2 SATURATION		99.5 %	96.0 - 97.0	H	OR LAB	
	BASE EXC/D		0.0 MMOL/L	-2.0 - 3.0		OR LAB	
	BICARBONATE		24.6 MMOL/L	22.0 - 26.0		OR LAB	
	TOTAL CO2 CONTENT		25.9 MMOL/L	23.0 - 27.0		OR LAB	
	HEMOGLOBIN		8.2 GM/DL	14.0 - 18.0	L	OR LAB	
	HEMATOCRIT - BLOOD GAS		26 %	42 - 52	L	OR LAB	
	SODIUM (NA)		135 MMOL/L	135 - 145		OR LAB	
	POTASSIUM (K)		4.5 MMOL/L	3.5 - 5.0		OR LAB	
	CHLORIDE		106 MMOL/L	98 - 107		OR LAB	
	GLUCOSE-BLOOD GAS		156 MG/DL	70 - 100	H	OR LAB	
	CA++ MEASURED		1.07 MMOL/L	1.14 - 1.29	L	OR LAB	
4/27/11 6:16 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	92	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/27/11 4:11 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	86	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site #1	Comment
4/27/11 3:26 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	113	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/27/11 2:35 AM	HEM PANEL	Finished					
	WHITE BLOOD CELL COUNT		9.70 K/CUMM	4.80 - 10.80		GEN LAB	
	RED BLOOD CELL COUNT		2.93 M/CUMM	4.70 - 6.10	L	GEN LAB	
	HEMOGLOBIN		8.7 GM/DL	14.0 - 18.0	L	GEN LAB	
	HEMATOCRIT		24.3 %	42.0 - 52.0	L	GEN LAB	
	MCV		82.9 FL	80.0 - 94.0		GEN LAB	
	MCH		29.7 pg	27.0 - 31.0		GEN LAB	
	MCHC		35.8 GM/DL	30.7 - 34.4	H	GEN LAB	
	RDW		13.9 %	11.5 - 14.5		GEN LAB	
	PLATELET COUNT	Finished					
	PLATELET COUNT		75 K/CUMM	140 - 440	L	GEN LAB	
	MPV		12.00 FL	9.15 - 12.31		GEN LAB	
	METABOLIC PANEL, BASIC - BMP	Finished					
	SODIUM (NA)		138.0 MMOL/L	135.0 -		GEN LAB	
	POTASSIUM (K)		5.30 MMOL/L	3.50 - 5.00	H	GEN LAB	
	CHLORIDE		108.0 MMOL/L	98.0 - 107.0	H	GEN LAB	
	CO2 CONTENT (BICARBONATE)		26 MMOL/L	22 - 32		GEN LAB	
	ANION GAP		4 MMOL/L	2 - 11		GEN LAB	
	GLUCOSE, SERUM		100.0 MG/DL	70.0 - 100.0		GEN LAB	
	UREA NITROGEN, BLOOD (BUN)		11.0 MG/DL	8.0 - 20.0		GEN LAB	
	CREATININE		1.1 MG/DL	0.6 - 1.3		GEN LAB	
	ESTIMATED GLOMERULAR		>59 mL/min			GEN LAB	*

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Page21

173

MUSC21 OF 519

Medical University of South Carolina

Charleston, SC

843-792-2123

Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	CALCIUM		7.4 MG/DL	8.4 - 10.2	L	GEN LAB	
	HEMOLYSIS INDEX		0.0	0.0 - 1.9		GEN LAB	*
	MAGNESIUM (MG)	Finished	1.5 MG/DL	1.6 - 2.3	L	GEN LAB	
	HEMOLYSIS INDEX		0.0	0.0 - 1.9		GEN LAB	*
	PHOSPHORUS (PO4)	Finished	3.6 MG/DL	2.4 - 4.7		GEN LAB	
	HEMOLYSIS INDEX		0.0	0.0 - 1.9		GEN LAB	*
	PROTHROMBIN TIME (PT)	Finished					
	PT		18.3 SEC	12.0 - 15.1	H	GEN LAB	*
	INR		1.46			GEN LAB	
	APTT	Finished	35.3 SEC	23.3 - 35.7		GEN LAB	*
	LACTATE DEHYDROGENASE, SERUM	Finished	213.0 IU/L	100.0 -		GEN LAB	
	HEMOLYSIS INDEX		0.0	0.0 - 1.9		GEN LAB	*
4/27/11 2:16 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	150	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/27/11 1:17 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	88	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/27/11 12:05 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	97	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 11:15 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	104	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 10:06 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	87	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 9:02 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	95	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN

Date Printed: 03/16/2012

Page22

MUSC22 OF 519

174

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
4/26/11 8:35 PM	LACTATE DEHYDROGENASE, SERUM	Finished	223.0 IU/L	100.0 -		GEN LAB	
	HEMOLYSIS INDEX		0.0	0.0 - 1.9		GEN LAB	*
4/26/11 7:53 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	117	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 6:44 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	104	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 5:56 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	101	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 4:08 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	86	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 2:52 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	139	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 2:50 PM	ARTERIAL BLOOD GAS	Finished					
	FRACTION OF INSPIRED OXYGEN		40 %			OR LAB	
	TEMPERATURE (UNCORRECTED)		37 DegC			OR LAB	
	PH AT 37 DEGREES C		7.39	7.35 - 7.45		OR LAB	
	PCO2 AT 37 DEGREES C		43 MM HG	35 - 45		OR LAB	
	PO2 AT 37 DEGREES C		191 MM HG	80 - 100	H	OR LAB	
	BODY TEMPERATURE		38.2 DegC			OR LAB	
	PH AT PATIENT TEMP		7.37	7.35 - 7.45		OR LAB	
	PCO2 AT PATIENT TEMP		45 MM HG	35 - 45		OR LAB	
	PO2 AT PATIENT TEMP		197 MM HG	80 - 100	H	OR LAB	*
	% O2 SATURATION		100.0 %	96.0 - 97.0	H	OR LAB	
	BASE EXC/D		0.8 MMOL/L	-2.0 - 3.0		OR LAB	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina

Charleston, SC

843-792-2123

Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	BICARBONATE		25.3 MMOL/L	22.0 - 26.0		OR LAB	
	LACTATE - WHOLE BLOOD	Finished	1.7 MMOL/L	0.5 - 1.6	H	OR LAB	*
	METABOLIC PANEL, BASIC - BMP	Finished					
	SODIUM (NA)		138.0 MMOL/L	135.0 -		GEN LAB	
	POTASSIUM (K)		5.30 MMOL/L	3.50 - 5.00	H	GEN LAB	
	CHLORIDE		109.0 MMOL/L	98.0 - 107.0	H	GEN LAB	
	CO2 CONTENT (BICARBONATE)		25 MMOL/L	22 - 32		GEN LAB	
	ANION GAP		4 MMOL/L	2 - 11		GEN LAB	
	GLUCOSE, SERUM		115.0 MG/DL	70.0 - 100.0	H	GEN LAB	
	UREA NITROGEN, BLOOD (BUN)		11.0 MG/DL	8.0 - 20.0		GEN LAB	
	CREATININE		1.1 MG/DL	0.6 - 1.3		GEN LAB	
	ESTIMATED GLOMERULAR		>59 mL/min			GEN LAB	*
	CALCIUM		7.6 MG/DL	8.4 - 10.2	L	GEN LAB	
	HEMOLYSIS INDEX		1.0	0.0 - 1.9		GEN LAB	*
	HEM PANEL	Finished					
	WHITE BLOOD CELL COUNT		7.16 K/CUMM	4.80 - 10.80		GEN LAB	
	RED BLOOD CELL COUNT		3.09 M/CUMM	4.70 - 6.10	L	GEN LAB	
	HEMOGLOBIN		9.1 GM/DL	14.0 - 18.0	L	GEN LAB	
	HEMATOCRIT		25.4 %	42.0 - 52.0	L	GEN LAB	
	MCV		82.2 FL	80.0 - 94.0		GEN LAB	
	MCH		29.4 pg	27.0 - 31.0		GEN LAB	
	MCHC		35.8 GM/DL	30.7 - 34.4	H	GEN LAB	
	RDW		14.0 %	11.5 - 14.5		GEN LAB	
	PLATELET COUNT	Finished					

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN

Date Printed: 03/16/2012

Page24

MUSC24 OF 519

176

Medical University of South Carolina
Charleston, SC
843-792-2123

Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	PLATELET COUNT		72 K/CUMM	140 - 440	L	GEN LAB	
	MPV		10.80 FL	9.15 - 12.31		GEN LAB	
	PROTHROMBIN TIME (PT)	Finished					
	PT		15.9 SEC	12.0 - 15.1	H	GEN LAB	*
	INR		1.23			GEN LAB	
	APTT	Finished	28.1 SEC	23.3 - 35.7		GEN LAB	*
4/26/11 2:15 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	115	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 1:12 PM	GLUCOSE PCX, WHOLE BLOOD	Finished	104	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 12:20 PM	ARTERIAL BLOOD GAS	Finished					
	FRACTION OF INSPIRED OXYGEN		40 %			OR LAB	
	TEMPERATURE (UNCORRECTED)		37 DegC			OR LAB	
	PH AT 37 DEGREES C		7.42	7.35 - 7.45		OR LAB	
	PCO2 AT 37 DEGREES C		41 MM HG	35 - 45		OR LAB	
	PO2 AT 37 DEGREES C		189 MM HG	80 - 100	H	OR LAB	
	BODY TEMPERATURE		37.3 DegC			OR LAB	
	PH AT PATIENT TEMP		7.42	7.35 - 7.45		OR LAB	
	PCO2 AT PATIENT TEMP		42 MM HG	35 - 45		OR LAB	
	PO2 AT PATIENT TEMP		191 MM HG	80 - 100	H	OR LAB	*
	% O2 SATURATION		99.7 %	96.0 - 97.0	H	OR LAB	
	BASE EXC/D		2.0 MMOL/L	-2.0 - 3.0		OR LAB	
	BICARBONATE		26.1 MMOL/L	22.0 - 26.0	H	OR LAB	
	LACTATE - WHOLE BLOOD	Finished	1.2 MMOL/L	0.5 - 1.6		OR LAB	*

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Page25

177

MUSC25 OF 519

Medical University of South Carolina

Charleston, SC

843-792-2123

Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	HEM PANEL	Finished					
	WHITE BLOOD CELL COUNT		7.96 K/CUMM	4.80 - 10.80		GEN LAB	
	RED BLOOD CELL COUNT		2.76 M/CUMM	4.70 - 6.10	L	GEN LAB	
	HEMOGLOBIN		8.1 GM/DL	14.0 - 18.0	L	GEN LAB	
	HEMATOCRIT		22.9 %	42.0 - 52.0	L	GEN LAB	
	MCV		83.0 FL	80.0 - 94.0		GEN LAB	
	MCH		29.3 pg	27.0 - 31.0		GEN LAB	
	MCHC		35.4 GM/DL	30.7 - 34.4	H	GEN LAB	
	RDW		13.9 %	11.5 - 14.5		GEN LAB	
	PLATELET COUNT	Finished					
	PLATELET COUNT		66 K/CUMM	140 - 440	L	GEN LAB	
	MPV		10.80 FL	9.15 - 12.31		GEN LAB	
	METABOLIC PANEL, BASIC - BMP	Finished					
	SODIUM (NA)		141.0 MMOL/L	135.0 -		GEN LAB	
	POTASSIUM (K)		4.60 MMOL/L	3.50 - 5.00		GEN LAB	
	CHLORIDE		110.0 MMOL/L	98.0 - 107.0	H	GEN LAB	
	CO2 CONTENT (BICARBONATE)		27 MMOL/L	22 - 32		GEN LAB	
	ANION GAP		4 MMOL/L	2 - 11		GEN LAB	
	GLUCOSE, SERUM		101.0 MG/DL	70.0 - 100.0	H	GEN LAB	
	UREA NITROGEN, BLOOD (BUN)		11.0 MG/DL	8.0 - 20.0		GEN LAB	
	CREATININE		1.0 MG/DL	0.6 - 1.3		GEN LAB	
	ESTIMATED GLOMERULAR		>59 mL/min			GEN LAB	*
	CALCIUM		7.9 MG/DL	8.4 - 10.2	L	GEN LAB	
	HEMOLYSIS INDEX		0.0	0.0 - 1.9		GEN LAB	*

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN

Date Printed: 03/16/2012

Page26

MUSC26 OF 519

178

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	APTT	Finished	33.6 SEC	23.3 - 35.7		GEN LAB	*
	PROTHROMBIN TIME (PT)	Cancelled					*
	PROTHROMBIN TIME (PT)	Finished					
	PT		17.0 SEC	12.0 - 15.1	H	GEN LAB	*
	INR		1.33			GEN LAB	
4/26/11 11:58 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	90	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 11:01 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	88	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 10:26 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	97	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 10:01 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	55	70 - 100	L	POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 9:02 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	85	70 - 100		POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 8:26 AM	PROTHROMBIN TIME (PT)	Finished					
	PT		18.1 SEC	12.0 - 15.1	H	GEN LAB	*
	INR		1.44			GEN LAB	
	APTT	Finished	34.3 SEC	23.3 - 35.7		GEN LAB	*
	METABOLIC PANEL, COMPREHENSIVE	Finished					
	SODIUM (NA)		141.0 MMOL/L	135.0 -		GEN LAB	
	POTASSIUM (K)		4.00 MMOL/L	3.50 - 5.00		GEN LAB	
	CHLORIDE		110.0 MMOL/L	98.0 - 107.0	H	GEN LAB	
	CO2 CONTENT (BICARBONATE)		24 MMOL/L	22 - 32		GEN LAB	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	ANION GAP		7 MMOL/L	2 - 11		GEN LAB	
	GLUCOSE, SERUM		115.0 MG/DL	70.0 - 100.0	H	GEN LAB	
	UREA NITROGEN, BLOOD (BUN)		10.0 MG/DL	8.0 - 20.0		GEN LAB	
	CREATININE		1.2 MG/DL	0.6 - 1.3		GEN LAB	
	ESTIMATED GLOMERULAR		>59 mL/min			GEN LAB	*
	CALCIUM		7.8 MG/DL	8.4 - 10.2	L	GEN LAB	
	BILIRUBIN, TOTAL		1.6 MG/DL	0.2 - 1.3	H	GEN LAB	
	ASPARTATE AMINOTRANSFERASE		40.0 IU/L	12.0 - 38.0	H	GEN LAB	
	ALANINE AMINOTRANSFERASE		21.0 IU/L	10.0 - 45.0		GEN LAB	
	ALKALINE PHOSPHATASE		42.0 IU/L	25.0 - 100.0		GEN LAB	
	TOTAL PROTEIN, SERUM		4.0 G/DL	6.0 - 8.0	L	GEN LAB	
	ALBUMIN, SERUM		2.3 G/DL	3.5 - 4.8	L	GEN LAB	
	HEMOLYSIS INDEX		0.0	0.0 - 1.9		GEN LAB	*
	HEM PANEL	Finished					
	WHITE BLOOD CELL COUNT		13.85 K/CUMM	4.80 - 10.80	H	GEN LAB	
	RED BLOOD CELL COUNT		2.61 M/CUMM	4.70 - 6.10	L	GEN LAB	
	HEMOGLOBIN		7.6 GM/DL	14.0 - 18.0	L	GEN LAB	
	HEMATOCRIT		21.8 %	42.0 - 52.0	L	GEN LAB	
	MCV		83.5 FL	80.0 - 94.0		GEN LAB	
	MCH		29.1 pg	27.0 - 31.0		GEN LAB	
	MCHC		34.9 GM/DL	30.7 - 34.4	H	GEN LAB	
	RDW		13.6 %	11.5 - 14.5		GEN LAB	
	PLATELET COUNT	Finished					
	PLATELET COUNT		62 K/CUMM	140 - 440	L	GEN LAB	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	MPV		9.80 FL	9.15 - 12.31		GEN LAB	
4/26/11 7:24 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	185	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 6:29 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	273	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 5:30 AM	GLUCOSE PCX, WHOLE BLOOD	Finished	212	70 - 100	H	POCT	
	PERFORMING LAB		See Note			POCT	*
4/26/11 5:29 AM	METABOLIC PANEL, BASIC - BMP	Finished					
	SODIUM (NA)		140.0 MMOL/L	135.0 -		GEN LAB	
	POTASSIUM (K)		4.10 MMOL/L	3.50 - 5.00		GEN LAB	
	CHLORIDE		113.0 MMOL/L	98.0 - 107.0	H	GEN LAB	
	CO2 CONTENT (BICARBONATE)		20 MMOL/L	22 - 32	L	GEN LAB	
	ANION GAP		7 MMOL/L	2 - 11		GEN LAB	
	GLUCOSE, SERUM		191.0 MG/DL	70.0 - 100.0	H	GEN LAB	
	UREA NITROGEN, BLOOD (BUN)		11.0 MG/DL	8.0 - 20.0		GEN LAB	
	CREATININE		1.1 MG/DL	0.6 - 1.3		GEN LAB	
	CALCIUM		7.2 MG/DL	8.4 - 10.2	L	GEN LAB	
	HEMOLYSIS INDEX		1.0	0.0 - 1.9		GEN LAB	*
	MAGNESIUM (MG)	Finished	2.0 MG/DL	1.6 - 2.3		GEN LAB	
	HEMOLYSIS INDEX		1.0	0.0 - 1.9		GEN LAB	*
	PHOSPHORUS (PO4)	Finished	4.0 MG/DL	2.4 - 4.7		GEN LAB	
	HEMOLYSIS INDEX		1.0	0.0 - 1.9		GEN LAB	*
	HEM PANEL	Finished					
	WHITE BLOOD CELL COUNT		20.32 K/CUMM	4.80 - 10.80	H	GEN LAB	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
 Charleston, SC
 843-792-2123
 Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	RED BLOOD CELL COUNT		3.64 M/CUMM	4.70 - 6.10	L	GEN LAB	
	HEMOGLOBIN		10.7 GM/DL	14.0 - 18.0	L	GEN LAB	
	HEMATOCRIT		30.6 %	42.0 - 52.0	L	GEN LAB	
	MCV		84.1 FL	80.0 - 94.0		GEN LAB	
	MCH		29.4 pg	27.0 - 31.0		GEN LAB	
	MCHC		35.0 GM/DL	30.7 - 34.4	H	GEN LAB	
	RDW		13.3 %	11.5 - 14.5		GEN LAB	
	PLATELET COUNT	Finished					
	PLATELET COUNT		83 K/CUMM	140 - 440	L	GEN LAB	
	MPV		10.00 FL	9.15 - 12.31		GEN LAB	
	PROTHROMBIN TIME (PT)	Finished					
	PT		26.0 SEC	12.0 - 15.1	H	GEN LAB	*
	INR		2.27			GEN LAB	
	APTT	Finished	63.2 SEC	23.3 - 35.7	H	GEN LAB	*
4/26/11 4:48 AM	ARTERIAL BLOOD GAS	Finished					
	FRACTION OF INSPIRED OXYGEN		90 %			OR LAB	
	TEMPERATURE (UNCORRECTED)		37 DegC			OR LAB	
	PH AT 37 DEGREES C		7.25	7.35 - 7.45	L	OR LAB	
	PCO2 AT 37 DEGREES C		44 MM HG	35 - 45		OR LAB	
	PO2 AT 37 DEGREES C		506 MM HG	80 - 100	H	OR LAB	
	BODY TEMPERATURE		36.0 DegC			OR LAB	
	PH AT PATIENT TEMP		7.26	7.35 - 7.45	L	OR LAB	
	PCO2 AT PATIENT TEMP		41 MM HG	35 - 45		OR LAB	
	PO2 AT PATIENT TEMP		500 MM HG	80 - 100	H	OR LAB	*

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	% O2 SATURATION		99.8 %	96.0 - 97.0	H	OR LAB	
	BASE EXC/D		-7.9 MMOL/L	-2.0 - 3.0	L	OR LAB	
	BICARBONATE		18.4 MMOL/L	22.0 - 26.0	L	OR LAB	
	TOTAL CO2 CONTENT		19.7 MMOL/L	23.0 - 27.0	L	OR LAB	
	HEMOGLOBIN		8.8 GM/DL	14.0 - 18.0	L	OR LAB	
	HEMATOCRIT - BLOOD GAS		27 %	42 - 52	L	OR LAB	
	SODIUM (NA)		137 MMOL/L	135 - 145		OR LAB	
	POTASSIUM (K)		3.6 MMOL/L	3.5 - 5.0		OR LAB	
	CHLORIDE		111 MMOL/L	98 - 107	H	OR LAB	
	GLUCOSE-BLOOD GAS		184 MG/DL	70 - 100	H	OR LAB	
	CA++ MEASURED		1.16 MMOL/L	1.14 - 1.29		OR LAB	
4/26/11 4:21 AM	ARTERIAL BLOOD GAS	Finished					
	FRACTION OF INSPIRED OXYGEN		.90 %			OR LAB	
	TEMPERATURE (UNCORRECTED)		37 DegC			OR LAB	
	PH AT 37 DEGREES C		7.27	7.35 - 7.45	L	OR LAB	
	PCO2 AT 37 DEGREES C		41 MM HG	35 - 45		OR LAB	
	PO2 AT 37 DEGREES C		489 MM HG	80 - 100	H	OR LAB	
	BODY TEMPERATURE		35.9 DegC			OR LAB	
	PH AT PATIENT TEMP		7.29	7.35 - 7.45	L	OR LAB	
	PCO2 AT PATIENT TEMP		39 MM HG	35 - 45		OR LAB	
	PO2 AT PATIENT TEMP		483 MM HG	80 - 100	H	OR LAB	*
	% O2 SATURATION		99.9 %	96.0 - 97.0	H	OR LAB	
	BASE EXC/D		-7.5 MMOL/L	-2.0 - 3.0	L	OR LAB	
	BICARBONATE		18.2 MMOL/L	22.0 - 26.0	L	OR LAB	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

MUSC32 OF 519

184

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	I/L	Site	Comment
	TOTAL CO2 CONTENT		19.4 MMOL/L	23.0 - 27.0	L	OR LAB	
	HEMOGLOBIN		6.9 GM/DL	14.0 - 18.0	L	OR LAB	
	HEMATOCRIT - BLOOD GAS		22 %	42 - 52	L	OR LAB	
	SODIUM (NA)		137 MMOL/L	135 - 145		OR LAB	
	POTASSIUM (K)		3.4 MMOL/L	3.5 - 5.0	L	OR LAB	
	CHLORIDE		111 MMOL/L	98 - 107	H	OR LAB	
	GLUCOSE-BLOOD GAS		175 MG/DL	70 - 100	H	OR LAB	
	CA++ MEASURED		1.00 MMOL/L	1.14 - 1.29	L	OR LAB	
4/26/11 3:50 AM	ARTERIAL BLOOD GAS	Finished					*
	TEMPERATURE (UNCORRECTED)		37 DegC			OR LAB	
	PH AT 37 DEGREES C		7.27	7.35 - 7.45	L	OR LAB	
	PCO2 AT 37 DEGREES C		37 MM HG	35 - 45		OR LAB	
	PO2 AT 37 DEGREES C		470 MM HG	80 - 100	H	OR LAB	
	BODY TEMPERATURE		35.8 DegC			OR LAB	
	PH AT PATIENT TEMP		7.29	7.35 - 7.45	L	OR LAB	
	PCO2 AT PATIENT TEMP		35 MM HG	35 - 45		OR LAB	
	PO2 AT PATIENT TEMP		463 MM HG	80 - 100	H	OR LAB	*
	% O2 SATURATION		100.0 %	96.0 - 97.0	H	OR LAB	
	BASE EXC/D		-9.0 MMOL/L	-2.0 - 3.0	L	OR LAB	
	BICARBONATE		16.5 MMOL/L	22.0 - 26.0	L	OR LAB	
	TOTAL CO2 CONTENT		17.7 MMOL/L	23.0 - 27.0	L	OR LAB	
	HEMOGLOBIN		5.2 GM/DL	14.0 - 18.0	C	OR LAB	
	HEMATOCRIT - BLOOD GAS		16 %	42 - 52	C	OR LAB	
	SODIUM (NA)		138 MMOL/L	135 - 145		OR LAB	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	POTASSIUM (K)		3.4 MMOL/L	3.5 - 5.0	L	OR LAB	
	CHLORIDE		111 MMOL/L	98 - 107	H	OR LAB	
	GLUCOSE-BLOOD GAS		159 MG/DL	70 - 100	H	OR LAB	
	CA++ MEASURED		0.97 MMOL/L	1.14 - 1.29	L	OR LAB	
4/26/11 3:36 AM	ARTERIAL BLOOD GAS	Finished					
	FRACTION OF INSPIRED OXYGEN		94 %			OR LAB	
	TEMPERATURE (UNCORRECTED)		37 DegC			OR LAB	
	PH AT 37 DEGREES C		7.18	7.35 - 7.45	L	OR LAB	
	PCO2 AT 37 DEGREES C		47 MM HG	35 - 45	H	OR LAB	
	PO2 AT 37 DEGREES C		496 MM HG	80 - 100	H	OR LAB	
	BODY TEMPERATURE		36.0 DegC			OR LAB	
	PH AT PATIENT TEMP		7.20	7.35 - 7.45	L	OR LAB	
	PCO2 AT PATIENT TEMP		45 MM HG	35 - 45		OR LAB	
	PO2 AT PATIENT TEMP		490 MM HG	80 - 100	H	OR LAB	*
	% O2 SATURATION		100.0 %	96.0 - 97.0	H	OR LAB	
	BASE EXC/D		-10.3 MMOL/L	-2.0 - 3.0	L	OR LAB	
	BICARBONATE		16.9 MMOL/L	22.0 - 26.0	L	OR LAB	
	TOTAL CO2 CONTENT		18.4 MMOL/L	23.0 - 27.0	L	OR LAB	
	HEMOGLOBIN		7.8 GM/DL	14.0 - 18.0	L	OR LAB	
	HEMATOCRIT - BLOOD GAS		24 %	42 - 52	L	OR LAB	
	SODIUM (NA)		139 MMOL/L	135 - 145		OR LAB	
	POTASSIUM (K)		3.4 MMOL/L	3.5 - 5.0	L	OR LAB	
	CHLORIDE		111 MMOL/L	98 - 107	H	OR LAB	
	GLUCOSE-BLOOD GAS		195 MG/DL	70 - 100	H	OR LAB	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012

Medical University of South Carolina

Charleston, SC

843-792-2123

Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	CA++ MEASURED		0.95 MMOL/L	1.14 - 1.29	L	OR LAB	
4/26/11 2:59 AM	APTT	Finished	31.2 SEC	23.3 - 35.7		GEN LAB	*
	METABOLIC PANEL, BASIC - BMP	Finished					
	SODIUM (NA)		141.0 MMOL/L	135.0 -		GEN LAB	
	POTASSIUM (K)		3.80 MMOL/L	3.50 - 5.00		GEN LAB	
	CHLORIDE		110.0 MMOL/L	98.0 - 107.0	H	GEN LAB	
	CO2 CONTENT (BICARBONATE)		20 MMOL/L	22 - 32	L	GEN LAB	
	ANION GAP		11 MMOL/L	2 - 11		GEN LAB	
	GLUCOSE, SERUM		141.0 MG/DL	70.0 - 100.0	H	GEN LAB	
	UREA NITROGEN, BLOOD (BUN)		13.0 MG/DL	8.0 - 20.0		GEN LAB	
	CREATININE		1.3 MG/DL	0.6 - 1.3		GEN LAB	
	CALCIUM		8.5 MG/DL	8.4 - 10.2		GEN LAB	
	HEMOLYSIS INDEX		2.0	0.0 - 1.9	H	GEN LAB	*
	HEM PANEL	Finished					
	WHITE BLOOD CELL COUNT		16.36 K/CUMM	4.80 - 10.80	H	GEN LAB	
	RED BLOOD CELL COUNT		4.53 M/CUMM	4.70 - 6.10	L	GEN LAB	
	HEMOGLOBIN		13.9 GM/DL	14.0 - 18.0	L	GEN LAB	
	HEMATOCRIT		38.9 %	42.0 - 52.0	L	GEN LAB	
	MCV		85.9 FL	80.0 - 94.0		GEN LAB	
	MCH		30.7 pg	27.0 - 31.0		GEN LAB	
	MCHC		35.7 GM/DL	30.7 - 34.4	H	GEN LAB	
	RDW		12.7 %	11.5 - 14.5		GEN LAB	
	PLATELET COUNT	Finished					
	PLATELET COUNT		206 K/CUMM	140 - 440		GEN LAB	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN

Date Printed: 03/16/2012

Medical University of South Carolina
Charleston, SC
843-792-2123
Laboratory Results Report

Patient: GOODWIN, DAVON A

MRN: 000725008

Date	Description	Status	Result	Normal	H/L	Site	Comment
	MPV		10.90 FL	9.15 - 12.31		GEN LAB	
	ALCOHOL, ETHYL	Finished	28.0 MG/DL	0.0 - 0.0	H	GEN LAB	*
	PROTHROMBIN TIME (PT)	Finished					
	PT		14.8 SEC	12.0 - 15.1		GEN LAB	*
	INR		1.12			GEN LAB	
	ABO AND RH BLOOD TYPE	Finished					
	ABO RH INTERPRETATION		B NEG			GEN LAB	
	ANTIBODY SCREEN (INDIRECT	Finished					
	ANTIBODY SCREEN INTERP		Negative ABSC			GEN LAB	

This document should not be filed in the paper medical record and should be confidentially destroyed when it is no longer needed.

Printed by: GADSDEN, ADRIAN
Date Printed: 03/16/2012



ECG
DAILY TELEMETRY STRIPS

Page 1 of 2

Form Origination Date: 1/00
Version: 2

Version Date: 11/05



P:495654 25300E

TRAUMA

Patient Name

VICTIM PP


MRN

Adm:04/26/11 M:2657049

PATIENT IDENTIFICATION LABEL


DATE: 9/25/11 BOX NO: _____ ROOM NO: _____ PAGE _____ of _____

GOODWIN, DAVON ST3 4/26/2011 07:25:06 SpO2 LOW PERF HR 90 SINUS RHYTHM PULSE 89? PVC 0 ABP 110/69 (82) RESP 0 MC-02 1



HR: 90 PRI: 0.16 QRS: 0.08 QT: _____ RHYTHM INTERPRETATION: NSR + no cdg
TELEMETRY TECHNICIAN SIGNATURE: Allen / 110 Shirley W

GOODWIN ST3 4/28/2011 20:35:16 HR 113 SINUS TACHY PULSE 111 PVC 0 NBP 155/66 (84) RESP 27 %SpO2 99 P1:NBP 115 at 20:30 25 m



HR: 116 PRI: 06 QRS: 06 QT: 0.38 RHYTHM INTERPRETATION: Sinus Tachycardia
TELEMETRY TECHNICIAN SIGNATURE: [Signature]

[illegible]

HR: _____ PRI: _____ QRS: _____ QT: _____ RHYTHM INTERPRETATION: _____
TELEMETRY TECHNICIAN SIGNATURE: _____
dal/telemetry OTC 700153 Rev. 11/05

OTC 700153 Rev. 11/05

**MUSC Health**

ECG

DAILY TELEMETRY STRIPS

Page 2 of 2

Form Origination Date: 1/00*

Version: 2

Version Date: 11/05

P495654253
Goodwin Davon
M2657049

Goodwin Davon

M 2657049

Patient Name:

MRN

PATIENT IDENTIFICATION LABEL

DATE: _____ BOX NO: _____ ROOM NO: _____ PAGE _____ of _____

HR: _____ PRI: _____ QRS: _____ QT: _____ RHYTHM INTERPRETATION: _____

TELEMETRY TECHNICIAN SIGNATURE: _____

HR: _____ PRI: _____ QRS: _____ QT: _____ RHYTHM INTERPRETATION: _____

TELEMETRY TECHNICIAN SIGNATURE: _____

HR: _____ PRI: _____ QRS: _____ QT: _____ RHYTHM INTERPRETATION: _____

TELEMETRY TECHNICIAN SIGNATURE: _____

dailytelemetry

OTC 700153-Rev: 11/05



CONSENT
Adult Consent for Invasive Procedures in the ICU
(Universal Consent)

Page 1 of 4

Form Origination Date: 1/10
Version: 1

Version Date: 1/10



P:495654253 DOB: [REDACTED] B M
 GOODWIN
 DAVON

Patient Name Adm: 04/26/11 M: 2657049
 MRN

PATIENT IDENTIFICATION LABEL

Date 4/26/11

I authorize the performance of:

☒ Placement of central venous catheterPatient / Family Initials DSConsent Refused ☐

Procedure: A small tube used to give fluids or medicine and measure pressure is placed in the large vein near the heart.
Indication: Need for long-term medicine treatment for pain, infection, cancer, or to supply nutrition; to give medicines that affect the heart; to measure the blood pressure inside the large vein near the heart.

Potential complications: Bleeding, caused by inserting the catheter into the vein; collapsed lung (pneumothorax); blood clots; air embolism; infection; blockage or kinking of the catheter; pain at entry site; moving of the catheter. A catheter that has moved out of place can sometimes be repositioned. If the catheter can not be moved back in to place, it must be replaced.

Alternatives procedures, treatments or therapies: _____**Practitioner(s) performing the procedure:** _____☒ Placement of pulmonary artery catheterPatient / Family Initials DSConsent Refused ☐

Procedure: A small tube which is passed into a large artery of the heart that carries blood to the lungs.

Indication: To detect heart failure or infection, monitor therapy, and / or evaluate the effects of drugs.

Potential complications: Irregular heartbeat, rupture or a puncture of the pulmonary artery, blood clots, infection, collapsed lung (pneumothorax), bleeding, and other problems.

Alternatives procedures, treatments or therapies: _____**Practitioner(s) performing the procedure:** _____☒ Chest tube placementPatient / Family Initials DSConsent Refused ☐

Procedure: A tube inserted into the lung space which allows air, blood or fluid to drain from the chest area and restores breathing.

Indication: Bleeding into the chest (hemothorax); air leaks from the lung into the chest (pneumothorax); extra fluid collected in the pleural space (pleural effusion); lung abscess or infection with pus in the chest; after surgery or trauma to the chest.

Potential complications: Problems breathing; bleeding; infection; tube may poke through body organs close to the lungs. A chest tube may move out of place and another tube may need to be put in.

Alternatives procedures, treatments or therapies: _____**Practitioner(s) performing the procedure:** _____☐ ThoracentesisPatient / Family Initials DSConsent Refused ☐

Procedure: A needle / syringe is inserted into the chest to remove fluid.

Indication: To help find out the cause of fluid in the lung cavity; relieve shortness of breath; relieve pain caused by excess fluid (pleural effusion)

Potential complications: Puncture the lung with a needle, a partial collapse of the lung (pneumothorax); pulmonary edema; infection; bleeding, chest pain, nausea, cough; damage to nerves, veins or organs near the lungs.

Alternatives procedures, treatments or therapies: _____**Practitioner(s) performing the procedure:** _____

ah_critcare_all_consent_universalconsent

OTE 700514 1/10



CONSENT
Adult Consent for Invasive Procedures in the ICU
(Universal Consent)

Page 2 of 4

Form Origination Date: 1/10
 Version: 1

Version Date: 1/10



P:495654253 DOB: [REDACTED] B M

GOODWIN

DAVON

Adm:04/26/11 M:2657049

Patient Name
 MRN

PATIENT IDENTIFICATION LABEL

Date 4/26/11

☐ **Paracentesis**

Patient / Family Initials _____

Consent Refused ☐

Procedure: A needle is inserted into the body, usually the chest or abdomen, to remove fluid.

Indication: Help determine the cause of fluid buildup in the abdominal cavity (ascites); diagnose infection in the fluid; help detect certain types of cancer (such as liver cancer); remove a large amount of built up fluid that is causing pain or breathing difficulty, or that is affecting the function of the kidneys or the intestines (bowel).

Potential complications: Puncture of the bladder, bowel, or a blood vessel within the abdomen; if cancer cells are present in the fluid found in abdomen, spread of the cancer cells within the abdominal cavity; shock from removal of a large amount of fluid; a decrease in kidney function.

Alternatives procedures, treatments or therapies: _____

Practitioner(s) performing the procedure: _____

☐ **Lumbar puncture**

Patient / Family Initials _____

Consent Refused ☐

Procedure: A needle is inserted into the middle area of the back to check for problems with the fluid in the brain or spine.

Indication: Frequent seizures; headache; fever; level of awakesness; diagnosis of: _____;
 other: _____

Potential complications: The brain may herniate, resulting in severe injury and possibly death for patients with existing increased pressure within the brain caused by a tumor, a pocket of infection, or a major bleed. minor nerve injury; infection of the cerebrospinal fluid (CSF) (meningitis); bleeding inside the spinal canal; damage to the connective tissue between the vertebrae; continued internal bleeding (for those with existing bleeding disorders currently taking blood-thinning medication (such as warfarin or heparin). For these patients, procedure will only be performed if a life-threatening illness is suspected and needs to be diagnosed immediately.

Alternatives procedures, treatments or therapies: _____

Practitioner(s) performing the procedure: _____

☒ **Intubation / mechanical ventilation (MV)**

Patient / Family Initials [Signature]

Consent Refused ☐

Procedure: A tube is passed, usually through the mouth or nose, into the wind pipe (trachea) to maintain patient breathing and provide oxygen or anesthesia. A machine (ventilator) is then hooked to the breathing tube to provide oxygen to the lungs and breathe for the patient if required.

Indication: Being unable to breathe on your own

Potential complications: May be used short term (i.e., treating pneumonia), or it may be needed long term for permanent lung disease or trauma to the brain. Some patients on long term MV live a quality of life that is acceptable to them. For some patients, MV may only prolong the dying process. Abnormal heartbeats, damage to mouth, teeth, larynx (voice box), or wind pipe (trachea) may occur. The tube may be passed into the throat (esophagus) causing you to vomit. Infection of the lungs (pneumonia) or partial collapse of the lungs (pneumothorax) are more common in mechanically ventilated patients.

Alternatives procedures, treatments or therapies: _____

Practitioner(s) performing the procedure: _____

ah_critcare_all_consent_universalconsent

OTE 700514 1/10



CONSENT
Adult Consent for Invasive Procedures in the ICU
(Universal Consent)

Page 3 of 4

Form Origination Date: 1/10
Version: 1

Version Date: 1/10



P:495654253008 B M

GOODWIN

DAVON

Patient Name Adm:04/26/11 M:2657049
MRN

PATIENT IDENTIFICATION LABEL

Date 4/26/11

☒ Upper gastrointestinal tract endoscopy

Patient / Family Initials

Consent Refused ☐

Procedure: A tube is passed through the mouth that lets the doctor check the upper digestive system; throat (esophagus), stomach and part of the small intestine.

Indication: To detect a bulge of the stomach into the diaphragm (hiatal hernia), stomach ulcers, swelling, tumors, bleeding, or other findings; determine the cause of constant upper stomach pain or bloating, difficulty in swallowing, and vomiting; to diagnose an infection of the throat (esophagus) check the stomach and upper small intestine (duodenum) after surgery; obtain an emergency diagnosis of esophageal injury; collect tissue samples (biopsy); treat upper GI bleeding, including bleeding caused by engorged veins in the throat (esophageal varices); remove foreign objects that have been swallowed; perform studies for anemia.

Potential complications: Puncture to the throat (esophagus), stomach, or upper small intestine (duodenum); bleeding caused by the procedure; aspiration pneumonia.

Alternatives procedures, treatments or therapies:

Practitioner(s) performing the procedure:

☒ Lower gastrointestinal tract endoscopy

Patient / Family Initials

Consent Refused ☐

Procedure: A tube is passed through the rectum into the large intestine allowing doctors to test for problems.

Indication: To check for abscess or infection, swelling, polyps or tumors.

Potential complications: Bleeding caused by the procedure, abnormal heartbeat, high blood pressure, or trouble breathing. Increased pressure from the tube can cause a tear in the large intestine or rectum.

Alternatives procedures, treatments or therapies:

Practitioner(s) performing the procedure:

☒ Tracheostomy

Patient / Family Initials

Consent Refused ☐

Procedure: A small opening is made in the wind pipe (trachea) to allow a breathing tube to be inserted.

Indication: Need long term help with breathing due to illness, damage to muscles used in breathing, problems clearing mucus out of airways

Potential complications: Scar tissue can form at the opening; problems with speech; bleeding and infection at the site; increased risk of lung infections; tube can get blocked by blood clots or mucus; tube can come out on its own and need to be replaced right away; trouble swallowing; emotional problems, such as change in self-image and depression.

Alternatives procedures, treatments or therapies:

Practitioner(s) performing the procedure:

☒ Bronchoscopy with lavage

Patient / Family Initials

Consent Refused ☐

Procedure: A tube with a small camera is passed into the upper breathing airway allowing the doctor to check this area.

Indication: To see areas of swelling (inflammation) and bleeding; diagnose certain lung diseases; diagnose and find out the extent of lung cancer; remove foreign objects or thick mucus that may be blocking the airway

Potential complications: Spasms of the branches of the wind pipe, which can impair breathing; irregular heartbeats (arrhythmias); infections such as pneumonia; discomfort and coughing; reduced oxygenation.

Alternatives procedures, treatments or therapies:

Practitioner(s) performing the procedure:

ah_critcare_all_consent_universalconsent

OTE 700514 1/10



CONSENT
Adult Consent for Invasive Procedures in the ICU
(Universal Consent)

Page 4 of 4

Form Origination Date: 1/10
Version: 1

Version Date: 1/10



P:495654253 DOB: [REDACTED] B M
 GOODWIN
 DAVON

Patient Name: Adm:04/26/11 M:2657049
 MRN

PATIENT IDENTIFICATION LABEL

Date: 4/26/11

Consent Refused

☐ **Bronchoscopy with biopsy-needle / forceps**

Patient / Family Initials

☐

Procedure: A tube with a small camera is passed into the upper breathing airway allowing the doctor to check this area, and a small amount of fluid may be put into the tube and then removed, a piece of tissue can be taken, and sent for lab test.

Indication: To remove tissue samples for biopsy; to clear the airway of foreign bodies.

Potential complications: Spasms of the branches of the wind pipe, which can impair breathing; irregular heartbeats (arrhythmias); infections such as pneumonia; Bleeding can be caused by the needle used to collect the tissue; a tear of the lung wall can occur; discomfort and coughing; reduced oxygen.

Alternatives procedures, treatments or therapies:

Practitioner(s) performing the procedure:

☒ **Placement of arterial catheter**

Patient / Family Initials

Consent Refused

☐

Procedure: A small tube placed into an artery, often in the wrist area, to allow for more precise monitoring of pulse and blood pressure

Indication: Need for frequent blood draws (> 4 times/day); hemodynamic instability (spread of blood and oxygen not balanced) requiring medicine for low blood pressure; high blood pressure requiring continuous IV medicines; profound obesity preventing accurate blood pressure reading; monitoring of blood flow to the brain.

Potential complications: Bleeding.

Alternatives procedures, treatments or therapies:

Practitioner(s) performing the procedure:

☐ **Other:**

Patient / Family Initials

Consent Refused

☐

Procedure:

Indication:

Potential complications:

Alternatives procedures, treatments or therapies:

Practitioner(s) performing the procedure:

Consent Refused

☐ **Anesthesia Administration**

Patient / Family Initials

☐

I consent to the administration of such anesthetics as may be considered necessary or advisable by the Physician, Anesthesiologist or Certified Registered Nurse Anesthetist (CRNA) performing and / or assisting with the procedure.

upon Davon Goodwin

[patient name]

I have reviewed and fully understand the indications, procedure and potential complications on pages 1-4 of this document. I, the undersigned, have had this form explained to me and fully understand the contents of this authorization.

Witness

Authorized Person

Relationship

I have counseled this person as to the nature of, and reasons for, the proposed procedure(s).

Physician obtaining consent
 ah_cicare_all_consent_universalconsent

Date

Time

OTE 700514 1/10



CONSENT
AUTHORIZATION FOR ADMINISTRATION OF ANESTHESIA AND
FOR PERFORMANCE OF OPERATIONS AND OTHER
PROCEDURES

Form Origination Date: 1/00
 Version: 7

Page 1 of 2

Version Date: 07/07



P-495654253 DOB: [REDACTED] B M
 GOODWIN
 DAVON

Adm: 04/28/11 M: 2657049

Patient Name
 MRN

PATIENT IDENTIFICATION LABEL

1. I authorize the performance upon Davon Goodwin of the following
 (Myself or name of patient) R L

operation / procedure:
re-exploration of abdomen
possible bowel resection
possible ostomy
possible closure of abdomen



(Description of operation or procedure in layman's language / Medical description of operation or procedure)

for the preoperative diagnosis of injuries secondary to gunshot wound

to be performed under the direction of Dr(s). Norcross and associates

and / or such associates, partners, assistants, or designees as may be selected by him to perform such operation / procedure. I understand that the individuals participating in my operation / procedure are physicians who are in approved medical residency training programs or are nonphysician skilled healthcare providers. All individuals participating in my operation / procedure are under the direction of Dr(s). Norcross and associates

I understand that my primary physician for this operation / procedure will decide who should participate and in what manner at the time of my operation / procedure and that this decision will be based upon my condition and needs at the time of the operation / procedure and who is available to meet those needs. Other individuals may perform important parts of the operation / procedure such as opening and closing, harvesting grafts, dissecting or removing tissue, altering tissue, or implanting devices.

2. I recognize that during the course of the operation / procedure, unforeseen conditions may necessitate additional or different procedures or services than those set forth above, and I further authorize and request that the above-named surgeon and / or his / her associates, partners, assistants, or designees perform such procedures that are, in his / her (or their) professional judgment, necessary and desirable.
3. I consent to the disposal by hospital authorities of any tissue or members, which may be removed during the course of the operation. I further give my consent for the hospital authorities, at their discretion, to save for research and educational purposes all or part of the tissue or specimens normally thrown away. I understand that the tissue or specimens saved will not be linked to my name or any other identifier that could be traced to me. If you do not give permission for any removed tissue or specimens to be saved, strike through this paragraph and initial to the left of the paragraph.
4. For the purpose of advancing medical knowledge, I consent to the admittance of medical students and other observers, in accordance with ordinary practices of this medical facility, to the use of closed-circuit television, the taking of photographs (including motion pictures) and the preparation of drawings and similar illustrative graphic material. I also consent to the use of such photographs and other materials for scientific purposes.
5. The nature, proposed and possible consequences of the operation / procedure, possible alternative methods of treatment, the risks involved and the possibility of complications including those listed below, and the potential problems that might occur during recuperation have been fully explained to me. Although we have discussed the potential likelihood of success, no guarantees or assurances have been made or given by anyone as to the results that may be obtained.
bleeding, infection, damage to surrounding structures, need for other procedures

Patient initials [Signature] / Physician initials AR

all_all_consent_surgicalconsent

OTE 700034 Rev. 07/07

Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 5 of 6



CONSENT
AUTHORIZATION FOR ADMINISTRATION OF ANESTHESIA AND
FOR PERFORMANCE OF OPERATIONS AND OTHER
PROCEDURES

Form Origination Date: 1/00
 Version: 7

Page 2 of 2

Version Date: 07/07

Operation / Procedure (from page 1):

re-exploration of abdomen
 possible bowel resection
 possible ostomy
 possible closure of abdomen



P:495654253 DOB: [REDACTED] B M

GOODWIN

DAVON

Adm:04/26/11 M:2657049

Patient Name
 MRN

PATIENT IDENTIFICATION LABEL

6. I understand and consent to blood transfusion and / or blood products if needed before, during and after my surgery until discharge. I acknowledge that my doctor's explanation included the reasonable benefit and potential risks that I have been told can occur with transfusion of blood and / or blood products such as hepatitis, AIDS, cytomegalovirus (CMV), hemolytic reactions, and fluid overload. ☒ N/A
7. I consent to the administration of such anesthetics as may be considered necessary or advisable by the Anesthesiologists, Certified Registered Nurse Anesthetists (CRNA), and others assisting with the anesthesia process. Prior to the procedure I will have the opportunity to discuss my plan of anesthesia care in detail with the Anesthesia care provider of such service with the exception of: none
 (State exception or write "none")
8. The nature, proposed and possible consequences of the anesthesia / moderate sedation, possible alternative methods of treatment, the risks involved and the possibility of complications have been fully explained to me. No guarantees or assurances have been made or given by anyone as to the results that may be obtained.

I, THE UNDERSIGNED, HAVE HAD THIS FORM EXPLAINED TO ME AND FULLY UNDERSTAND THE CONTENTS OF THIS AUTHORIZATION.

[Signature]
 Witness

[Signature]
 Patient or authorized person

Witness for telephone consent

Physician obtaining consent

[Signature]

Pager ID 15832

Relationship

mother

Date

4/26/11

Time

2:00

AM/PM (PM)

all_all_consent_surgicalconsent

OTE 700034 Rev. 07/07



CONSENT

Consent for Medical Treatment

Page 1 of 2

Form Origination Date: 8/99
Version: 5

Version Date: 1/11



P:495654253 DOB: [REDACTED] B M
 GOODWIN
 DAVON
 Adm:04/26/11 M: 2657049

PATIENT IDENTIFICATION LABEL

Your Permission is Needed

I (as patient, parent, guardian, spouse, guarantor, or other responsible party) consent to and authorize medical treatment and diagnostic procedures which may be ordered by my doctors and performed by MUSC Medical Center ("The Hospital"). I consent to have blood drawn and to be tested for infectious diseases, including but not limited to syphilis, AIDS, hepatitis and testing for drugs if my doctor orders these tests.

Initial LD**Your Agreement to Pay for Treatment**

I assign and transfer to The Hospital and / or my doctors all rights, and interest in benefits I may have under any insurance policy I may have, including but not limited to hospitalization, medical, third party liability insurance coverage, workers compensation benefits, or benefits paid by Medicare or Medicaid. This assignment is intended to include any interest in benefits that I may have relating to this date of service as well as any prior dates of service. I direct that any insurance company or other party make payment of such benefits to The Hospital or my doctor. I authorize The Hospital and / or my doctor to collect benefits from any responsible third party through whatever means may be deemed necessary, and to endorse benefit checks made payable directly to me.

I understand that by signing below, I promise to pay all Hospital and doctor charges at the standard rates and terms of The Hospital or doctor including all charges not covered by my insurance or any other party. I promise to pay the patient's account at the rates stated in The Hospital's price list (known as the "Charge Master") and / or the doctor's fee schedule in effect on the date the charge is processed for the services provided. I understand that there will be a separate charge for the doctor and other professional services, but understand that The Hospital may bill for some professional fees.

I understand that The Hospital files insurance as a courtesy to me, and agree that I am responsible for payment of my bill, including any charges that are denied by my insurance or any other responsible party. I understand that care that is experimental as determined by my insurance company may not be covered and that I will be responsible for those charges. I agree that if this account is not paid, it may be turned over to a collection agency or attorney, and I must pay the amount due plus all costs of collection, including reasonable attorney's fees.

I understand that if I am unable to pay my bills, I may speak with a Financial Counselor to determine whether I qualify for assistance or for a discount. I may call (843) 792-2311 for information or questions about my hospital bill and (843) 792-6200 for information about my doctor bill.

Initial LD**Release of Information to My Referring Doctor**My referring doctor is (please print full name): None City: _____My Primary Care Physician is (please print full name): None City: _____**Medicare Patient Certification (Medicare patients only)**

I hereby certify that I have provided information about all insurance coverage available to me, including liability or worker's compensation insurance and that the information provided is correct and complete. I hereby authorize The Hospital and / or my doctors to release to the Social Security Administration, its intermediaries, or carriers any information needed for this or a related Medicare claim. I hereby authorize the payment of benefits to The Hospital or my doctors.

Initial LD

all_el_consent_consentfortreat

OTE 700227 Rev. 1/11



CONSENT

Consent for Medical Treatment

Form Origination Date: 6/09
Version: 5

Page 2 of 2

Version Date: 1/11



P:495654253 DOB: [REDACTED] B M

GOODWIN

DAVON

Adm:04/26/11 M: 2657049

PATIENT IDENTIFICATION LABEL

Retention / Disposal and Use of Blood, Body Fluids, or Tissue

I understand and agree that any blood, body fluids or tissues normally removed from my body by MUSC in the course of any diagnostic procedures, surgery, or medical treatment that would otherwise be disposed of may be retained and used for research, including research on the genetic material (DNA) or other information contained in those tissues or specimens. I acknowledge that such research by MUSC may result in new inventions that may have commercial value and I understand that there are no plans to compensate me should this occur, regardless of the value of any such invention. I understand that any research using these leftover specimens or tissues will be done in a way that will not identify me. I also understand that if I do not want research to be done using my leftover blood, body fluids or tissue, I need to check the box shown below. If I have questions, I should call (843) 792-8300.

☒ I **DO NOT** agree to have my blood, body fluids or tissue used for future research studies.

Permission to Contact for Research Studies

I agree to be contacted about future research studies at MUSC for which I may be eligible. I understand that if I do not want to be contacted about future research studies, I need to check the box shown below.

☒ I **DO NOT** agree to be contacted about future research studies

Admission Photographs

I agree that my photograph may be taken for purposes of identifying me, or providing treatment to me. This photograph may become part of my medical record and may be disclosed if copies of my medical record are disclosed.

Initial JS

Responsibility for Personal Items

I understand that The Hospital is not responsible for valuable items which I bring with me. I understand it is my responsibility to send any valuable items (such as medications, money, jewelry, electronics, etc.) home for safe keeping. Any items left at the hospital in excess of 30 days will be disposed of.

Initial JS

I received a copy of the MUSC "Notice of Privacy Practices".

Initial JS

I certify that I have read or have had read to me this consent and agree to its terms. I also certify that I am the patient, or am duly authorized by the patient, or am duly appointed to sign this agreement. I accept and understand its terms.

4/26/11 Bill Am
Date and Time

Signature of Witness

Signature of Patient (Parent or Legal Guardian)
Print Full Name

Signature of Guarantor / Relationship to Patient
Print Full Name Davon Goodwin

Advance Directives information given: ☒ Yes ☐ No (If No, please explain _____)

all_all_consent_consentfortreat

Original to Medical Records

Copy to Patient

OTE 700227 Rev. 1/11



CONSENT

Lewis Blackman
Hospital Patient Safety Act Acknowledgement

Page 1 of 1

Form Origination Date: 10/06
Version: 1

Version Date: 10/06



P:495654253 DOB: [REDACTED] B M

GOODWIN

DAVON

Adm:04/28/11 M: 2657049

PATIENT IDENTIFICATION LABEL

MUSC is a Teaching Hospital

I understand that MUSC is an academic medical center providing healthcare, teaching and research. I also understand that I may be cared for by physicians and other healthcare providers in training. My care will be supervised by an attending physician and staff. The information related to the health care I receive may be used for training or for scientific study purposes. I understand that if information contained in my health care record is used for such purposes, precautions will be taken to carefully preserve my anonymity. I also understand that pictures or other recordings may be made for purposes of my treatment or for educational purposes.

In addition, I understand that if I have questions about my medical care I can speak to my attending physician, nurse, resident, or medical student. In addition, I can contact my attending physician or the House Services Coordinator through the operator at 792-8080 (2-8080 from the bedside phone).

I acknowledge that I have received a copy of the brochure entitled "Medical University of South Carolina, An Academic Medical Center of Excellence" explaining the role of residents and students in my care.

4/26/11 3:02 pm
Date and Time

Signature of Patient (Parent or
Legal Guardian)

Signature of Witness

Signature of Guarantor (if different
from the patient)

blackmanacknowledge

Davon Goodwin

OTE 700226 10/06



DEATHPRGNT

Death Note

Page 1 of 3

Form Origination Date: 10/08
Version: 3

Version Date: 8/10

Patient Name
MRNGoodwin, Devon
2657049
PATIENT IDENTIFICATION LABELALL STARRED (*) ELEMENTS OF THIS FORM MUST BE COMPLETED

*Called to Bedside:

by 10 West Chelsea Nurse
reason: PATIENT UNRESPONSIVE

*Exam (check all that apply):

- ☒ patient unresponsive to verbal or tactile stimuli
☐ no carotid pulse
☐ absence of spontaneous respirations confirmed by inspection and auscultation
☐ absence of heart sounds confirmed by auscultation
☐ Brain death (use Brain Death Evaluation Form - [Link](#))

*Pronouncement of Death: (date / time at which assessment is completed - this is the official time of death)

Date: 5/4/11 Time: 0845 ampm

*Suspected Cause of Death: (Be specific, not generic. For example: myocardial infarction due to coronary atherosclerosis. Not: cardiac arrest)

Pulmonary Embolism

*Notification: (Specify who did notification, person(s) notified, date, and time)

1. Family / Next of Kin (name) Brother
 (relation) _____ notified by: _____
 on (date) _____ at (time) _____
 2. Attending (name & method of notification): Montgomery
 3. MUSC Referring Physician (name & method of notification): _____
 4. Community Referring Physician and PCP (name & method of notification): _____

*Palliative Care:

Was patient on palliative care prior to death? ☐ Yes ☒ No

Organ / Tissue Donation: _____

*Death Summary:

☒ Death Summary dictated by TE Pager ID: 1572 Dictation #: _____
 At time of death: Attending Montgomery Service: TRAUMA SURGERY

Physician Signature
all_all_docu_deathnote

Pager ID 1572Date 5/4/11Time 1834 AM/PM
OTE 700479 Rev. 8/10



DEATHPRGNT

Death Note

Page 2 of 3

Form Origination Date: 10/08
Version: 3

Version Date: 8/10

Patient Name
MRN

PATIENT IDENTIFICATION LABEL

P495654253
Goodwin DAVON
M657049

ALL STARRED (*) ELEMENTS OF THIS FORM MUST BE COMPLETED

Medicolegal Clearance for Disposition of Decedent

Medicolegal Clearance

Do not talk to the family about an autopsy until you have answered the questions below. If any of the circumstances below apply, you must report the death to the coroner in the county where the underlying injury or incident resulting in death occurred. Permission for autopsy can be sought only if:

- none of the circumstances below apply, or
- if the coroner has been notified and has declined to take jurisdiction, or
- if the coroner has undertaken to sign the death certificate but will allow the hospital pathologists to perform the autopsy.

Contact the morgue attendant (792-4470) for the name of the appropriate coroner and if assistance with contact/notification is needed. If the coroner cannot be reached, call the morgue attendant.

*Circumstances of death involved: (check all that apply)

- ☒ Sudden, unexpected, unexplained death
- ☐ Admitted unconscious or death within 24 hours after admission or within 24 hours of an invasive surgical procedure
- ☐ Death related to medical procedure
- ☐ Anesthesia, postanesthesia, in recovery
- ☐ Maternal death during delivery
- ☐ Prisoner, inmate, in custody, or patient in institution
- ☐ Unusual death or suspicion of injury, foul play, violence, or neglect (possible accident, suicide, or homicide)
- ☐ Poison or drug-related death
- ☐ Injury on the job
- ☐ Delayed effect of injury (e.g., pulmonary embolism after hip fracture, post-traumatic seizure, gunshot wound complications)
- ☐ Apparent stillbirth or infant death (gestation $\geq$ 20 weeks) delivering outside of hospital
- ☐ Infant or fetal death due to any of the above, or possible maternal drug abuse or unlawful abortion (excluding legal termination of pregnancy).

*Did death involve any of the above circumstances?

- ☒ No. Physician may request authorization for a medical autopsy and must complete death certificate (next page)
- ☐ Yes. Report to Coroner (see below)

☐ Coroner Notified:

Contact Numbers: Morgue Attendant: 792-4470
Berkeley County Coroner: 719-4566
Coroner Name: _____

Charleston County Coroner: 746-4030
Dorchester County Coroner: 832-0351

Notified by: _____ Date: _____ Time: _____

- ☐ Coroner agreed to the need for autopsy and accepts jurisdiction – coroner completes cause of death on death certificate
- ☐ Coroner did not agree to need for autopsy and declined jurisdiction – physician may request authorization for a medical autopsy AND must complete death certificate (next page)

Physician Signature
all_death_deathnote

Pager ID 15721 Date 5/4/11 Time 1:30 AM/PM

OTE 700479 Rev. 8/18



DEATHPRGNT

Death Note

Page 3 of 3

Form Origination Date: 10/08
Version: 3

Version Date: Rev. 8/10

Patient Name
MRN

PATIENT IDENTIFICATION LABEL

495654253
Goodwin Davon
2637049

ALL STARRED (*) ELEMENTS OF THIS FORM MUST BE COMPLETED**Medical Autopsy** → The physician should request an autopsy based on the College of American Pathologist criteria, which include:

- Inpatient deaths falling under the local / state guidelines for reporting to a medical examiner / coroner jurisdiction that have been reported but subsequently declined for autopsy by that entity.
- Cancer patients in whom there is no prior tissue diagnosis or the source of the primary is unknown.
- Patients dying of internal bleeding - not identified as to source.
- Patients with infections of undetermined type and / or source, including those potentially related to bio-terrorism.
- Transplant patients as well as organ and / or tissue donors.
- Deaths associated with blood component transfusions.
- Patients with a known or suspected therapeutic complication that may have contributed to death (e.g., pharmacotherapy, radiation therapy, chemotherapy, and / or surgical or other invasive procedures).
- Patients who have participated in clinical trials (protocols) approved by institutional review boards.
- Obstetric (maternal and fetal) and pediatric deaths, according to state law.
- Deaths in which there is a known or suspected congenital malformation, genetic disease, syndrome, or undefined metabolic disease.
- Patients with known or suspected environmental or occupational exposures, where the death is believed to be related to that exposure.
- Deaths for which there is no adequate clinical explanation.
- Deaths occurring at any age in which it is believed that an autopsy would disclose a known or suspected illness which may have a bearing on survivors.

Next of Kin: In accordance with SC Code of Laws 44-43-330, there are very specific legal requirements regarding relatives who qualify to give consent for autopsy. The statute requires that the living person with the highest priority rank be the signer(s) of the autopsy consent. This following list is the priority of individuals who may give consent for autopsy and direct disposition of the decedent's remains:

Surviving spouse unless legally divorced, legally separated, or pending a court order for the same. In SC, the partner in common law marriage is considered next of kin. If the deceased was never married, was divorced, or if surviving spouse has been declared incompetent, then,

Adult children (over 21), if none then,

Adult grandchildren (over 21), if none then,

Parents, if none then,

Adult brothers and sisters (over 21), if none then,

All grandparents, if none then,

All adult aunts and uncles, if none then,

All adult cousins, if none then,

All adult stepchildren, if none then,

Relative / Next of kin (in order above) of previously deceased spouse, if none then,

Any relative or friend who assumes custody of the body for burial, if none then,

A person given authority to make health care decisions for the patient by another statutory provision, if none then,

Hospital Administrator, 48 hours after death

Note: If two or more persons assume custody of the body, consent of one of them shall be deemed sufficient, but if this situation occurs, please contact the pathologist before proceeding with autopsy authorization.

***Autopsy:**

☐ Autopsy requested and accepted – authorization required

☐ Autopsy requested but denied

☐ Autopsy not requested, reason: _____

Physician Signature

all_docu_deathnote

Pager ID 15721

Date 5/4/11

Time 1:32 PM

OTE 700479 Rev. 8/10

DATE: 05/09/2011
PAGE: 1 OF 1

MUSC Medical Center
DIAGNOSIS AND PROCEDURE SUMMARY

TIME: 05:53 PM

Patient Name: GOODWIN, DAVON MR #: 002657049 PAT #: 495654253

Sex: M Admitted: 4/26/11
Birthdate: [REDACTED] Discharged: 5/04/11
Age at Admit: 019 LOS: 8 FC: VZ
Attending Phys: 002639 LEON, STUART UB82 Disp: 20

Current DRG: 326 - STOM, ESOPH & DUOD PROC W MCC CODER ID: riveras
APR-DRG 220 APR-SOI 4 APR-ROM 4

Diagnoses M1 M2 Description

	M1	M2	Description
1.	863.99	Y	GI INJURY NEC-OPEN
2.	902.31	Y	INJ SUPERIOR MESENT VEIN
3.	902.10	Y	INJ INFER VENA CAVA NOS
4.	567.9	Y	PERITONITIS NOS
5.	902.25	Y	INJ SUPER MESENTERIC ART
6.	518.82	Y	OTHER PULMONARY INSUFF
7.	263.9	Y	PROTEIN-CAL MALNUTR NOS
8.	285.1	Y	AC POSTHEMORRHAG ANEMIA
9.	286.9	Y	COAGULAT DEFECT NEC/NOS
10.	863.94	Y	PANCREAS INJURY NOS-OPEN
11.	863.31	Y	DUODENUM INJURY-OPEN
12.	E922.9	E	FIREARM ACCIDENT NOS
13.	E849.9	E	ACCIDENT IN PLACE NOS
14.	790.29	Y	OTHER ABNORMAL GLUCOSE
15.	787.01	Y	NAUSEA W VOMITING

Date Proc Description

	Date	Proc	Description
1.	04/26/11	46.71	DUODENAL LACERAT SUTURE
2.	04/26/11	96.71	CONT MECH VENT <96CONS HR
3.	04/26/11	39.31	SUTURE OF ARTERY
4.	04/27/11	52.09	PANCREATOTOMY NEC
5.	04/26/11	96.04	INSERT ENDOTRACHEAL TUBE
6.	04/27/11	54.25	PERITONEAL LAVAGE
7.	04/27/11	43.19	OTHER GASTROSTOMY
8.	04/27/11	96.6	EXTERN INFUS NUTRIT SUB
9.	04/27/11	46.39	ENTEROSTOMY NEC
10.	04/26/11	99.07	SERUM TRANSFUSION NEC
11.	04/26/11	99.04	PACKED CELL TRANSFUSION
12.	04/29/11	97.39	REMOVE HEAD/NECK DEV NEC



Authenticated by
STUART LEON, MD

PHYSICIAN SIGNATURE AND DATE

On 05/11/2011 06:02:15 PM

CANCER STAGING: _____ T _____ N _____ M _____ STAGING

***** MUSC MEDICAL RECORD FACE SHEET *****

Admit Date	4/26/11	Admission Time	03:18
Medical Record #	002657049	Source Code	811
IC FLAGS		Pat Com. #	495654253
Discharge Date	5/4/11	Patient Sex	M
Race	B	Birth Date	
Pat. Age at Admit	019	Marital Status	S
Preadmit/Reg by	cmc21	Adm/Reg by	taylor
Patient Name	*** GOODWIN	DAVON	
Pat. Address 1		Advanced Directive?	N
Pat. Address 2		V DIR REP NEEDED?	N
Patient City			
Pat. State/Zip			
County Code		Vip Code Desc	
Pat. Phone		Pat. SSN	
Fin. Class	ZZ PENDING ME	Refer. Name	
Employer Name		Pat. Emp. Phone	
Pat. Emp. Addr 1			
Pat. Emp. City			
Pat. Emp. State		Religion:	NO RELIGIO
Pat. Emp. ZIP		Room-Bed	10W0 1070 A
Patient Type	I	Attn. Doc Name	LEON, STUART
Medical Serv	TRA	Admit. Doc Name	LEON, STUART
TAR Number		Elig. Det. Date	
EN Last Name	PRINGLE STEP DAD	EN First Name	GAVIN
EN Relationship	G8	EN Home Phone	(843)270-1709
EN Bus. Phone	(843)425-2174	Family Doctor	
Ref. Phys. Name	REFERRAL, SELF	PCP Doc Name	WORSHAM, STEPHEN
Ref. Phys. Phone		PCP Doc Phone	(843)747-4647
Ref. Phys. Addr1		PCP Address 1	CHARLESTOWNE PEDIATR
Ref. Phys. Addr2		PCP Address 2	3800 FABER PLACE
Ref. Phys. Addr3		PCP Address 3	
Ref. Phys. Addr4		PCP Address 4	NORTH CHARLESTON SC
Ref. Facility		E/R Referral	Y
Complaint	GSW RUQ, INTUBATED		
Procedure			
Primary Ins.Co.	CE01	Secondary Ins.Co	ExpAdm12/31/11
Principal Diagnosis		Code Number	
Secondary Diagnosis			
Operation or Special Procedures			
Attending		Date	
Discharge Summary:			
[] Date Dictated	Job #	Beeper #	
OP Note:			
[] Date Dictated	Job #	Beeper #	

***** MUSC MEDICAL RECORD FACE SHEET *****

Admit Date	4/26/11	Admission Time	02:45
Medical Record #	002657049	Source Code	801
IC FLAGS		Pat Com. #	495654253
Discharge Date		Patient Sex	U
Race		Birth Date	
Pat. Age at Admit	111	Marital Status	U
Preadmit/Reg by		Adm/Reg by	taylor
Patient Name	*** TRAUMA	VICTIM PP	
Pat. Address 1		Advanced Directive?	
Pat. Address 2		V DIR REF NEEDED?	
Patient City			
Pat. State/Zip			
County Code		Vip Code Desc	
Pat. Phone		Pat. SSN	
Fin. Class	FX SELF PAY	Refer. Name	
Employer Name		Pat. Emp. Phone	
Pat. Emp. Addr 1			
Pat. Emp. City			
Pat. Emp. State		Religion:	
Pat. Emp. ZIP		Room-Bed	
Patient Type	E	Attn. Doc Name	LEON, STUART
Medical Serv	SUR	Admit. Doc Name	LEON, STUART
TAR Number		Elig. Det. Date	
EN Last Name		EN First Name	
EN Relationship		EN Home Phone	
EN Bus. Phone		Family Doctor	
Ref. Phys. Name	REFERRAL, SELF	PCP Doc Name	PATIENT, NONE PER
Ref. Phys. Phone		PCP Doc Phone	
Ref. Phys. Addr1		PCP Address 1	
Ref. Phys. Addr2		PCP Address 2	
Ref. Phys. Addr3		PCP Address 3	
Ref. Phys. Addr4		PCP Address 4	
Ref. Facility		E/R Referral	
Complaint			
Procedure			
Primary Ins.Co.	FX01	Secondary Ins.Co	
Principal Diagnosis		Code Number	
Secondary Diagnosis			
Operation or Special Procedures			
Attending		Date	
Discharge Summary:			
[] Date Dictated	Job #	Beeper #	
OP Note:			
[] Date Dictated	Job #	Beeper #	
□E□(s10H□&l00□&l0S□&k0G□&l6D			

***** MUSC MEDICAL RECORD FACE SHEET *****

Admit Date	4/26/11	Admission Time	03:18
Medical Record #	002657049	Source Code	811
IC FLAGS		Pat Com. #	495654253
Discharge Date		Patient Sex	U
Race		Birth Date	
Pat. Age at Admit	111	Marital Status	U
Preadmit/Reg by	cmc21	Adm/Reg by	taylor
Patient Name	*** TRAUMA	VICTIM PP	
Pat. Address 1		Advanced Directive? U	
Pat. Address 2		V DIR REP NEEDED? U	
Patient City			
Pat. State/Zip			
County Code		Vip Code Desc	
Pat. Phone		Pat. SSN	
Fin. Class	FX SELF PAY	Refer. Name	
Employer Name		Pat. Emp. Phone	
Pat. Emp. Addr 1			
Pat. Emp. City			
Pat. Emp. State		Religion: UNKNOWN	
Pat. Emp. ZIP		Room-Bed	4STS 478G 03
Patient Type	I	Attn. Doc Name	LEON, STUART
Medical Serv	TRA	Admit. Doc Name	LEON, STUART
TAR Number		Elig. Det. Date	
EN Last Name		EN First Name	
EN Relationship		EN Home Phone	
EN Bus. Phone		Family Doctor	
Ref. Phys. Name	REFERRAL, SELF	PCP Doc Name	PATIENT, NONE PER
Ref. Phys. Phone		PCP Doc Phone	
Ref. Phys. Addr1		PCP Address 1	
Ref. Phys. Addr2		PCP Address 2	
Ref. Phys. Addr3		PCP Address 3	
Ref. Phys. Addr4		PCP Address 4	
Ref. Facility		E/R Referral	
Complaint	GSW RUQ, INTUBATED		
Procedure			
Primary Ins.Co.	FX01	Secondary Ins.Co	ExpAdm12/31/11
Principal Diagnosis		Code Number	
Secondary Diagnosis			
Operation or Special Procedures			
Attending		Date	
Discharge Summary:			
[] Date Dictated	Job #	Beeper #	
OP Note:			
[] Date Dictated	Job #	Beeper #	
□E□(s10H□&100□&10S□&k0G□&l6D			

***** MUSC MEDICAL RECORD FACE SHEET *****

Admit Date	4/26/11	Admission Time	03:18
Medical Record #	002657049	Source Code	811
IC FLAGS		Pat Com. #	495654253
Discharge Date		Patient Sex	M
Race	B	Birth Date	
Pat. Age at Admit	019	Marital Status	S
Preadmit/Reg by	cmc21	Adm/Reg by	taylor
Patient Name	*** GOODWIN	DAVON	
Pat. Address 1		Advanced Directive? U	
Pat. Address 2		V DIR REP NEEDED? U	
Patient City			
Pat. State/Zip			
County Code		Vip Code Desc	
Pat. Phone		Pat. SSN	
Fin. Class	FX SELF PAY	Refer. Name	
Employer Name		Pat. Emp. Phone	
Pat. Emp. Addr 1			
Pat. Emp. City			
Pat. Emp. State		Religion: UNKNOWN	
Pat. Emp. ZIP		Room-Bed	4STS 478G 03
Patient Type	I	Attnd. Doc Name	LEON, STUART
Medical Serv	TRA	Admit. Doc Name	LEON, STUART
TAR Number		Elig. Det. Date	
EN Last Name		EN First Name	
EN Relationship	32	EN Home Phone	(843)303-5853
EN Bus. Phone	(843)853-0731	Family Doctor	
Ref. Phys. Name	REFERRAL, SELF	PCP Doc Name	PATIENT, NONE PER
Ref. Phys. Phone		PCP Doc Phone	
Ref. Phys. Addr1		PCP Address 1	
Ref. Phys. Addr2		PCP Address 2	
Ref. Phys. Addr3		PCP Address 3	
Ref. Phys. Addr4		PCP Address 4	
Ref. Facility		E/R Referral	
Complaint	GSW RUQ, INTUBATED		
Procedure			
Primary Ins.Co.	FX01	Secondary Ins.Co	ExpAdm12/31/11
Principal Diagnosis		Code Number	
Secondary Diagnosis			
Operation or Special Procedures			
Attending		Date	
Discharge Summary:			
[] Date Dictated		Job #	Beeper #
OP Note:			
[] Date Dictated		Job #	Beeper #
□E□(s10H□&l00□&l0S□&k0G□&l6D			

b207
HAP-FA



"MORSER"




MUSC Health

MEDICAL UNIVERSITY HOSPITAL
REPORT OF DEATH

Page 1 of 1

Form Origination Date: 01/97
Version: 4

Version Date: 1/11



P:495654253008
GOODWIN
DAVON

Adm:04/26/11 M:2657049

A. BURIAL RESPONSIBILITY

☐ Next of kin or ☐ Person assuming responsibility for burial: Gavin Pringle
Relationship G-8 Stepfather Phone [REDACTED]
Address [REDACTED]

B. RELEASE OF BODY Medical University Hospital is hereby requested and authorized to release the body to:

SMITH City Chas State 7223676
(Mortician or person acting as such)
Witness per note Signature of person granting permission for release
Time [REDACTED] Date [REDACTED]

C. MORTUARY SERVICE

Time of Death 0845 Date 04 May 11 Location of Death F 10 W
Time Notified 1315 Date 04 May 11 Arrived 1330 Date 04 May 11
Time 1900 Date 5/5/11
1. Received the above body [Signature] Mortician Signature NONE Time [REDACTED] Date [REDACTED]
2. Received death certificate [Signature] Signature [REDACTED] Date [REDACTED]
3. Received personal effects [Signature] Signature [REDACTED] Date [REDACTED]
4. Remains disposed of by Medical University Hospital [Signature] Signature of Hospital Representative [REDACTED] Date [REDACTED]

D. NOTIFICATIONS

1. Coroner: County Chas at [REDACTED] date [REDACTED] by Kelly to JF
2. Donor Network Agency at [REDACTED] date [REDACTED] by UNIT to GFPMT (R)
3. Pathology at [REDACTED] date [REDACTED] by [REDACTED] to [REDACTED]
4. Funeral Home SMITH at [REDACTED] date [REDACTED] by [REDACTED] to [REDACTED]
5. Burial Transit Permit Number Issued [REDACTED]
6. Medical Records to [REDACTED] at [REDACTED] date [REDACTED] by [REDACTED] Rec'd by [REDACTED]

E. DECEDENT AFFAIRS

Met with family ☐ Yes ☐ No, why Not here Remains ready at [REDACTED]
Telephone conference ☐ Yes ☐ No Time transported [REDACTED]
Discussed options (e.g., burial, cremation) ☐ Yes ☐ No
Provided list of local options (e.g., funeral homes) ☐ Yes ☐ No
Did family have questions ☐ Yes, specify [REDACTED] ☐ No
Notes / Comments GSW - Add

Mortuary Attendant Signature [Signature] Date / Time 04 May 11 1500

White - Medical Records deathreport Canary - Pathology Pink - Office Goldenrod - Mortician OTC 700156 Rev. 1/11

MUSC Medical Center

DEATH SUMMARY

Patient Name: GOODWIN, DAVON

MRN: 002657049

Patcom: 495654253

Admitted: 04/26/2011

Expired: 05/04/2011

Service: Trauma Surgery

Attending: Stuart M. Leon, MD

Referring: -

Primary Care Physician: -

TIME OF DEATH: 8:45 a.m. on 05/04/2011.

HISTORY OF PRESENT ILLNESS: The patient is a 19-year-old gentleman who sustained a gunshot wound to the left upper quadrant on 04/26/2011. He was brought to MUSC for further trauma evaluation. He had episodic hypotension en route. On initial arrival, he was awake, alert, oriented, complaining of abdominal pain and coughing up bright red blood. He was urgently intubated and rapidly taken to the operating room for his penetrating abdominal ().

Complete past medical history, past surgical history, allergies, medications, social/family history, and review of systems could not be obtained due to his urgent need for evaluation in the operating room.

HOSPITAL COURSE: On 04/26/2011, the patient was taken to the operating room for an exploratory laparotomy. The exploration revealed an injury to his inferior vena cava as well as the third and fourth portions of his duodenum as well as the superior mesenteric vein and artery. All of these injuries were repaired. The patient was transferred to the intensive care unit postoperatively. Immediately postoperatively, the patient was continued on resuscitation and given blood products. On postoperative day 1, from an initial exploratory laparotomy he was taken back to the operating room for an abdominal washout, Stamm gastrostomy, feeding jejunostomy, and a tube duodenostomy with 2 JP drains and abdominal closure. The patient tolerated this procedure well and was transferred back to the intensive care unit postoperatively. Again, he was further resuscitated with additional blood products. He was weaned off his propofol and fentanyl drips and extubated. On 04/29/2011, the patient was adequate on the floor and transferred accordingly. Over the proceeding days, patient's tube feeds were advanced to goal. Patient made strides with physical therapy and was ambulating in the halls. The patient was on appropriate prophylaxis Lovenox 30 mg b.i.d. as well as mechanical DVT prophylaxis with SCDs. On the morning of 05/04/2011, the surgical team was urgently consulted secondary to the patient being unresponsive. On immediate evaluation, the patient coded. Appropriate ACLS protocol was followed for pulseless electrical activity. The patient failed to regain cardiopulmonary function and was pronounced dead on 05/04/2011 at 8:45 a.m.

Dictated by: Brent Michael Jewett, MD

Brent Michael Jewett, MD

Stuart M. Leon, MD
Attending

804693 / 43010 /
JOB: 6041596
DD: 05/04/11 01:46 PM
DT: 05/04/11 09:38 PM

Additional CC: _____
Authenticated by STUART LEON, MD On 05/05/2011 07:00:17 AM

MUSC Medical Center
 HOSPITAL TRANSFER SUMMARY
 Patient Name: GOODWIN, DAVON
 MRN: 002657049
 Patcom: 495654253
 Admitted: 04/26/2011
 Discharged: 04/29/2011
 Service:
 Attending: Stuart M. Leon, MD

Referring: -

Primary Care Physician: - (843)745-0969

HISTORY OF PRESENT ILLNESS: This is a 19-year-old male who sustained a gunshot wound to his left upper abdomen and was brought to MUSC for trauma evaluation. The patient had episodes of hypotension en route from outside hospital. When he arrived, he was awake and alert, complaining of significant abdominal pain and was either spitting up or coughing up bright-red blood. For this reason, the patient was emergently intubated in the trauma bay. He has no.

PAST MEDICAL/SURGICAL HISTORY: He has no past medical history or past surgical history.

ICU COURSE: After the patient arrived in the trauma bay he was emergently taken to the OR for a damage control laparotomy. Repair of tangential wounds to the superior mesenteric artery and superior mesenteric vein, and repair of a injury to the duodenum at the junction between the 3rd and 4th portions, repair of an inferior vena cava injury, and placement of a wound VAC for abdominal closure. Please see separate operative note for details.

Immediately postoperatively, the patient was transferred to the ST-ICU for continued resuscitation. He was given 4 units of PRBCs and 4 units of FFP. His coagulopathy corrected quickly and his hemoglobin came up appropriately. On postop day 1, he was taken back to the OR for reexploration and irrigation and washout of his abdomen. A Stamm gastrostomy, feeding jejunostomy, a tube duodenostomy, placement of 2 JP drains, and abdominal closure. Please see separate operative note for details.

Immediately postoperatively, the patient was transferred back to the PACU where he remained hemodynamically stable on 04/28. His hemoglobin dropped to 6.5, and he was transfused 1 unit of packed red blood cells. He was weaned from the propofol and fentanyl drips and was extubated. He has had no respiratory issues since then. He has had adequate oxygen saturation on 2 L of nasal cannula.

On 04/29, he was started on some oxycodone through his J-tube for pain control and he was started on tube feeds of Promote at 90, which he has been tolerating well. His hemoglobin was 5.7, and he was transfuse 2 units of packed red blood cells. His hemoglobin came up appropriately to 7.5. On additional recheck his hemoglobin was 7.6 several hours later and the decision was made that he was ready for transfer to the floor. At this time his GCS was 15. He is up and out of bed-to-chair and PT has been consulted to work with ambulation. He was started on Lovenox for DVT prophylaxis. He continued to be on Pepcid for GI prophylaxis and he is on an insulin drip for his hypoglycemia. His abdominal incision is closed and the skin is closed

with staples and is clean, dry, and intact. Both JPs are putting out serosanguineous fluid. His J-tube site is clean and without evidence of infection. He has been tolerating tube feeds through it without any difficulty. Hence, a red rubber catheter was placed in the duodenum to suction and his Stamm gastrostomy tube is to gravity.

Dictated by: Ashley Kaiser Rickey, MD

Ashley Kaiser Rickey, MD

Stuart M. Leon, MD
Attending

770527 / 44076 /
JOB: 6040884
DD: 04/29/11 07:44 PM
DT: 04/30/11 08:25 AM

Additional CC: _____
Authenticated and Edited by ASHLEY K. RICKEY, MD On 5/01/11 11:13:01 AM
Authenticated by STUART LEON, MD On 05/02/2011 07:02:37 AM




Page 1 of 4

Daron Goodwin Version Date: 9/09

Date 4-26-11	[Redacted]	Sex M	Age 13	WL
Name unknown	[Redacted]	MRSA ☒	Unknown	
Current Medications unknown		Past Medical Hx Unknown Cardiac None Renal Pulmonary Diabetes Liver Psychiatric Immunocompromised Other:		
Special Needs (None noted)		Hearing Impaired Sight Impaired Other		
Injury Location Street Work Farm Residence School Other:		Safety Equipment None Unknown Seatbelt Helmet Carseat Infant Child Booster Airbag Present Deployed / Not Deployed Other:		
Mechanism of Injury Unknown Auto Motorcycle Bicycle ATV 4 Wheeler vs est mph Rollover Ejected Driver Passenger Front Back Assault Gunshot wound Stabbing Crush Amputation Snake Bite Fall ft: _____ Pedestrian vs _____ Burn Fire Chemical Inhalation Scald Electrical Contact Motor Vehicle Related Near Drowning Other:		Approx Time of Injury Transfer Yes No N/A If yes, from > 6 hrs in initial hospital Yes No Self inflicted Yes No Unknown LOC Yes, time No Unknown Cardiac arrest PTA Yes No Original EMS report Yes No In Place Prior to Arrival Cervical collar Backboard Limb restraints Splints NG tube size _____ Traction O ₂ NC _____ L/min Mask 10 L/min Ambu _____ L/min ETT size _____ IV x 1 Rapid Sequence Induction in field Yes No Monitor _____ Foley size _____ Other:		
Glasgow Coma Scale				
Infant < 2 Years Old		Pediatric / Adult		
Time		Time		
Eye open to		Eye open to		
Spontaneous	4	Spontaneous		4
Speech	3	Speech		3
Pain	2	Pain		2
Remained closed	1	Remained closed		1
Best Verbal		Best Verbal		
Cooes babbles	5	Oriented		5
Irritable	4	Confused		4
Cries to pain	3	Words		3
Moans to pain	2	Sounds		2
No response	1	No response		1
Best Motor		Best Motor		
Spontaneous movements	6	Obeys commands		6
Withdraws to touch	5	Localizes pain		5
Withdraws to pain	4	Withdraws to pain		4
Abnormal flexion	3	Abnormal flexion		3
Abnormal extension	2	Abnormal extension		2
No response	1	No response		1
Total		Total		15

traumaflowsheet



PATIENT IDENTIFICATION LABEL

[illegible]

UP 500007 Rev. 9/09



"FLOWSHEET"
Trauma Resuscitation Flowsheet

Form Origination Date: 7/01
Version: 3

Version Date: 8/09



P495654253 008 U
TRAUMA
VICTIM PP
EAdm:12/31/11 M2857049

Patient Name
MRN

PATIENT IDENTIFICATION LABEL




FIGURE LEGEND & ABBREVIATIONS

A = Abrasion
Amp = Amputation
AV = Avulsion
B = Burn (shade area)
BSA%
C = Contusion
D = Deformity
E = Ecchymosis
L = Laceration
Fx = Fracture
P = Pain
PCI = Puncture wound
PW = Penetrating wound
Sw = Swelling



Airway ☒ Patent ☐ Obstructed Oxygen <u>10</u> U/min ☒ NRB ☐ NC ☐ Oral / Nasal airway ☐ Intubated Time _____ by _____ size _____ _____ cm @ teeth ☐ BS equal after intubation ☐ Easy cap + placement Vent <u>FI02</u> TV _____ PEEP _____ Rate _____	Breath sounds Clear ☒ ☒ Diminished ☐ ☐ Wheezes ☐ ☐ Rales / Rhonchi ☐ ☐ Respiratory Effort ☒ Normal ☐ Labored ☐ Absent ☐ Assisted Other _____	Chest R L ↓ Chest expansion ☐ ☐ Penetrating trauma ☐ ☐ Flail segment ☐ ☐ SubQ air / Crepitus ☐ ☐ Equal expansion ☐ ☐ Chest tube R Size _____ by _____ time _____ L Size _____ by _____ time _____ Other _____	Circulation R L Cap refill ☐ < 2 sec ☐ > 2 sec Pulse _____ Carotid _____ Radial _____ Femoral <u>2A</u> <u>2A</u> Dorsalis Pedis _____ Skin Warm ☐ Cool ☐ Dry ☐ Moist ☐ Pressure sores present PTA ☐ Yes ☐ No Other _____

Head Raccoon's eyes ☐ Battle sign ☐ Ear R L Clear ☒ ☒ CSF ☐ ☐ Blood ☐ ☐ Teeth <u>Normal</u> Loose site _____ Missing site _____	Neuro LOC ☐ ☒ Duration _____ Pupil size _____ Brisk _____ Sluggish _____ Non-reactive _____ Pediatrics Crying Yes ☐ No ☐ Consolable Yes ☐ No ☐ By _____	Behavior Cooperative ☒ Uncooperative ☐ Unresponsive ☐ Chemically paralyzed ☐ Combative ☐ Restraints applied ☐ Type _____ Time _____ Reason _____	Extremities <u>Moves all extremities</u> Altered movement / sensation RA LA RL LL Splinted ☐ ☐ ☐ ☐ No movement ☐ ☐ ☐ ☐ ↓ Movement ☐ ☐ ☐ ☐ No sensation ☐ ☐ ☐ ☐ ↓ Sensation ☐ ☐ ☐ ☐	Pelvis / Abd Pelvis stable ☒ yes ☐ no Palpation Abd _____ Soft ☒ Distended ☐ Firm / hard ☐ Guarding ☐ Tender ☐ Non tender ☒ Emesis ☐ yes ☒ no NG ☐ size _____ OG ☐ time _____ by _____ Other _____	GU / Rectal Rectal tone _____ Present ☐ Diminished ☐ Absent ☐ Genitalia Blood at meatus ☐ Vaginal bleeding ☐ Priapism ☐ Foley size _____ time _____ by _____ Other _____

(Time) Vascular Access PTA Size <u>18</u> Site <u>RA</u> Init <u>EM</u> Size <u>18</u> Site <u>RA</u> Init <u>SL</u> Size _____ Site _____ Init _____ Size _____ Site _____ Init _____ Size _____ Site _____ Init _____ Central line size _____ Site _____ By _____ MD Central line size _____ Site _____ By _____ MD Arterial line size _____ Site _____ By _____ MD	(Time) Lab Trauma protocol _____ Pediatric protocol _____ OB protocol _____ Type & Screen <u>6</u> units Type & Cross <u>6</u> units ABG _____ Major bleed protocol _____ Other _____ Other _____ Other _____	(Time) X-Rays / Procedures Chest _____ Pelvis _____ Other x-rays _____ Other x-rays _____ CT head / C-spine / Chest / Abd / Pelvis _____ Other CT _____ Warm blankets _____ Warm fluids _____ Ariatogram _____ Fast scan U / S abdomen +/- _____ MD EKG _____ C-Spine collar removed by: _____ MD C-collar applied / changed _____ Log rolled / spine board removed _____ Laceration Repair _____ site _____

UP 500007 Rev. 9/09



FLOWSHEET

Trauma Resuscitation Flowsheet

Form Origination Date: 7/01
Version: 3

Version Date: 8/09



P495654253 DOB: [REDACTED]
TRAUMA
VICTIM PP
EAdm:12/31/11 M2657049

Patient Name
MRN

PATIENT IDENTIFICATION LABEL

[illegible]

traumaflo worksheet

UP 500007 Rev. 8/08



'FLOWSHEET'
Trauma Resuscitation Flowsheet


Form Origination Date: 7/01
Version: 3

Version Date: 9/09

Patient Name _____
MRN _____

PATIENT IDENTIFICATION LABEL

P495654253 DOB: _____ U
TRAUMA
VICTIM PP
EAdm:12/31/11 M2657049



APP = Assume Pain Present
From Hackenberg MJ, Wilson D, Winkelschtein ML: *Wondra Essentials of Pediatric Nursing*, ed. 7, St. Louis, 2005.

RICHMOND AGITATION SEDATION SCALE (RASS)

+4 Combative	-1 Drowsy
+3 Very agitated	-2 Light sedation
+2 Agitated	-3 Moderate sedation
+1 Restless	-4 Deep sedation
0 Alert & Calm	-5 Unarousable

FLACC Behavioral Pain Scale (1 month to 3 years of age)			
Categories	Scoring		
	0	1	2
Face	No particular expression or smile	Occasional grimace or frown, withdrawn, disinterested	Frequent to constant quivering chin, clenched jaw
Legs	Normal position or relaxed	Uneasy, restless, tense	Kicking or legs drawn up
Activity	Lying quietly, normal position, moves easily	Squirming, shifting back and forth, tense	Arched, rigid or jerking
Cry	No cry (awake or asleep)	Moans or whimpers; occasional complaint	Crying steadily, screams, or sobs, frequent complaints
Consolability	Content, relaxed	Reassured by occasional touching or being talked to distractable	Difficult to console or comfort

0 = Relaxed and comfortable 1-3 = Mild discomfort 4-6 = Moderate pain 7-10 = Severe discomfort / pain

NURSES NOTES: 0248 PT. via EMS to GS Winkelschtein to LUC and bleed control & resuscitation. Rtg. P495654253 LOC VS. Responder non-responded, right SOB, secretion, suctioned. 0257 PT. was intubated. P495654253 SOB, pt. intubated 7.5 EtH 2300. 0300 PT. to OR via stretcher, intubated, RT @ PT. on full monitor VSS. SIF

FAMILY
☐ NOTIFIED TIME _____ NAME _____
RELATIONSHIP TO PT _____
PHONE # _____
☐ EN-ROUTE ☐ UNABLE TO CONTACT ☐ CHILD LIFE PRESENT
VALUABLES
☒ PERSONAL EFFECTS INVENTORY DONE
☐ TO PATIENT ☐ TO FAMILY ☐ OTHER _____
☐ TO SAFE ☐ RECEIPT ON CHART, ENVELOPE # _____
☐ POLICE _____ BADGE # _____
PRIMARY RN SIGNATURE _____ INIT _____
SECONDARY RN SIGNATURE _____ INIT _____
RN SIGNATURE _____ INIT _____
RN SIGNATURE Stouffer INIT St
ORDERING MD _____
ORDERING TRAUMA / EMERGENCY ATTENDING _____

@ DISPOSITION DATE 4/24/11 TIME 0300 BCS 3T VS Stable
ADMITTED RM # _____ REPORT GIVEN TO _____ RN
SERVICE / ATTENDING Trauma/Leon
☐ DCD TO HOME ☐ TRANSFERRED TO _____
☐ EXPIRED DATE _____ TIME _____
PRONOUNCED BY _____ MD
TIME TRANSPORTED TO MORGUE _____
LIFEPOINT NOTIFIED _____ CORONER NOTIFIED _____
LEFT VIA
☐ AMBULATORY ☐ WHEELCHAIR ☒ STRETCHER ☐ CARRIED
☐ POV AMBULANCE / EMS TRANSPORT COMPANY _____
TRANSPORTED WITH
☐ ID BAND ☒ SR ↑ X 2 ☐ CARDIAC MONITOR ☐ PULSE OX
☐ C-COLLAR INTACT ☐ O₂ _____ /MIN ☐ IV X 2

traumafloWSheet

Ordering MD Date 4/26/11 Time 0250 Pager # 19335
Trauma MD Date _____ Time _____ Pager # _____

UP 500007 Rev. 9/09

Patient: GOODWIN, DAYON A

MRN: 725008

Encounter: 495654253

Page 4 of 8



FLOWSHEET

Trauma Resuscitation Flowsheet

Form Origination Date: 7/01
Version: 3

Version Date: 9/09

Date	4-26-11	Bir		Sex	M	Race	B	Wt	
Name	unknown			Allergies	☐ NKDA ☒ Unknown				
Current Medications	unknown			Past Medical Hx Unknown Cardiac None Renal Pulmonary Diabetes Liver Psychiatric Immunocompromised Other					
	LMP	Last TD							
Special Needs	(None noted)			Hearing Impaired	Sight Impaired				
Language				Other					
Injury Location	Street Work Farm Residence School Other			Safety Equipment None (Unknown) Seatbelt Helmet Carseat Infant Child Booster Airbag Present Deployed / Not Deployed Other					
Mechanism of Injury	Unknown Auto Motorcycle Bicycle ATV 4 Wheeler ve est mph Rollover Ejected Driver Passenger Front Back Assault (Gunshot wound) Stabbing Crush Amputation Snake Bite Fall ft. Pedestrian vs Burn Fire Chemical Inhalation Seald Electrical Contact Motor Water Related Near Drowning Other			Approx Time of Injury Transfer Yes No N/A If yes, from > 6 hrs in initial hospital Yes No Self inflicted Yes No Unknown LOC Yes, time No Unknown Cardiac arrest PTA Yes No Original EMS report Yes No					
				In Place Prior to Arrival (Cervical collar) (Backboard) Limb restraints Splints Traction NG tube size OG tube size O ₂ NC L/min Mask 10 L/min Ambu L/min ETT size IV x 1 Rapid Sequence Induction in field Yes No Monitor Foley size Other					
Glasgow Coma Scale									
Infant < 2 Years Old				Pediatric / Adult					
Time				Time					
Eyes open to				Eyes open to					
Spontaneous	4			Spontaneous			(4)		
Speech	3			Speech			3		
Pain	2			Pain			2		
Remained closed	1			Remained closed			1		
Best Verbal				Best Verbal					
Cooes babbles	5			Oriented			(5)		
Irritable	4			Confused			4		
Cries to pain	3			Words			3		
Moans to pain	2			Sounds			2		
No response	1			No response			1		
Best Motor				Best Motor					
Spontaneous movements	6			Obeys commands			(6)		
Withdraws to touch	5			Localizes pain			5		
Withdraws to pain	4			Withdraws to pain			4		
Abnormal flexion	3			Abnormal flexion			3		
Abnormal extension	2			Abnormal extension			2		
No response	1			No response			1		
Total				Total	11				

traumaflo.wsheet



P495654253 DOB: [REDACTED]
TRAUMA
VICTIM PP
EAdm:12/31/11 M2657049

Patient Name
MRN

PATIENT IDENTIFICATION LABEL

[illegible]

UP 500007 Rev. 9/09



FLOWSHEET
Trauma Resuscitation Flowsheet
 Page 2 of 4

Form Origination Date: 7/01
 Version: 3

Version Date: 9/09

Patient Name
 MRN

P495654253 DOB: [REDACTED] U
TRAUMA
VICTIM PP
 EAdm:12/31/11 M2657049

PATIENT IDENTIFICATION LABEL




FIGURE LEGEND & ABBREVIATIONS

A = Abrasion C = Contusion P = Pain
 Amp = Amputation D = Deformity PCt = Puncture wound
 AV = Avulsion E = Ecchymosis PW = Penetrating wound
 B = Bruise (shade area) L = Laceration Sw = Swelling
 BSA% _____ Fx = Fracture



Airway ☒ Patent ☐ Obstructed Oxygen <u>10</u> L/min ☒ MRB ☐ NC ☐ Oral / Nasal airway ☐ Intubated Time _____ by _____ size _____ _____ cm @ teeth ☐ BS equal after intubation ☐ Easy cap + placement Vent FIO ₂ _____ TV _____ PEEP _____ Rate _____	Breath sounds Clear ☒ R ☒ L Diminished ☐ ☐ Wheezes ☐ ☐ Rales / Rhonchi ☐ ☐ Respiratory Effort ☒ Normal ☐ Labored ☐ Absent ☐ Assisted Other _____	Chest ↓ Chest expansion ☐ R ☐ L Penetrating trauma ☐ ☐ Flail segment ☐ ☐ SubQ air / Crepitus ☐ ☐ Equal expansion ☐ ☐ Chest tube R Size _____ by _____ time _____ L Size _____ by _____ time _____ Other _____	Circulation Rhythm _____ Cap refill ☐ < 2 sec ☐ > 2 sec Pulse _____ R _____ L _____ Carotid _____ Radial _____ Femoral <u>2+</u> <u>2+</u> Dorsalis Pedis _____ Skin Warm ☐ Cool ☐ Dry ☐ Moist ☐ Pressure sores present PTA ☐ Yes ☐ No Other _____

Head Raccoon's eyes ☐ Battle sign ☐ Ear R ☒ L ☒ Clear ☒ ☒ CSF ☐ ☐ Blood ☐ ☐ Teeth <u>Normal</u> Loose site _____ Missing site _____	Neuro LOC ☐ + ☒ Duration _____ Pupil size _____ R _____ L _____ Brisk _____ Sluggish _____ Non-reactive _____ Pediatrics Crying Yes ☐ No ☐ Consolable Yes ☐ No ☐ By _____	Behavior Cooperative ☒ Uncooperative ☐ Unresponsive ☐ Chemically paralyzed ☐ Combative ☐ Restraints applied ☐ Type _____ Time _____ Reason _____ By _____	Extremities Moves all extremities ☒ Altered movement / sensation Spinted ☐ RA ☐ LA ☐ RL ☐ LL No movement ☐ ☐ ☐ ☐ ↓ Movement ☐ ☐ ☐ ☐ No sensation ☐ ☐ ☐ ☐ ↓ Sensation ☐ ☐ ☐ ☐	Pelvis / Abd Pelvis stable ☒ yes ☐ no Palpation Abd ☒ Soft ☐ Distended ☐ Firm / hard ☐ Guarding ☐ Tender ☐ Non tender ☐ Emesis ☐ yes ☒ no NG ☐ size _____ OG ☐ time _____ by _____ Other _____	GU / Rectal Rectal tone ☐ Present ☐ Diminished ☐ Absent ☐ Genitalia Blood at meatus ☐ Vaginal bleeding ☐ Priapism ☐ Foley size _____ time _____ by _____ Other _____

(Time) Vascular Access PTA Size <u>18</u> Site <u>RA</u> Init <u>EM</u> 0256 Size <u>18</u> Site <u>RA</u> Init <u>SL</u> _____ Size _____ Site _____ Init _____ _____ Size _____ Site _____ Init _____ _____ Size _____ Site _____ Init _____ Central line size _____ Site _____ By _____ MD Central line size _____ Site _____ By _____ MD Arterial line size _____ Site _____ By _____ MD	(Time) Lab Trauma protocol <u>0300</u> Pediatric protocol _____ OB protocol _____ Type & Screen <u>6</u> units ABG _____ Major bleed protocol _____ Other _____ Other _____ Other _____ Other _____	(Time) X-Rays / Procedures Chest <u>0300</u> Pelvis _____ Other x-rays _____ Other x-rays _____ CT head / C-spine / Chest / Abd / Pelvis _____ Other CT _____ Warm blankets Bair hugger _____ Warm fluids Level I _____ Arteriogram _____ Fast scan U / S abdomen +/- _____ MD EKG _____ C-spine collar removed by: _____ MD C-collar applied / changed _____ Log rolled / spine board removed _____ Laceration Repair _____ site _____

traumafloWSheet

UP 600007 Rev. 9/09



FLOWSHEET
Trauma Resuscitation Flowsheet

Page 3 of 4

Form Origination Date: 7/01
Version: 3

Version Date: 9/09



P495654253 DOB: [REDACTED] U

14556542
TRAUMA

VICTIM PP

FAAdm:12/31/11 M2657049

Patient Name
MRN

PATIENT IDENTIFICATION LABEL

[illegible]

INTAKE					
TIME	PO	IV	BLOOD	OG/NG	OTHER
PTA		100cc			
ED		200 cc			
TOTALS		300 cc			

OUTPUT						
TIME	URINE	BLOOD	OG / NG	EMESIS	CHEST TUBES	OTHER
PTA						
ED						
TOTALS						

[illegible][illegible]

TIME	MEDICATION/DOSE	ROUTE	SITE	INITIALS
0251	Chlorzate	PO	IV	ST
0258	Sibingdome	PO	IV	ST

[illegible]

UP 500007 Rev. 9/09



TRAUMA RESUSCITATION FLOWSHEET

Page 4 of 4

Form Origination Date: 7/01

Version: 3

Version Date: 8/09



P496654253 DOB: [REDACTED] U
 TRAUMA
 VICTIM PP
 EAdm:12/31/11 M2657049

Patient Name
 MRN

PATIENT IDENTIFICATION LABEL



APP = Assume Pain Present
 From Hockenberry MJ, Wilson D, Winkelstein ML: *Wong's Essentials of Pediatric Nursing*, ed. 7, St. Louis, 2005.

RICHMOND AGITATION SEDATION SCALE (RASS)

+4- Combative	-1 Drowsy
+3 Very agitated	-2 Light sedation
+2 Agitated	-3 Moderate sedation
+1 Restless	-4 Deep sedation
0 Alert & Calm	-5 Unarousable

FLACC Behavioral Pain Scale (1 month to 3 years of age)

Categories	Scoring		
	0	1	2
Face	No particular expression or smile	Occasional grimace or frown, withdrawn, disinterested	Frequent to constant quivering chin, clenched jaw
Legs	Normal position or relaxed	Uneasy, restless, tense	Kicking or legs drawn up
Activity	Lying quietly, normal position, moves easily	Squirming, shifting back and forth, tense	Arched, rigid or jerking
Cry	No cry (awake or asleep)	Moans or whimpers; occasional complaint	Crying steadily, screams, or sobs, frequent complaints
Consolability	Content, relaxed	Reassured by occasional touching or being talked to distractible	Difficult to console or comfort

0 = Relaxed and comfortable 1-3 = Mild discomfort 4-6 = Moderate pain 7-10 = Severe discomfort / pain

NURSES NOTES: 0248 PT. W/ENVS TIP 6X UNKNOWN CALIBER TO LUG abd, bloody contused & lacerated dsg. PABx 9 @ LOC VS Penetrating wound lacerated, visible SOB, secretion suctioned orally. 0257 RS lines initiated. PAIN mgmt. 0258 PT. intubated 7.5 EXT 23 @ 0500 PT. to OR via stretcher, intubated, RT @ B1, on full monitor VSS. SAT

FAMILY ☐ NOTIFIED TIME -- NAME RELATIONSHIP TO PT PHONE # ☐ EN-ROUTE ☐ UNABLE TO CONTACT ☐ CHILD LIFE PRESENT VALUABLES ☐ PERSONAL EFFECTS INVENTORY DONE ☐ TO PATIENT ☐ TO FAMILY ☐ OTHER ☐ TO SAFE ☐ RECEIPT ON CHART, ENVELOPE # ☐ POLICE BADGE # PRIMARY RN SIGNATURE INIT SECONDARY RN SIGNATURE INIT RN SIGNATURE INIT RN SIGNATURE INIT ORDERING MD ORDERING TRAUMA / EMERGENCY ATTENDING		@ DISPOSITION DATE 4/26/11 TIME 0308CS 3T VS STALK ADMITTED RM # REPORT GIVEN TO RN SERVICE / ATTENDING Trauma 8X, Leon ☐ DC'D TO HOME ☐ TRANSFERRED TO ☐ EXPIRED DATE TIME PRONOUNCED BY MD TIME TRANSPORTED TO MORGUE LIFEPOINT NOTIFIED CORONER NOTIFIED LEFT VIA ☐ AMBULATORY ☐ WHEELCHAIR ☒ STRETCHER ☐ CARRIED ☐ POV AMBULANCE / EMS TRANSPORT COMPANY TRANSPORTED WITH ☒ ID BAND ☒ SR X 2 ☒ CARDIAC MONITOR ☒ PULSE OX ☒ C-COLLAR INTACT ☐ O ₂ L/MIN ☒ IVX 2 0250 17339 UP 500007 Rev. 8/09	

Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 8 of 8



ASCREENCRIT
Personal Belongings Inventory
Emergency Department
Page 1 of 1

Form Origination Date: 11/96
Version: 3

Version Date: 6/07



P495654253 DOB: [REDACTED] U
TRAUMA
VICTIM PP
EAdm: 12/31/11 M2657049

Patient Name _____
MRN _____

PATIENT IDENTIFICATION LABEL

QTY	Item/Description	Patient	Family	Friend	ED	Safe
	Hat					
	Shirt					
	Bra					
	Dress					
	Skirt					
1	Shorts blk (white) gray camo flange					
	Pants					
1	Underwear blk					
1	Belt gray					
2	Socks white					
2	Shoes blk nike					
	Pajamas					
	Coat / Jacket / Sweater					
	Purse/Wallet					
	Checkbook					
	Credit Cards					
	ID / Insurance Card					
	Keys					
	Glasses / Sunglasses					
1	Cellphone / Pager					
	Dentures					
	Hearing Aid					
	Prosthetics					
	Cane / Walker / Wheelchair					
	Rings					
	Watch					
	Bracelet					
	Necklace					
	Earrings					

Money Total:					
Coins Amount =	\$1 x =	\$5 x =	\$10 x =		
\$20 x =	\$50 x =	\$100 x =			

Medical University Hospital is not responsible for personal property retained by patient or brought to Medical University Hospital after this inventory was performed.

ADMISSION SIGNATURES	Date	Time
Patient Signature:		
Family/Friend Signature:		
Staff Signature:		
DISCHARGE SIGNATURES		
Patient Signature:		
Family/Friend Signature:		
Staff Signature:		

Original to Medical Record

Copy to Patient/Family

edpersonalinventory

OTC 700221 Rev. 6/07

Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 1 of 1

Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 1 of 7

MUSC69 OF 519

GOODWIN, DAVON

Opt Out:

MUSC - Live

AdmHx mod/inact Hx

From: 04/26/2011 02:55

To: 05/04/2011 16:06

Rm-Bed:

Admit Dt: 04/26/2011 03:18

Age: 19 yr

Gender: M MD: Leon, Stuart M.

DOB: [REDACTED]

Acct: 495654253

MRN: 002657049

Requested: 05/05/2011 03:06

Page 1 of 7

Allergy History

Seq	Allergen	Confirmed Date/Time	CD	Drug	Onset Date	Primary Reaction	Other Reaction	Severity	Comment	Reporting Reason	Reported By	Source	Charted Date/Time	Sensitivity Class	Verified By	Verified Date	Confirmed By
1	NKA	04/26/2011 04:55	[C]	Drug	--	--	--	--	--	--	--	MEDS	04/26/2011 04:59	Allergy	Staffid, Millerdd	26-APR-11	Staffid, Millerdd

Inactive Allergy History

No Data Found

Problem History

No Data Found

Medication History

No Data Found

Clinical History

Subject	Question	Response	Confirmed Date/Time	Charted Date/Time	Confirmed By
Advanced/Directly	Does the patient have a written	[] NO DATA FOUND			
	Would the pt like to talk to someone re:	[] NO DATA FOUND			
	Notify Hospital Chaplain?	[] NO DATA FOUND			
	Notified Date/Time	[] NO DATA FOUND			
	Comment	[] NO DATA FOUND			
Chart Allergy	Allergen	[] NO DATA FOUND			
	Primary Reaction	[] NO DATA FOUND			
	Severity	[] NO DATA FOUND			
	Other Reaction	[] NO DATA FOUND			
	Allergy Comment	[] NO DATA FOUND			
CONTACT INFO	Verifying RPh:	[] NO DATA FOUND			
	Primary Contact	[C] Deatra goodwin	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		[M] Deatra goodwin	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		[C] no family yet	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Phone - Home	[C] [REDACTED]	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		[M] [REDACTED]	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		[C] --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Phone - Work	[C] --	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		[C] --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		[C] --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN

GOODWIN, DAVON

Rm-Bed:

Acct: 495654253

MRN: 002657049

DOB: [REDACTED]

AdmHx mod/inact Hx

Page 1 of 7

Permanent

221

GOODWIN, DAVON

Opt Out:

MUSC - Live

AdmHx mod/inact Hx

From: 04/26/2011 02:55

To: 05/04/2011 16:06

Rm-Bed:

Admit Dt: 04/26/2011 03:18

Age: 19 yr

Gender: M MD: Leon, Stuart M.

DOB: [REDACTED]

Acct: 495654253

MRN: 002657049

Requested: 05/05/2011 03:06

Page 2 of 7

Clinical History (continued)

Subject	Question	Response	Continued Date/Time	Continued Date/Time	Continued By
CONTACT INFO	Phone - Cell	☐ --	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		☐ --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		☐ --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Relationship	☐ mother	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		☐ mother	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		☐ --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Patient lives with	☐ Mother	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		☐ Mother	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		☐ --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Additional Contact	☐ --	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		☐ --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		☐ --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Phone - Home	☐ --	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		☐ --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		☐ --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Phone - Work	☐ --	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		☐ --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		☐ --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Phone - Cell	☐ --	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		☐ --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		☐ --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Relationship	☐ --	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		☐ --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		☐ --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Additional Contact	☐ --	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		☐ --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		☐ --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Phone - Home	☐ --	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		☐ --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		☐ --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Phone - Work	☐ --	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		☐ --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		☐ --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Phone - Cell	☐ --	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		☐ --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		☐ --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Primary Care MD	☐ --	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		☐ --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		☐ --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Comment	☐ --	05/04/2011 11:29	05/04/2011 11:56	Stout, Jessica A., RN
		☐ --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		☐ --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN

GOODWIN, DAVON

Rm-Bed:

Acct: 495654253

MRN: 002657049

DOB: [REDACTED]

AdmHx mod/inact Hx

Page 2 of 7

Permanent

GOODWIN, DAVON

Opt Out:

MUSC - Live

AdmHx mod/inact Hx

From: 04/26/2011 02:55

To: 05/04/2011 16:06

Rm-Bed:

Admit Dt: 04/26/2011 03:18

Age: 19 yr

Gender: M MD: Leon, Stuart M.

DOB: [REDACTED]

Acct: 495654253

MRN: 002657049

Requested: 05/05/2011 03:06

Page 3 of 7

Clinical History (continued)

Subject	Question	Response	Confirmed Date/Time	Confirmed Date/Time	Confirmed By
Immunizations	Influenza	[M] No	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	If yes, date	[O] --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Pneumovax	[M] No	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	If yes, date	[O] --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Tetanus	[M] No	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	If yes, date	[O] --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
DB patient only	Varicella	[M] No	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	If yes, date	[O] --	04/26/2011 17:03	04/26/2011 17:37	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:26	Askea, Meaghan E., RN
	Gravida	[I] NO DATA FOUND			
	Term	[I] NO DATA FOUND			
	Pre-term	[I] NO DATA FOUND			
	Abort	[I] NO DATA FOUND			
	Living	[I] NO DATA FOUND			
	EDC	[I] NO DATA FOUND			
	Adoption planned	[I] NO DATA FOUND			
	Lost child	[I] NO DATA FOUND			
Patient History	Baby up for adoption (BUPA)	[I] NO DATA FOUND			
	No prenatal care	[I] NO DATA FOUND			
	Finishing plan	[I] NO DATA FOUND			
	Water source	[I] NO DATA FOUND			
	Comment	[I] NO DATA FOUND			
	Reason	[O] GSW	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] GSW	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	High blood pressure	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Comment	[O] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Neuro	[M] 0	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Neuro select	[O] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Comment	[O] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Fainting	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN

GOODWIN, DAVON

Rm-Bed:

Acct: 495654253

MRN: 002657049

DOB: [REDACTED]

AdmHx mod/inact Hx

Page 3 of 7

Permanent

GOODWIN, DAVON

Opt Out:

MUSC - Live

AdmHx mod/inact Hx

From: 04/26/2011 02:55

To: 05/04/2011 16:06

Rm-Bed:

AdmIt Dt: 04/26/2011 03:18

Age: 19 yr

Gender: M MD: Leon, Stuart M.

DOB: [REDACTED]

Acct: 495654253

MRN: 002657049

Requested: 05/05/2011 03:06

Page 4 of 7

Clinical History (continued)

Subject	Question	Response	Confirmed Date/Time	Confirmed Date/Time	Confirmed By
Patient History	Falls	[M] 0	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Falls/Falls comment	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Speech	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Swallow difficulty	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Spch/Swallow cmt	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	GI	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	GI select	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Heart	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Heart select	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Resp/Lung	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Resp/Lung select	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Kidney/Bladder	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	GU select	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Endocrine	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Endo select	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Liver	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN

GOODWIN, DAVON

Rm-Bed:

Acct: 495654253

MRN: 002657049

DOB: [REDACTED]

AdmHx mod/inact Hx

Page 4 of 7

Permanent

GOODWIN, DAVON

Opt Out:

MUSC - Live

AdmHx mod/inact Hx

From: 04/26/2011 02:55

To: 05/04/2011 16:06

Rm-Bed:

Admit Dt: 04/26/2011 03:18

Age: 18 yr

Gender: M MD: Leon, Stuart M.

DOB: [REDACTED]

Acct: 495654253

MRN: 002657049

Requested: 05/05/2011 03:06

Page 5 of 7

Clinical History (continued)

Subject	Question	Response	Confirmed Date/Time	Confirmed Date/Time	Confirmed By
Patient History	Liver select	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Hepatitis type	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Bleed disorders	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Anticoagulants	[C] Unknown	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Bid dis/anticoag cmt	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Sickle cell	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Rheumatology	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Rhous select	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Dermatology	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Cancer	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Transplant	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Psychiatric	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Psych other	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN
	Violence	[M] No	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/26/2011 05:27	Askea, Meaghan E., RN

GOODWIN, DAVON

Rm-Bed:

Acct: 495654253

MRN: 002657049

DOB: [REDACTED]

AdmHx mod/inact Hx

Page 5 of 7

Permanent

GOODWIN, DAVON

Opt Out:

MUSC - Live

AdmHx mod/inact Hx

From: 04/26/2011 02:55

To: 05/04/2011 16:06

Rm-Bed:

Admit Dt: 04/26/2011 03:18

Age: 19 yr

Gender: M MD: Leon, Stuart M.

DOB: [REDACTED]

Acct: 495654253

MRN: 002657049

Requested: 05/05/2011 03:06

Page 6 of 7

Clinical History (continued)

Subject	Question	Response	Confirmed Date/Time	Confirmed Date/Time	Confirmed By
Patient History	Violence other	[C] --	04/26/2011 17:03	04/26/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/28/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:27	Askea, Meaghan E., RN
	HIV	[M] No	04/26/2011 17:03	04/28/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/28/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/28/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:27	Askea, Meaghan E., RN
	Bld transf/reat	[M] No	04/26/2011 17:03	04/28/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/28/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/28/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:27	Askea, Meaghan E., RN
	OB/Gyn	[M] 0	04/26/2011 17:03	04/28/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/28/2011 05:27	Askea, Meaghan E., RN
	OB/Gyn other	[C] --	04/26/2011 17:03	04/28/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:27	Askea, Meaghan E., RN
	LHMP, if applicable	[C] --	04/26/2011 17:03	04/28/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/28/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:27	Askea, Meaghan E., RN
	Dev disability	[M] No	04/26/2011 17:03	04/28/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/28/2011 05:27	Askea, Meaghan E., RN
Parent History	Failure to thrive	[C] Unknown	04/26/2011 17:03	04/28/2011 17:39	Adams, Leslie A., RN
		[O] Unknown	04/26/2011 05:18	04/28/2011 05:27	Askea, Meaghan E., RN
Substance Use	Dev dis/FTT cmt	[C] --	04/26/2011 17:03	04/28/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:27	Askea, Meaghan E., RN
Substance Use	Other	[C] --	04/26/2011 17:03	04/28/2011 17:39	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:27	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/28/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:39	Askea, Meaghan E., RN
	Use of Tobacco	[C] --	04/26/2011 17:03	04/28/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:39	Askea, Meaghan E., RN
	Daily amount	[C] --	04/26/2011 17:03	04/28/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:39	Askea, Meaghan E., RN
	# of years	[C] --	04/26/2011 17:03	04/28/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:39	Askea, Meaghan E., RN
Substance Use	Last use	[C] --	04/26/2011 17:03	04/28/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:39	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/28/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:39	Askea, Meaghan E., RN
	Use of Alcohol	[C] --	04/26/2011 17:03	04/28/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:39	Askea, Meaghan E., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:39	Askea, Meaghan E., RN
		[O] --	04/26/2011 05:18	04/28/2011 05:39	Askea, Meaghan E., RN

GOODWIN, DAVON

Rm-Bed:

Acct: 495654253

MRN: 002657049

DOB: [REDACTED]

AdmHx mod/inact Hx

Page 6 of 7

Permanent

GOODWIN, DAVON

Opt Out:

MUSC - Live

AdmHx mod/inact Hx

From: 04/26/2011 02:55

To: 05/04/2011 16:06

Rm-Bed:

Admit Dt: 04/26/2011 03:18

Age: 19 yr

Gender: M MD: Leon, Stuart M.

DOB: [REDACTED]

Acct: 495654253

MRN: 002657049

Requested: 05/05/2011 03:06

Page 7 of 7

Clinical History (continued)

Subject	Question	Response	Confirmed Date/Time	Confirmed Date/Time	Confirmed By
Substance Use	Daily amount	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:39	Askea, Meaghan E., RN
	# of years	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:39	Askea, Meaghan E., RN
	Last use	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:39	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:39	Askea, Meaghan E., RN
	Use of Rec Drugs	[M] denies	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:39	Askea, Meaghan E., RN
	Daily amount	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:39	Askea, Meaghan E., RN
	# of years	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:39	Askea, Meaghan E., RN
Surgical Hx	Last use	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:39	Askea, Meaghan E., RN
	Comment	[C] unable to obtain at this time pt sedated and intubated	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] unable to obtain at this time pt sedated and intubated	04/26/2011 05:18	04/26/2011 05:39	Askea, Meaghan E., RN
	Surgical Hx	[M] none identified	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:40	Askea, Meaghan E., RN
	Surg Hx-Neurological	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:40	Askea, Meaghan E., RN
	Surg Hx-Respiratory	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:40	Askea, Meaghan E., RN
	Surg Hx-Cardiovascular	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:40	Askea, Meaghan E., RN
	Surg Hx-Genit	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:40	Askea, Meaghan E., RN
Surgical Hx	Surg Hx-UroPeri	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:40	Askea, Meaghan E., RN
	Surg Hx-Reproductive	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:40	Askea, Meaghan E., RN
	Surg Hx-Orthopedic	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:40	Askea, Meaghan E., RN
	Surg Hx-Other	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:40	Askea, Meaghan E., RN
	Comment	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:40	Askea, Meaghan E., RN
	Surgical Hx	[C] --	04/26/2011 17:03	04/26/2011 17:40	Adams, Leslie A., RN
		[O] --	04/26/2011 05:18	04/26/2011 05:40	Askea, Meaghan E., RN
	Comment	[I] NO DATA FOUND			

GOODWIN, DAVON

Rm-Bed:

Acct: 495654253

MRN: 002657049

DOB: [REDACTED]

AdmHx mod/inact Hx

Page 7 of 7

Permanent

GOODWIN, DAVON

Opt Out:

MUSC - Live

Oracle Admission History Report

From: 04/26/2011 02:55

To: 05/01/2011 16:06

Rm-Bed:

Admit Dt: 04/26/2011 03:18

Age: 19 yr

Gender: M MD: Leon, Stuart M.

DOB: [REDACTED] Acct: 495654253

MRN: 002657049

Requested: 05/05/2011 03:06

Page 1 of 5

Allergy History

Allergen	Type	Onset Date	Primary Reaction	Other Reaction	Severity	Comment	Confirmed By and Date/Time
NKA	Drug						Staffid, Millerdd 04/26/2011 04:55

Clinical History

Subject	Question	Response	Last Charted By and Date/Time	Confirmed By and Date/Time
CONTACT INFO	Primary Contact	Deatra goodwin	Adams, Leslie A., RN 04/26/2011 17:03	Stout, Jessica A., RN 05/04/2011 11:29
	Phone - Home	[REDACTED]	Adams, Leslie A., RN 04/26/2011 17:03	Stout, Jessica A., RN 05/04/2011 11:29
	Relationship	mother	Adams, Leslie A., RN 04/26/2011 17:03	Stout, Jessica A., RN 05/04/2011 11:29
	Patient lives with	Mother	Adams, Leslie A., RN 04/26/2011 17:03	Stout, Jessica A., RN 05/04/2011 11:29
Immunizations	Influenza	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Pneumovax	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Tetanus	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Varicella	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
Patient History	Reason	GSW	Askea, Meaghan E., RN 04/26/2011 05:18	Adams, Leslie A., RN 04/26/2011 17:03
	High blood pressure	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Neuro	0	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Fainting	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Falls	0	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Speech	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Swallow difficulty	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	GI	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Heart	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Resp/Lung	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Kidney/Bladder	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Endocrine	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Liver	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Bleed disorders	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Anticoagulants	Unknown	Askea, Meaghan E., RN 04/26/2011 05:18	Adams, Leslie A., RN 04/26/2011 17:03
	Sickle cell	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03

GOODWIN, DAVON

Acct: 495654253

DOB: [REDACTED]

Page 1 of 5

Rm-Bed:

MRN: 002657049

Oracle Admission History Report

Permanent

Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 1 of 5

GOODWIN, DAVON

Opt Out:

MUSC - Live

Oracle Admission History Report

From: 04/26/2011 02:55

To: 05/04/2011 16:06

Rm-Bed:

Admit Dt: 04/26/2011 03:18

Age: 19 yr

Gender: M MD: Leon, Stuart M.

DOB: [REDACTED]

Acct: 495654253

MRN: 002657049

Requested: 05/05/2011 03:06

Page 2 of 5

Clinical History (continued)

Subject	Question	Response	Last Charted By and Date/Time	Confirmed By and Date/Time
Patient History	Rheumatology	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Dermatology	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Cancer	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Transplant	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Psychiatric	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Violence	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	HIV	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Bld transf/react	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	OB/Gyn	()	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Dev disability	No	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Failure to thrive	Unknown	Askea, Meaghan E., RN 04/26/2011 05:18	Adams, Leslie A., RN 04/26/2011 17:03
Substance Use	Use of Rec Drugs	denies	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03
	Comment	unable to obtain at this time pt sedated and intubated	Askea, Meaghan E., RN 04/26/2011 05:18	Adams, Leslie A., RN 04/26/2011 17:03
Surgical Hx	Surgical Hx	none identified	Adams, Leslie A., RN 04/26/2011 17:03	Adams, Leslie A., RN 04/26/2011 17:03

Medication History

NO DATA FOUND

narrative

04/26/2011 00:00 TO 04/26/2011 23:59

Legend Charting

04/26/2011 05:40

ADT Detail (81149)

P/fam status = unavail to ans	Askea, Meaghan E., RN 04/26/2011 05:43
Fam/guard notif = yes	Askea, Meaghan E., RN 04/26/2011 05:43
Source of info = other (specify)	Askea, Meaghan E., RN 04/26/2011 05:43
Need interpreter = no	Askea, Meaghan E., RN 04/26/2011 05:43
Admission dx = GSW	Askea, Meaghan E., RN 04/26/2011 05:43
Admit to = 4STS	Askea, Meaghan E., RN 04/26/2011 05:43
Admit via = admit via other	Askea, Meaghan E., RN 04/26/2011 05:43
Notes: ICU bed	

GOODWIN, DAVON

Acct: 495654253

DOB: [REDACTED]

Page 2 of 5

Rm-Bed:

MRN: 002657049

Oracle Admission History Report

Permanent

GOODWIN, DAVON

Opt Out:

MUSC - Live

Oracle Admission History Report

From: 04/26/2011 02:55

To: 05/04/2011 16:06

Rm-Bed:

Admit Dt: 04/26/2011 03:18

Age: 18 yr

Gender: M MD: Leon, Stuart M.

DOB: [REDACTED]

Acct: 495654253

MRN: 002657049

Requested: 05/05/2011 03:06

Page 3 of 5

narrative

04/26/2011 00:00 TO 04/26/2011 23:59

Legend Charting

04/26/2011 05:40

ADT Detail (81149)

Admit from = surgery

Askea, Meaghan E., RN

04/26/2011 05:43

Accompanied by = caregiver

Askea, Meaghan E., RN

04/26/2011 05:43

Primarily client = family not present

Askea, Meaghan E., RN

04/26/2011 05:43

Notes: family not present

ID band placed = yes

Askea, Meaghan E., RN

04/26/2011 05:43

Patient age = unknown

Askea, Meaghan E., RN

04/26/2011 05:43

Notes: unknown

Pt Belongings (81187)

None = none

Askea, Meaghan E., RN

04/26/2011 05:43

Exposures (81190)

Isolat prev stay = unable to answer, pt intubated and sedated

Askea, Meaghan E., RN

04/26/2011 05:43

Notes: unable to answer, pt intubated and sedated

Pneumonia vacc

Patient refuses = unable to answer at this time, pt intubated and sedated

Askea, Meaghan E., RN

04/26/2011 05:43

Notes: unable to answer at this time, pt intubated and sedated

Adm Diabetes (81112)

Pt w/hx diabetes = unknown at this time, pt intubated and sedated

Askea, Meaghan E., RN

04/26/2011 05:43

Notes: unknown at this time, pt intubated and sedated

Psych/Spirit/Ed (81151)

Occupation = unknown at this time, pt intubated and sedated

Askea, Meaghan E., RN

04/26/2011 05:43

Notes: unknown at this time, pt intubated and sedated

Adm Functional (81154)

Cognition = unknown at this time, pt intubated and sedated

Askea, Meaghan E., RN

04/26/2011 05:43

Notes: unknown at this time, pt intubated and sedated

Adm Nutrition (81147)

Consume/digest = unknown at this time, intubated and sedated

Askea, Meaghan E., RN

04/26/2011 05:43

Notes: unknown at this time, intubated and sedated

D/C Planning (81140)

County = unknown at this time, pt intubated and sedated

Askea, Meaghan E., RN

04/26/2011 05:43

Notes: unknown at this time, pt intubated and sedated

04/26/2011 17:27

GOODWIN, DAVON

Acct: 495654253

DOB: [REDACTED]

Page 3 of 5

Rm-Bed:

MRN: 002657049

Oracle Admission History Report

Permanent

GOODWIN, DAVON

Opt Out:

MUSC - Live

Oracle Admission History Report

From: 04/26/2011 02:55 To: 05/04/2011 16:06

Rm-Bed: Admit Dt: 04/26/2011 03:18

Age: 19 yr Gender: M MD: Leon, Stuart M.

DOB: [REDACTED] Acct: 495654253 MRN: 002657049

Requested: 05/05/2011 03:06

Page 4 of 5

narrative

04/26/2011 00:00 TO 04/26/2011 23:59

Legend Charting

04/26/2011 17:27

ADT Detail (81149)

Fam/guard notif = yes

Adams, Leslie A., RN

Source of info = family

04/26/2011 17:34

Need interpreter = no

Adams, Leslie A., RN

Admit to = 46TW

04/26/2011 17:34

Admit via = stretcher

Adams, Leslie A., RN

Admit from = surgery

04/26/2011 17:34

Accompanied by = caregiver

Adams, Leslie A., RN

Pt/family orient = cell phone use, GeriWell Network, menu, no latex balloon, nurse call sys, pt safety
broch, smoking policy, unit routine, visiting policy

04/26/2011 17:34

ID band placed = yes

Adams, Leslie A., RN

04/26/2011 17:34

Adams, Leslie A., RN

04/26/2011 17:34

Adams, Leslie A., RN

04/26/2011 17:34

Adams, Leslie A., RN

04/26/2011 17:34

Adams, Leslie A., RN

04/26/2011 17:34

Adm Diabetes (18112)

Pt w/hx diabetes = no

Adams, Leslie A., RN

04/26/2011 17:34

Psych/Spirit/Ed (81151)

Occupation = not employed

Adams, Leslie A., RN

04/26/2011 17:34

Adm Functional (81154)

Swallow, speech = no alteration

Adams, Leslie A., RN

04/26/2011 17:34

Amd steady gait = WNL

Adams, Leslie A., RN

04/26/2011 17:34

Independent ADLs = WNL

Adams, Leslie A., RN

04/26/2011 17:34

Adm Nutrition (81147)

Consume/digest = food

Adams, Leslie A., RN

04/26/2011 17:34

Current dx = GSW

Adams, Leslie A., RN

04/26/2011 17:34

Notes: GSW

D/C Planning (81140)

County = Charleston

Adams, Leslie A., RN

04/26/2011 17:34

04/30/2011 00:00 TO 04/30/2011 23:59

Legend Charting

04/30/2011 01:21

Pneumonia vacc

GOODWIN, DAVON

Acct: 495654253

DOB: [REDACTED]

Page 4 of 5

Rm-Bed:

MRN: 002657049

Oracle Admission History Report

Permanent

Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 4 of 5

GOODWIN, DAVON

Opt Out:

MUSC - Live

Oracle Admission History Report

From: 04/26/2011 02:55

To: 05/04/2011 16:06

Rm-Bed:

Admit Dt: 04/26/2011 03:18

Age: 18 yr

Gender: M MD: Leon, Stuart M.

DOB: [REDACTED] Acct: 495654253

MRN: 002657049

Requested: 05/05/2011 03:06

Page 5 of 5

narrative

04/30/2011 00:00 TO 04/30/2011 23:59

Legend Charting

04/30/2011 01:21

Pneumonia vacc

Patient refuses = yes

Cottle, Jean A., RN

Refuse comment = I don't want it

04/30/2011 01:22

Cottle, Jean A., RN

DO NOT administer = not given

04/30/2011 01:22

Cottle, Jean A., RN

04/30/2011 01:22

GOODWIN, DAVON

Acct: 495654253

DOB: [REDACTED]

Page 5 of 5

Rm-Bed:

MRN: 002657049

Oracle Admission History Report

Permanent

Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 5 of 5



HISPHYS

Trauma Center Initial Patient Evaluation

Page 1 of 6

Form Origination Date: 4/04
Version: 3

Version Date: 6/10



P495654253 DOB: [REDACTED] U
TRAUMA
VICTIM PP
EAdm:12/31/11 M2657049

PATIENT IDENTIFICATION LABEL

Date: <u>4/26/11</u> Time: _____ Trauma Page Notification Level: ☒ A ☐ B ☐ OB	
PREHOSPITAL DATA	
Hypotensive (DBP < 90 mmHg) ☐ Yes ☒ No ☐ Unknown	
Lowest recorded SBP: _____	
LOC: ☐ Yes How long? _____ min ☒ No	
Mode of arrival: ☐ Helicopter ☒ Ambulance ☐ Private Vehicle ☐ Other: _____	
GCS Score: <u>15</u> Fluids: _____ mL Blood: _____ mL	
Tetanus: ☐ Last tetanus: _____ ☒ Unknown	
HOSPITAL TRANSFER Hospital Name: _____	
Injury Date: <u>4/26/11</u> Time of Injury: _____	
Transferring Physician: _____	
Transferring Hospital: _____	
Patient Origin: ☐ Walk-in ☒ Scene ☐ Other: _____	
Other: _____	
HISTORY OF PRESENT ILLNESS	
Source of History: ☒ EMS ☐ Patient ☐ Helicopter Flight team ☐ Police ☐ Family ☐ Other: _____	
☐ Check if <u>complete</u> HPI cannot be obtained due to need for urgent evaluation and / or urgent management of patient's condition	
AGE: _____ ☒ Male ☐ Female	
<u>GSU to LUQ C10 ABD pain</u>	
Patient Pregnant: ☒ N/A ☐ Unknown ☐ No ☐ Yes EDC: _____	
MECHANISM OF INJURY	
☐ Auto-ped ☐ Auto-bicycle ☐ MVC ☐ Motorcycle crash ☐ Burn ☒ GSW ☐ Fall ☐ Stabbing ☐ Hanging ☐ Other: _____	
SAFETY EQUIPMENT	
Seat belt ☐ Yes ☐ Lap belt ☐ Shoulder belt ☒ No ☐ Unknown	
Ejected ☐ Yes ☒ No ☐ Unknown	
Airbag ☐ Yes ☐ Front ☐ Side ☐ Unknown ☒ No ☐ Unknown	
Position ☐ Driver ☐ Front seat ☐ Back seat ☐ Unknown	
Crash type ☐ Front ☐ Lateral ☐ Rollover ☐ Unknown	
PROTECTIVE GEAR	
Helmet ☐ Yes ☒ No ☐ Unknown	
Padded leather jacket ☐ Yes ☒ No ☐ Unknown	
Padded leather pants ☐ Yes ☒ No ☐ Unknown	
Other: _____	
ALLERGIES:	
☐ Penicillin ☐ Sulfa ☐ IV Contrast ☐ Aspirin ☐ Other: _____	
PAST MEDICAL HISTORY ☒ Check if <u>complete</u> HPI cannot be obtained due to need for urgent evaluation and / or urgent management of patient's condition	
Medical: ☐ HTN ☐ Diabetes Type: _____ ☐ Controlled ☐ Uncontrolled ☐ CHF ☐ COPD ☐ A-Fib ☐ Cirrhosis	
☐ Cardiac Stents ☐ Hepatitis Type: _____ ☐ Seizure Disorder ☐ HIV ☐ Bleeding Disorder ☐ Obesity	
☐ Other: _____	
Surgical: ☐ Appendectomy ☐ Cholecystectomy ☐ Cardiac Stents	
☐ Other: <u>Unknown</u>	
Family Hx: <u>Unknown</u>	
Social Hx: ☒ Unknown ☐ Suspected current ETOH intoxication ☐ Illicit drugs: _____	
☐ Tobacco packs / day: _____ ☐ None	
Primary Care Physician: _____ ☒ Unknown Employment: _____ ☒ Unknown	
MEDICATIONS: COMPLETE MEDICATION RECONCILIATION FORM	
☐ Patient on warfarin (Coumadin®)? ☐ Yes ☐ No ☒ Unknown	
Clopidogrel (Plavix®)? ☐ Yes ☐ No ☒ Unknown	
☐ Patient on aspirin? ☐ Yes ☐ No ☒ Unknown	
Prasugrel (Effient®)? ☐ Yes ☐ No ☒ Unknown	

ah_critcare_docu_traumahp

Clinician Initials [Signature]
OTE 700557 Rev. 6/10



HISPHYS

Trauma Center Initial Patient Evaluation

Form Origination Date: 4/04
Version: 3

Page 2 of 6

Version Date: 6/10

PATIENT IDENTIFICATION LABEL

P495654253 DOB: [REDACTED] U
TRAUMA
VICTIM PP
EAdm:12/31/11 M2657049

PRIMARY SURVEY (INITIAL FINDINGS)

AIRWAY	BREATHING	CIRCULATION	DISABILITY	GLASGOW COMA SCALE (GCS)		
☐ Stable ☐ Unstable	Equal Breath Sounds ☒ Yes ☐ No Hypoxia / Cyanosis ☐ Yes ☐ No Paradoxical Movement ☐ Yes ☐ No	Heart Rate <u>93</u> BP <u>193/134</u> Temp _____	Neuromuscular blocker, sedatives or opiates affecting exam: ☐ Yes ☒ No	Eye 4=Spontaneous 3=To Voice 2=To Pain 1=None	Verbal 5=Normal Conversation 4=Disoriented Conversation 3=Words but not coherent 2=No words only sounds 1=None	Motor 6=Normal 5=Localizes to pain 4=Withdraws to pain 3=Decorticate 2=Decerebrate 1=None
Actions taken: Intubated ☒ Yes ☐ Prior to arrival ☒ Trauma Bay ☐ No ☐ Other	Lung Sounds Right ☒ Normal ☐ Decreased ☐ None Left ☒ Normal ☐ Decreased ☐ None	Cap Refill ☒ Normal ☐ Prolonged >2 Active Bleeding ☐ Yes ☐ No ⊕ hematemesis	Focal Signs: Unequal Pupils: ☐ Yes ☐ No Lateralizing Motor Signs: ☐ Yes ☐ No	Total: <u>15</u> ☐ GCS < 8 ☐ CODE		

RESUSCITATION: (Check all that apply including actions taken during entire Emergency Department evaluation)

- ☒ Peripheral intravenous lines placed (see nursing notes for locations)
☐ Chest tube placed (location): _____ (See procedure note(s) for specific details; nurses notes for total output)
☐ Chest tube #2 placed (location): _____ (See procedure note(s) for specific details; nurses notes for total output)
☐ Central venous line placed (location): _____ (See procedure note(s) for specific details)
☐ Central venous line #2 placed (location): _____ (See procedure note(s) for specific details)
☐ Foley catheter placed
☐ NG / ☐ OG tube placed (check as appropriate)
☐ EKG monitor placed
☐ Patient placed on supplemental O₂
☐ Patient placed on ventilator
 Mode _____ PEEP/CPAP _____ FIO₂ _____ V_T _____ Rate _____
☐ End tidal CO₂ monitor placed
☒ Pulse oximeter placed
☐ Other: _____

SECONDARY SURVEY

HEENT: (Pupils, Vision, Eye Movements, Tympanum, Facial Bones, Teeth) ☐ No abnormal findings ☒ PERRL

- Gross visual acuity impaired ☐ Not evaluated ☒ No ☐ Yes EOMs impaired ☐ Not evaluated ☒ No ☐ Yes
 Hemotympanum ☐ Not evaluated ☒ No ☐ Yes Scalp Injury ☐ Not evaluated ☒ No ☐ Yes
 Facial Injury ☐ Not evaluated ☒ No ☐ Yes Dental Injury ☐ Not evaluated ☒ No ☐ Yes
 Other: _____

ah_criticare_docu_traumahp

 Clinician Initials [Signature]
 OTE 700557 Rev. 6/10



HISPHYS

Trauma Center Initial Patient Evaluation

Form Origination Date: 4/04
Version: 3

Page 3 of 6

Version Date: 6/10

P495654253 DOB: [REDACTED] U
TRAUMA
VICTIM PP
EAdm:12/31/11 M2657049

PATIENT IDENTIFICATION LABEL

SECONDARY SURVEY -- continued**CERVICAL SPINE:** ☒ No abnormal findingsC-Collar in place pre-hospital: ☐ No ☐ YesCollar clear: ☐ Yes ☒ No Pt. with ☐ distracting injuries ☐ midline tenderness ☐ ETOH Intox ☐ altered LOC ☐ neuro deficits**CHEST: (Chest Wall / Lungs / Heart)** ☒ No abnormal findingsChest Wall: ☐ Contusion ☐ Abrasion ☐ Seatbelt sign ☐ Tenderness ☐ Flail ☐ Crepitus ☐ Clinical rib fracture

Describe Positive Findings:

Lungs: ☒ Bilaterally Clear to Auscultation ☐ Other: _____Heart: Sounds: ☐ No Murmurs, Rubs or Gallops ☐ Other: _____**BACK:** ☒ No abnormal findingsThoracic Spine: midline tenderness ☒ No ☐ YesLumbar Spine: midline tenderness ☒ No ☐ YesContusions: ☒ No ☐ Yes Describe: _____ Abrasions: ☐ No ☐ Yes Describe: _____Deformity: ☒ No ☐ Yes Describe: _____ Other: _____**ABDOMEN:** ☐ No abnormal findingsTenderness: ☐ No ☒ Yes Describe: Diffuse Seatbelt sign: ☐ No ☐ Yes Describe: _____Abrasions: ☐ No ☐ Yes Describe: _____ Distention: ☐ No ☐ Yes Describe: _____Contusions: ☐ No ☐ Yes Describe: _____ Penetrating injuries: ☐ No ☐ Yes Location: _____Other: GSW entry LVD**PELVIS:** ☒ No abnormal findings Tenderness: ☐ No ☐ Yes Location: _____ Other: _____**GENITOURINARY: (Perineum / Rectal / Genitalia)** ☒ No abnormal findings Other: _____Blood at meatus: ☐ No ☐ Yes Describe: _____ Vaginal Bleeding: ☐ No ☐ Yes Describe: _____Poor sphincter tone: ☐ No ☐ Yes Describe: _____ Scrotal Hematoma: ☐ No ☐ Yes Describe: _____**GYN:** ☒ Not applicable ☐ No abnormal findings Fetal Heart Tones: ☐ N/A ☐ No ☐ Yes: _____ Other: _____

ah_critcare_docu_traumahp

Clinician Initials [Signature]
OTE 700557/Rev. 6/10



HISPHYS

Trauma Center Initial Patient Evaluation

Page 4 of 6

Form Origination Date: 4/04
Version: 3

Version Date: 6/10

P495654253 DCB: [redacted] U
TRAUMA
VICTIM PP
EAdm:12/31/11 M2657049

PATIENT IDENTIFICATION LABEL

SECONDARY SURVEY -- continued**EXTREMITIES:** [Fractures (open / close), dislocations, range of motion] ☐ No abnormal findings

Describe Abnormalities:


Pulses (0,1+,2+)	Radial	Femoral	Dorsalis Pedis	Posterior Tibial
Right:	2+	2+	2+	
Left:	2+	2+	2+	

NEURO (Sensory, Motor Reflexes, Cranial Nerves, Specific Peripheral Nerves if Appropriate)CN II-X11: ☒ WNL ☐ Abnormal _____Sensory: ☒ WNL ☐ Abnormal _____Motor: ☒ WNL ☐ Abnormal _____

Other: _____

SKIN: ☐ No abnormal findings Other: _____ (Include lacerations)Sacral Decubitus: ☒ No ☐ Yes Stage: _____ (1-4) Location: _____**ADDITIONAL PHYSICAL FINDINGS:****ANCILLARY STUDIES:**PT: _____ ☐ Not performed ☐ Pending HCG: _____ ☐ Not performed ☐ PendingFetal Hgb: _____ ☐ Not performed ☐ Pending PTT: _____ ☐ Not performed ☐ PendingPlatelet: _____ ☐ Not performed ☐ Pending ETOH: _____ ☐ Not performed ☐ Pending

Urinalysis: _____ EKG: _____



Other: _____

ADDITIONAL STUDIES: (Results based on preliminary verbal reports available at time of initial viewing)

- CXR: ☐ Not performed ☐ WNL ☐ Abnormal (describe): _____

Pelvis: ☐ Not performed ☐ WNL ☐ Abnormal (describe): _____

Extremities: ☐ Not performed ☐ WNL ☐ Abnormal (describe): _____

CT Head: ☐ Not performed ☐ WNL ☐ Abnormal (describe): _____

CT Face: ☐ Not performed ☐ WNL ☐ Abnormal (describe): _____

CT Abd: ☐ Not performed ☐ WNL ☐ Abnormal (describe): _____

CT Chest: ☐ Not performed ☐ WNL ☐ Abnormal (describe): _____

CT C-spine: ☐ Not performed ☐ WNL ☐ Abnormal (describe): _____

CTA: ☐ Not performed ☐ WNL ☐ Abnormal (describe): _____

Other: _____

ah_critcare_docu_traumaph

Clinician Initials _____
OTE 700557 Rev. 6/10



"HISPHYS"

Trauma Center Initial Patient Evaluation

Page 5 of 6

Form Origination Date: 4/04
Version: 3

Version Date: 6/10

P495654253 DOB: [REDACTED] U
TRAUMA
VICTIM PP
EAdm:12/31/11 M2657049

PATIENT IDENTIFICATION LABEL

FAST SCAN	Positive	Negative	Equivocal
Abdomen:			
Pericardium:			
Pneumothorax:			
Study conducted by:		Pager ID:	
ASSESSMENT: INJURY LIST			
1 GSW LUQ		14	
2		15	
3		16	
4		17	
5			
6			
7			
8			
9			
10			
11			
12			
13			
PLAN: 19 yo ♂ GSW LUQ, BLOODY AMESIS, PNEUMOTHORAX - OR, EX-LAP			

Management options were discussed with physicians from the following services:

☐ Orthopaedic Surgery ☐ Neurosurgery ☐ OMFS ☐ Plastics ☐ CT Surgery
☐ Others (list): _____

Clinician Signature/Initials

☒ Resident ☐ Attending ☐ PA-C

Service

Trauma

Pager ID

15721

Date

4/26/11

Time

6:40

ah_critcare_docu_traumahp

OTE 700557 Rev. 6/10



HISPHYS

Trauma Center Initial Patient Evaluation

Page 6 of 6

Form Origination Date: 4/04
Version: 3

Version Date: 6/10

P495654253 DOB: [redacted] U
TRAUMA
VICTIM PP
EAdm:12/31/11 M2657049

PATIENT IDENTIFICATION LABEL

MUSC Trauma Center Initial Patient Evaluation

Attending Physician's Statement

Attending Statement: The patient has been seen and examined by me personally. I have reviewed the house staff documentation above and a discussion of the history and physical findings has been held.

I can confirm the following key history factors: _____

I have examined the patient and key findings include: _____

I have personally reviewed all available radiographic images:

I have reviewed the results of pertinent laboratory tests:

I have personally reviewed the results of additional medical testing and monitor output:

I have personally discussed this case with family:

Risk of complications/mortality: ☐ Minimal ☐ Low ☐ Moderate ☐ High

☐ Yes	☐ No
☐ Yes	☐ No
☐ Yes	☐ No
☐ Yes	☐ No

Assessment (see page 5 for complete diagnosis list): _____

Plan: _____

Patient Condition:

☐ Critical/Unstable☐ Serious☐ Fair☐ Good

Admission Type:

☐ Inpatient☐ 23-hour observation

This document is serving as:

☐ Admission History and Physical☐ Emergency Dept. Evaluation☐ Consultation (service consulting): _____☐ Critical Care Services (Total time spent with patient, family, and reviewing data: _____)

Signature: _____

Attending Physician

Pager ID: _____

Date: _____

Time: _____

sh_critcare_docu_traumaphp

OTE 700557 Rev. 6/10

MUSC Medical Center
HISTORY AND PHYSICAL REPORT
Patient Name: GOODWIN, DAVON
MRN: 002657049
Patcom: 495654253
Date: 04/26/2011
Service:
Attending: Stuart M. Leon, MD

CHIEF COMPLAINT: Gunshot wound to abdomen.

HISTORY OF PRESENT ILLNESS: The patient is a young, African American gentleman who sustained a gunshot wound to his left upper quadrant earlier this morning. The patient was brought to MUSC for trauma evaluation. The patient had episodes of hypotension en route. He arrives awake and alert, complaining of significant abdominal pain and was either spitting up or coughing up bright red blood. For this reason, the patient was emergency we intubated.

A complete past medical history, past surgical history, list of allergies, medications, social history, family history, and review of systems are all unable to be obtained due to urgent need for patient evaluation.

PHYSICAL EXAMINATION: The patient's airway was initially patent, though he was intubated for airway protection due to the fact that he was either coughing up or spitting up bright red blood.

LUNGS: His lungs were clear to auscultation bilaterally.

NECK: A cervical collar was in place.

CARDIOVASCULAR: He has palpable femoral pulses. His heart was tachycardic, though was a regular rhythm.

NEUROLOGIC: Glasgow coma score was 14 to 3T following intubation.

ABDOMEN: Soft, distended, tender to palpation diffusely, and the patient has a single gunshot wound located within the left upper quadrant.

PELVIS: His pelvis is stable to AP and lateral compression.

EXTREMITIES: All 4 extremities were without gross deformities.

DIAGNOSTIC IMAGING: Included obtaining a chest x-ray, as well as a flat plate of the patient's abdomen and a lateral x-ray of the patient's abdomen, and the study subsequently revealed that the bullet remained intraabdominal, it passed from left to right and anterior to posterior.

Given the patient's current clinical status, we have elected to take him emergently up to the operating at this time for exploration.

Dictated by: Stuart M. Leon, MD

Stuart M. Leon, MD

Stuart M. Leon, MD

Attending

731054 / 44076 /
JOB: 6040067
DD: 04/26/11 05:04 AM
DT: 04/26/11 11:46 AM

Additional CC:

Authenticated by STUART LEON, MD On 04/27/2011 07:21:11 AM
Authenticated by STUART LEON, MD On 04/27/2011 07:22:25 AM

MUSC
MEDICAL UNIVERSITY
OF SOUTH CAROLINA

Discovering. Understanding. Healing.



**Transfusion Service
Blood-Tag**

TRANSFUSION

Name of Recipient GOODWIN, DAVON		DOB [REDACTED]	Unit Number 36LX64533	
Medical Record Number 2657049		Patient Location MUH 4STS 478G		Product Type FFP Thawed
Date 04/26/2011	Time 08:44	Tech ID GAGEMA	Expiration Date 04/29/2011 23:59	
Crossmatch Status ☐ Compatible ☐ Incompatible ☐ Uncrossmatched ☒ Not Required			Donor Blood Type B POS	Patient Blood Type B NEG
Special Donation ☐ Autologous ☐ Directed			Special Antigen Screening	

**RETURN BLOOD PRODUCT TO TRANSFUSION SERVICE
IMMEDIATELY IF TRANSFUSION IS DELAYED**

PRE-TRANSFUSION DATA

1. Patient ID band (check one): ☒ initially present ☒ applied prior to transfusion
2. Verbal identification source (check one): ☐ patient ☐ family member ☒ staff member
3. Blood identification verification (check each as performed): ☒ Thawed
 - ☒ Blood product matches written order
 - ☒ Patient ID band matches blood tag
 - ☒ Blood product label matches blood tag

The two signatures below (one must be that of the transfusionist) certify the above information has been checked in the presence of the patient.

Signature [Signature] / Signature [Signature]
Transfusion started: Date 4/26/11 Time 0730

Transfuse in spite of temperature elevation order is required if patient temperature is greater than 100°F.

Pre transfusion	BP	P	T	RR
15 minutes after start	BP	P	T	RR
Post transfusion	BP	P	T	RR

POST TRANSFUSION DATA

Transfusion ended: Date 04/26/11 Time 0800 Amount transfused 320 cc
Did patient show symptoms of a transfusion reaction? ☐ Yes ☒ No

IF A REACTION IS SUSPECTED, THE FOLLOWING STEPS ARE TO BE TAKEN:

1. Stop the transfusion. Keep the IV access open with normal saline. Do not discard the bag and set.
2. Immediately notify the patient's physician.
3. Notify the Transfusion Service at 2-2671.
4. Refer to the Transfusion Reaction Investigation Report for further instructions.

PATIENT CHART COPY

MUSC
MEDICAL UNIVERSITY
OF SOUTH CAROLINA
Discovering. Understanding. Healing.


TRANSFUSION

Transfusion Service
Blood Tag

Name of Recipient GOODWIN, DAVON		DOB [REDACTED]	Unit Number 36LJ13936
Medical Record Number 2657049	Patient Location MUH 4STS 478G		Product Type FFP Thawed
Date 04/26/2011	Time 06:44	Tech ID GAGEMA	Expiration Date 04/29/2011 23:59
Crossmatch Status ☐ Compatible ☐ Incompatible ☐ Uncrossmatched ☒ Not Required			Donor Blood Type B POS Patient Blood Type B NEG
Special Donation ☐ Autologous ☐ Directed			Special Antigen Screening

**RETURN BLOOD PRODUCT TO TRANSFUSION SERVICE
IMMEDIATELY IF TRANSFUSION IS DELAYED**

PRE TRANSFUSION DATA

- Patient ID band (check one): ☒ initially present ☒ applied prior to transfusion
- Verbal identification source (check one): ☐ patient ☐ family member ☐ staff member
- Blood identification verification (check each as performed):
☒ Blood product matches written order
☒ Patient ID band matches blood tag
☒ Blood product label matches blood tag

The two signatures below (one must be that of the transfusionist) certify the above information has been checked in the presence of the patient.

Signature [Signature] / Signature [Signature]
 Transfusion started: Date 4/26/11 Time 0730

"Transfuse in spite of temperature elevation" order is required if patient temperature is greater than 100°F.

Pre transfusion	BP	P	T	RR
15 minutes after start	BP	P <u>Emergency</u>		RR
Post transfusion	BP	P	T	RR

POST TRANSFUSION DATA

Transfusion ended: Date 4/26/11 Time 0800 Amount transfused 325 cc
 Did patient show symptoms of a transfusion reaction? ☐ Yes ☒ No

IF A REACTION IS SUSPECTED, THE FOLLOWING STEPS ARE TO BE TAKEN:

- Stop the transfusion. Keep the IV access open with normal saline. Do not discard the bag and set.
- Immediately notify the patient's physician.
- Notify the Transfusion Service at 2-2671.
- Refer to the Transfusion Reaction Investigation Report for further instructions.

PATIENT CHART COPY

MUSC
MEDICAL UNIVERSITY
OF SOUTH CAROLINA

Discovering. Understanding. Healing.



TRANSFUSION

**Transfusion Service
Blood Tag**

Name of Recipient GOODWIN, DAVON		DOB [REDACTED]	Unit Number 32H87378	
Medical Record Number 2657049		Patient Location MUH 4STS 478		Product Type Red Cells, LKP
Date 04/29/2011	Time 04:56	Tech ID HAGGERH	Expiration Date 05/11/2011 23:59	
Crossmatch Status ☒ Compatible ☐ Incompatible ☐ Uncrossmatched ☐ Not Required			Donor Blood Type B NEG	Patient Blood Type B NEG
Special Donation ☐ Autologous ☐ Directed			Special Antigen Screening LKP	

**RETURN BLOOD PRODUCT TO TRANSFUSION SERVICE
IMMEDIATELY IF TRANSFUSION IS DELAYED**

PRE TRANSFUSION DATA

1. Patient ID band (check one): ☒ initially present ☐ applied prior to transfusion
2. Verbal identification source (check one): ☐ patient ☒ family member ☐ staff member
3. Blood identification verification (check each as performed):
☒ Blood product matches written order
☒ Patient ID band matches blood tag
☒ Blood product label matches blood tag

The two signatures below (one must be that of the transfusionist) certify the above information has been checked in the presence of the patient.

Signature [Signature] / Signature [Signature]
Transfusion started: Date 4/29/11 Time 06:41

"Transfuse in spite of temperature elevation" order is required if patient temperature is greater than 100°F.

Pre transfusion	BP	P	T	RR
15 minutes after start	BP	P	T	RR
Post transfusion	BP	P	T	RR

POST TRANSFUSION DATA

Transfusion ended: Date 4/29/11 Time 06:41 Amount transfused 350 cc
Did patient show symptoms of a transfusion reaction? ☐ Yes ☒ No

IF A REACTION IS SUSPECTED, THE FOLLOWING STEPS ARE TO BE TAKEN:

1. Stop the transfusion. Keep the IV access open with normal saline. Do not discard the bag and set.
2. Immediately notify the patient's physician.
3. Notify the Transfusion Service at 2-2671.
4. Refer to the Transfusion Reaction Investigation Report for further instructions.

PATIENT CHART COPY

MUSC
MEDICAL UNIVERSITY
OF SOUTH CAROLINA

Discovering. Understanding. Healing.



TRANSFUSION

**Transfusion Service
Blood Tag**

Name of Recipient GOODWIN, DAVON		DOB [REDACTED]	Unit Number 36FR31999 A	
Medical Record Number 2657049		Patient Location MUH 4STS 478		Product Type Red Cells, LKP
Date 04/29/2011	Time 04:56	Tech ID HAGGERH	Expiration Date 05/10/2011 23:59	
Crossmatch Status ☒ Compatible ☐ Incompatible ☐ Uncrossmatched ☐ Not Required			Donor Blood Type B NEG	Patient Blood Type B NEG
Special Donation ☐ Autologous ☐ Directed			Special Antigen Screening LKP	

**RETURN BLOOD PRODUCT TO TRANSFUSION SERVICE
IMMEDIATELY IF TRANSFUSION IS DELAYED**

PRE TRANSFUSION DATA

- Patient ID band (check one): ☒ Initially present ☐ applied prior to transfusion
- Verbal identification source (check one): ☐ patient ☐ family member ☒ staff member
- Blood identification verification (check each as performed):
☒ Blood product matches written order
☒ Patient ID band matches blood tag
☒ Blood product label matches blood tag

The two signatures below (one must be that of the transfusionist) certify the above information has been checked in the presence of the patient.

Signature [Signature] / Signature [Signature]
Transfusion started: Date 4/29/11 Time 0530

"Transfuse in spite of temperature elevation" order is required if patient temperature is greater than 100°F.

Pre transfusion	BP	P	T	RR
15 minutes after start	BP	P	T	RR
Post transfusion	BP	P	T	RR

POST TRANSFUSION DATA

Transfusion ended: Date 4/29/11 Time 0615 Amount transfused 350 cc

Did patient show symptoms of a transfusion reaction? ☐ Yes ☒ No

IF A REACTION IS SUSPECTED, THE FOLLOWING STEPS ARE TO BE TAKEN:

- Stop the transfusion. Keep the IV access open with normal saline. Do not discard the bag and set.
- Immediately notify the patient's physician.
- Notify the Transfusion Service at 2-2671.
- Refer to the Transfusion Reaction Investigation Report for further instructions.

PATIENT CHART COPY

MUSC
MEDICAL UNIVERSITY
OF SOUTH CAROLINA

Discovering. Understanding. Healing.



**Transfusion Service
 Blood Tag**

TRANSFUSION

Name of Recipient GOODWIN, DAVON		DOB [REDACTED]	Unit Number 12S20754 A	
Medical Record Number 2657049		Patient Location MUH 4STS 478		Product Type Red Cells, LKP
Date 04/28/2011	Time 04:35	Tech ID ALEXIS	Expiration Date 05/19/2011 23:59	
Crossmatch Status ☒ Compatible ☐ Incompatible ☐ Uncrossmatched ☐ Not Required			Donor Blood Type B NEG	Patient Blood Type B NEG
Special Donation ☐ Autologous ☐ Directed			Special Antigen Screening LKP	

**RETURN BLOOD PRODUCT TO TRANSFUSION SERVICE
 IMMEDIATELY IF TRANSFUSION IS DELAYED**

PRE TRANSFUSION DATA

- Patient ID band (check one): ☒ Initially present ☐ applied prior to transfusion
- Verbal identification source (check one): ☐ patient ☐ family member ☒ staff member
- Blood identification verification (check each as performed):
☐ Blood product matches written order
☒ Patient ID band matches blood tag
☒ Blood product label matches blood tag

The two signatures below (one must be that of the transfusionist) certify the above information has been checked in the presence of the patient.

Signature [Signature] / Signature [Signature]
 Transfusion started: Date 4-28-11 Time 0440

"Transfuse in spite of temperature elevation" order is required if patient temperature is greater than 100°F.

Pre transfusion	BP <u>133/57</u>	P <u>106</u>	T <u>38</u>	RR <u>20</u>
15 minutes after start	BP	P	T	RR
Post transfusion	BP <u>129/52</u>	P <u>94</u>	T <u>37.8</u>	RR <u>24</u>

POST TRANSFUSION DATA

Transfusion ended: Date 4-28 Time 0550 Amount transfused 300 cc
 Did patient show symptoms of a transfusion reaction? ☐ Yes ☐ No

IF A REACTION IS SUSPECTED, THE FOLLOWING STEPS ARE TO BE TAKEN:

- Stop the transfusion. Keep the IV access open with normal saline. Do not discard the bag and set.
- Immediately notify the patient's physician.
- Notify the Transfusion Service at 2-2671.
- Refer to the Transfusion Reaction Investigation Report for further instructions.

PATIENT CHART COPY

MUSC
MEDICAL UNIVERSITY
OF SOUTH CAROLINA
Discovering. Understanding. Healing.


TRANSFUSION

Transfusion Service
Blood:Tag

Name of Recipient GOODWIN, DAVON		DOB [REDACTED]	Unit Number 36J07896
Medical Record Number 2657049	Patient Location MUH 4STS 478G		Product Type FFP Thawed
Date 04/26/2011	Time 06:44	Tech ID GAGEMA	Expiration Date 04/29/2011 23:59
Crossmatch Status ☐ Compatible ☐ Incompatible ☐ Uncrossmatched ☒ Not Required			Donor Blood Type B POS
			Patient Blood Type B NEG
Special Donation ☐ Autologous ☐ Directed			Special Antigen Screening

**RETURN BLOOD PRODUCT TO TRANSFUSION SERVICE
IMMEDIATELY IF TRANSFUSION IS DELAYED**

PRE TRANSFUSION DATA

- Patient ID band (check one): ☒ initially present ☐ applied prior to transfusion
- Verbal identification source (check one): ☒ patient ☐ family member ☐ staff member
- Blood identification verification (check each as performed):
 - ☒ Blood product matches written order
 - ☒ Patient ID band matches blood tag
 - ☒ Blood product label matches blood tag

The two signatures below (one must be that of the transfusionist) certify the above information has been checked in the presence of the patient.

Signature: [Signature] / Signature: [Signature]
 Transfusion started: Date 4/26/11 Time 06:55

"Transfuse in spite of temperature elevation" order is required if patient temperature is greater than 100°F.

Pre transfusion	BP	P	RR
15 minutes after start	BP	P	RR
Post transfusion	BP	P	RR

POST TRANSFUSION DATA

Transfusion ended: Date 4/26/11 Time 07:00 Amount transfused 250 cc
 Did patient show symptoms of a transfusion reaction? ☐ Yes ☒ No

IF A REACTION IS SUSPECTED, THE FOLLOWING STEPS ARE TO BE TAKEN:

- Stop the transfusion. Keep the IV access open with normal saline. Do not discard the bag and set.
- Immediately notify the patient's physician.
- Notify the Transfusion Service at 2-2671.
- Refer to the Transfusion Reaction Investigation Report for further instructions.

PATIENT CHART COPY

MUSC
MEDICAL UNIVERSITY
OF SOUTH CAROLINA

Discovering. Understanding. Healing.



TRANSFUSION

**Transfusion Service
Blood Tag**

Name of Recipient GOODWIN, DAVON		DOB [REDACTED]	Unit Number 36FL43581
Medical Record Number 2657049	Patient Location MUH 4STS 478G		Product Type FFP Thawed
Date 04/26/2011	Time 06:44	Tech ID GAGEMA	Expiration Date 04/29/2011 23:59
Crossmatch Status ☐ Compatible ☐ Incompatible ☐ Uncrossmatched ☒ Not Required			Donor Blood Type B POS
			Patient Blood Type B NEG
Special Donation ☐ Autologous ☐ Directed			Special Antigen Screening

**RETURN BLOOD PRODUCT TO TRANSFUSION SERVICE
IMMEDIATELY IF TRANSFUSION IS DELAYED**

PRE TRANSFUSION DATA

1. Patient ID band (check one): ☒ initially present ☐ applied prior to transfusion
2. Verbal identification source (check one): ☐ patient ☐ family member ☐ staff member
3. Blood identification verification (check each as performed):
☒ Blood product matches written order
☒ Patient ID band matches blood tag
☒ Blood product label matches blood tag

The two signatures below (one must be that of the transfusionist) certify the above information has been checked in the presence of the patient.

Signature [Signature] / Signature [Signature]
 Transfusion started: Date 4/26/11 Time 06:44

"Transfuse in spite of temperature elevation" order is required if patient temperature is greater than 100°F.

Pre transfusion	BP	P	T	RR
15 minutes after start	BP	P	T	RR
Post transfusion	BP	P	T	RR

POST TRANSFUSION DATA

Transfusion ended: Date 4/26/11 Time 07:00 Amount transfused 200 cc
 Did patient show symptoms of a transfusion reaction? ☐ Yes ☒ No

IF A REACTION IS SUSPECTED, THE FOLLOWING STEPS ARE TO BE TAKEN:

1. Stop the transfusion. Keep the IV access open with normal saline. Do not discard the bag and set.
2. Immediately notify the patient's physician.
3. Notify the Transfusion Service at 2-2671.
4. Refer to the Transfusion Reaction Investigation Report for further instructions.

PATIENT CHART COPY

**UNCROSSMATCHED
BLOOD**

MUSC
MEDICAL UNIVERSITY
OF SOUTH CAROLINA

Discovering. Understanding. Healing.



**Transfusion Service
Blood Tag**

TRANSFUSION

Name of Recipient TRAUMA, VICTIM PP		DOB [REDACTED]	Unit Number 36FE31001
Medical Record Number 2857049	Patient Location MUH 4PAV 4PAV		Product Type Red Cells, LKP
Date 04/26/2011	Time 03:52	Tech ID ALEXIS	Expiration Date 05/23/2011 23:59
Crossmatch Status ☐ Compatible ☐ Incompatible ☒ Uncrossmatched ☐ Not Required			Donor Blood Type B NEG
Special Donation ☐ Autologous ☐ Directed			Patient Blood Type B NEG
			Special Antigen Screening LKP

**RETURN BLOOD PRODUCT TO TRANSFUSION SERVICE
IMMEDIATELY IF TRANSFUSION IS DELAYED**

PRE TRANSFUSION DATA

- Patient ID band (check one): ☒ initially present ☐ applied prior to transfusion
- Verbal identification source (check one): ☐ patient ☐ family member ☐ staff member
- Blood identification verification (check each as performed):
☒ Blood product matches written order
☒ Patient ID band matches blood tag
☒ Blood product label matches blood tag

The two signatures below (one must be that of the transfusionist) certify the above information has been checked in the presence of the patient.

Signature [Signature] / Signature [Signature]
 Transfusion started: Date 4/26/11 Time 04:00

Transfuse in spite of temperature elevation order is required if patient temperature is greater than 100°F.

Pre transfusion	BP	P	T	RR
15 minutes after start	BP	P	T	RR
Post transfusion	BP	P	T	RR

POST TRANSFUSION DATA

Transfusion ended: Date 4/26/2011 Time 04:15 Amount transfused 300 cc
 Did patient show symptoms of a transfusion reaction? ☐ Yes ☐ No

IF A REACTION IS SUSPECTED, THE FOLLOWING STEPS ARE TO BE TAKEN:

- Stop the transfusion. Keep the IV access open with normal saline. Do not discard the bag and set.
- Immediately notify the patient's physician.
- Notify the Transfusion Service at 2-2671.
- Refer to the Transfusion Reaction Investigation Report for further instructions.

PATIENT CHART COPY

MUSC
MEDICAL UNIVERSITY
OF SOUTH CAROLINA

Discarding, Undermounting, Nocting.



TRANSFUSION

**Transfusion Service
Blood Tag**

Name of Recipient TRAUMA, VICTIM PP		DOB [REDACTED]	Unit Number 32H73905	
Medical Record Number 2657049		Patient Location MUH 4PAV 4PA		Product Type Red Cells, LKP
Date 04/26/2011	Time 04:04	Tech ID JOHNSONAD	Expiration Date 05/26/2011 23:59	
Crossmatch Status ☒ Compatible ☐ Incompatible ☐ Uncrossmatched ☐ Not Required			Donor Blood Type B NEG	Patient Blood Type B NEG
Special Donation ☐ Autologous ☐ Directed			Special Antigen Screening LKP	

**RETURN BLOOD PRODUCT TO TRANSFUSION SERVICE
IMMEDIATELY IF TRANSFUSION IS DELAYED**

PRE TRANSFUSION DATA

1. Patient ID band (check one): ☒ initially present ☐ applied prior to transfusion
2. Verbal identification source (check one): ☐ patient ☐ family member ☒ staff member
3. Blood identification verification (check each as performed):
☒ Blood product matches written order
☒ Patient ID band matches blood tag
☒ Blood product label matches blood tag

The two signatures below (one must be that of the transfusionist) certify the above information has been checked in the presence of the patient.

Signature [Signature] / Signature [Signature]
 Transfusion started: Date 4/26/2011 Time 04:00

"Transfuse in spite of temperature elevation" order is required if patient temperature is greater than 100°F.

Pre transfusion	BP	P	T	RR
15 minutes after start	BP	P	T	RR
Post transfusion	BP	P	T	RR

POST TRANSFUSION DATA

Transfusion ended: Date 4/26/2011 Time 0500 Amount transfused 300 cc
 Did patient show symptoms of a transfusion reaction? ☐ Yes ☒ No

IF A REACTION IS SUSPECTED, THE FOLLOWING STEPS ARE TO BE TAKEN:

1. Stop the transfusion. Keep the IV access open with normal saline. Do not discard the bag and set.
2. Immediately notify the patient's physician.
3. Notify the Transfusion Service at 2-2671.
4. Refer to the Transfusion Reaction Investigation Report for further instructions.

PATIENT CHART COPY

MUSC
MEDICAL UNIVERSITY
OF SOUTH CAROLINA

Discovering. Understanding. Healing.



TRANSFUSION

**Transfusion Service
Blood Tag**

Name of Recipient TRAUMA, VICTIM PP		DOB [REDACTED]	Unit Number 36LX84828	
Medical Record Number 2657049		Patient Location MUH 4PAV 4PA		Product Type Red Cells, LKP
Date 04/26/2011	Time 04:04	Tech ID JOHNSONAD	Expiration Date 05/25/2011 23:59	
Crossmatch Status ☒ Compatible ☐ Incompatible ☐ Uncrossmatched ☐ Not Required			Donor Blood Type B NEG	Patient Blood Type B NEG
Special Donation ☐ Autologous ☐ Directed			Special Antigen Screening LKP	

**RETURN BLOOD PRODUCT TO TRANSFUSION SERVICE
IMMEDIATELY IF TRANSFUSION IS DELAYED**

PRE TRANSFUSION DATA

- Patient ID band (check one): ☒ initially present ☐ applied prior to transfusion
- Verbal identification source (check one): ☐ patient ☐ family member ☒ staff member
- Blood identification verification (check each as performed):
☒ Blood product matches written order
☒ Patient ID band matches blood tag
☒ Blood product label matches blood tag

The two signatures below (one must be that of the transfusionist) certify the above information has been checked in the presence of the patient.

Signature *[Signature]* / Signature *[Signature]*
 Transfusion started: Date 4/26/2011 Time 0430

"Transfuse in spite of temperature elevation" order is required if patient temperature is greater than 100°F.

Pre transfusion	BP	P	T	RR
15 minutes after start	BP	P	T	RR
Post transfusion	BP	P	T	RR

POST TRANSFUSION DATA

Transfusion ended: Date 4/26/2011 Time 0440 Amount transfused 300 cc
 Did patient show symptoms of a transfusion reaction? ☐ Yes ☒ No

IF A REACTION IS SUSPECTED, THE FOLLOWING STEPS ARE TO BE TAKEN:

- Stop the transfusion. Keep the IV access open with normal saline. Do not discard the bag and set.
- Immediately notify the patient's physician.
- Notify the Transfusion Service at 2-2671.
- Refer to the Transfusion Reaction Investigation Report for further instructions.

PATIENT CHART COPY

**UNCROSSMATCHED
BLOOD**

HY04FMS04



MUSC
MEDICAL UNIVERSITY
OF SOUTH CAROLINA

Discovering. Understanding. Healing.

**Transfusion Service
Blood Tag**

"TRANSFUSION"

Name of Recipient TRAUMA, VICTIM PP		DOB [REDACTED]	Unit Number 32GJ09125	
Medical Record Number 2657049		Patient Location MUH 4PAV 4PAV		Product Type Red Cells, LKP
Date 04/26/2011	Time 03:52	Tech ID ALEXIS	Expiration Date 05/09/2011 23:59	
Crossmatch Status ☐ Compatible ☐ Incompatible ☒ Uncrossmatched ☐ Not Required			Donor Blood Type B NEG	Patient Blood Type B NEG
Special Donation ☐ Autologous ☐ Directed			Special Antigen Screening LKP	

**RETURN BLOOD PRODUCT TO TRANSFUSION SERVICE
IMMEDIATELY IF TRANSFUSION IS DELAYED.**

PRE TRANSFUSION DATA

1. Patient ID band (check one): ☐ initially present ☐ applied prior to transfusion
2. Verbal identification source (check one): ☐ patient ☐ family member ☐ staff member
3. Blood identification verification (check each as performed):
☒ Blood product matches written order
☒ Patient ID band matches blood tag
☒ Blood product label matches blood tag

The two signatures below (one must be that of the transfusionist) certify the above information has been checked in the presence of the patient.

Signature *[Signature]* / Signature *[Signature]*
 Transfusion started: Date 4/26/11 Time 04:05

"Transfuse in spite of temperature elevation" order is required if patient temperature is greater than 100°F.

Pre transfusion	BP	<i>See Anesthesia Record</i>	RR
15 minutes after start	BP	P	RR
Post transfusion	BP	P	RR

POST TRANSFUSION DATA

Transfusion ended: Date 4/26/2011 Time 04:05 Amount transfused 300 cc
 Did patient show symptoms of a transfusion reaction? ☐ Yes ☒ No

IF A REACTION IS SUSPECTED, THE FOLLOWING STEPS ARE TO BE TAKEN:

1. Stop the transfusion. Keep the IV access open with normal saline. Do not discard the bag and set.
2. Immediately notify the patient's physician.
3. Notify the Transfusion Service at 2-2671.
4. Refer to the Transfusion Reaction Investigation Report for further instructions.

PATIENT CHART COPY

GOODWIN, DAVON
 MUSC
 IV Administration Report
 FROM: 04/26/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 03:07
 OPT OUT:

Page: 1

Order#	IV Type	Sched Type	Start Dt/Tm	Sched Dt/Tm	End Dt/Tm
2	IV	Routine	04/26/11 04:56	04/26/11 05:00	04/28/11 15:22

Detail - Bottle Type: 0 Begin Vol: 100 ML I/O Label: Propofol

PROPOFOL (PMX) 1000 MG

(10mg/ml)

>>NURSING: HIGH ALERT!!<< *FINAL CONCENTRATION = 10 MG/ML* **PREMIX-DO NOT ADD
 ANYTHING TO BAG** TUBING AND ANY UNUSED PORTION OF PROPOFOL MUST BE DISCARDED
 AFTER 12 HOURS DOCUMENT TUBING CHANGE DATE: TIME: INITIALS: TITRATE Q 5

Admin Dt/Tm	Bot#	Act	Site	Rate/Units	Dose/Units	Charted By/At
04/26 06:50	1-0	Start	antL	0 ML/HR	0 mcg/kg/min	SMT5 04/26 06:50
04/26 12:32	1-0	End	antL	0 ML/HR	0 mcg/kg/min	LAA 04/26 12:32
Wasted Amt: 100 ml						
04/26 12:32	2-0	Start	antL	0 ML/HR	0 mcg/kg/min	LAA 04/26 12:32
04/26 13:28	2-0	End	antL	0 ML/HR	0 mcg/kg/min	LAA 04/26 13:28
Wasted Amt: 100 ml						
04/26 13:28	3-0	Start	antL	0 ML/HR	0 mcg/kg/min	LAA 04/26 13:28
04/26 18:04	3-0	End	antL	0 ML/HR	0 mcg/kg/min	LAA 04/26 18:05
Wasted Amt: 100 ml						
04/26 18:04	4-0	Start	antL	0 ML/HR	0 mcg/kg/min	LAA 04/26 18:05
04/27 05:28	4-0	End	antL	0 ML/HR	0 mcg/kg/min	JLP 04/27 05:28
Wasted Amt: 100 ml						
04/27 05:28	5-0	Start	antL	0 ML/HR	0 mcg/kg/min	JLP 04/27 05:28
04/27 20:42	5-0	End	antL	0 ML/HR	0 mcg/kg/min	LZIM 04/27 20:42
Wasted Amt: 100 ml						
04/27 20:42	6-0	Start	antL	0 ML/HR	0 mcg/kg/min	LZIM 04/27 20:42
04/28 05:46	6-0	End	antL	0 ML/HR	0 mcg/kg/min	TMW1 04/28 05:46
Wasted Amt: 100 ml						
04/28 05:46	7-0	Start	antL	0 ML/HR	0 mcg/kg/min	TMW1 04/28 05:46
04/28 12:08	7-0	End	antL	0 ML/HR	0 mcg/kg/min	SACT 04/28 12:08
Wasted Amt: 100 ml						

Order#	IV Type	Sched Type	Start Dt/Tm	Sched Dt/Tm	End Dt/Tm
3	IV	Routine	04/26/11 04:56	04/26/11 05:00	04/29/11 17:30

Detail - Bottle Type: 0 Begin Vol: 50 ML I/O Label: Fentanyl

FENTANYL (PF) (PMX) 2.5 MG

(0.05mg/ml)

>>NURSING: HIGH ALERT!!<< ***CLIPBOARD MEDICATION*** *FINAL CONCENTRATION = 50
 MICROGRAMS/ML* DO NOT EXCEED MAXIMUM DOSAGE MICROGRAMS/HR TOTAL INFUSION + B
 OLUSES.

Admin Dt/Tm	Bot#	Act	Site	Rate/Units	Dose/Units	Charted By/At
04/26 06:50	1-0	Start	antL	0.5 ML/HR	25 mcg/hr	SMT5 04/26 06:50
04/26 18:41	1-0	End	antL	0.5 ML/HR	25 mcg/hr	LAA 04/26 18:41
Wasted Amt: 50 ml						
04/26 18:41	2-0	Start	antL	0.5 ML/HR	25 mcg/hr	LAA 04/26 18:41
04/27 13:02	2-0	End	antL	0.5 ML/HR	25 mcg/hr	BCAR 04/27 13:03
Wasted Amt: 50 ml						
04/27 13:02	3-0	Start	antL	0.5 ML/HR	25 mcg/hr	BCAR 04/27 13:02
04/27 20:36	3-0	End	antL	0.5 ML/HR	25 mcg/hr	TMW1 04/27 20:36
Wasted Amt: 50 ml						
04/27 20:36	4-0	Start	antL	0.5 ML/HR	25 mcg/hr	LZIM 04/27 20:36
04/28 05:46	4-0	End	antL	0.5 ML/HR	25 mcg/hr	TMW1 04/28 05:46
Wasted Amt: 50 ml						
04/28 05:46	5-0	Start	antL	0.5 ML/HR	25 mcg/hr	TMW1 04/28 05:46
04/29 22:06	5-0	End	antL	0.5 ML/HR	25 mcg/hr	JAC1 04/29 22:06
Wasted Amt: 50 ml						

Order#	IV Type	Sched Type	Start Dt/Tm	Sched Dt/Tm	End Dt/Tm
4	IV	Routine	04/26/11 05:22	04/26/11 06:00	04/27/11 11:30

Detail - Bottle Type: 0 Begin Vol: 1000 ML I/O Label: Lactated Ringers

LACTATED RINGERS 1000 ML

... Until discontinued

Admin Dt/Tm	Bot#	Act	Site	Rate/Units	Dose/Units	Charted By/At
04/26 06:50	1-0	Start	upaL	150 ML/HR		SMT5 04/26 06:50
04/26 12:32	1-0	End	upaL	150 ML/HR		LAA 04/26 12:32
Wasted Amt: 1000 ml						
04/26 12:32	2-0	Start	upaL	150 ML/HR		LAA 04/26 12:32

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - IV Administration Report
 ROOM: *1070-A* Page: 1

PERM

GOODWIN, DAVON
MUSC
IV Administration Report
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 06/05/11 03:07
OPT OUT:

Page: 2

Order#	IV Type	Sched Type	Start Dt/Tm	Sched Dt/Tm	End Dt/Tm
4	IV	Routine	04/26/11 05:22	04/26/11 06:00	04/27/11 11:30

Admin Dt/Tm	Bot#	Act	Site	Rate/Units	Dose/Units	Charted By/At
04/26 13:28	2-0	End	upaL	150 ML/HR		LAA 04/26 13:28
Wasted Amt: 1000 ml						
04/26 13:28	3-0	Start	upaL	150 ML/HR		LAA 04/26 13:29
04/26 18:04	4-0	Start	upaL	150 ML/HR		LAA 04/26 18:05
04/26 18:46	4-0	End	upaL	150 ML/HR		LAA 04/26 18:46
Wasted Amt: 1000 ml						
04/26 10:46	5-0	Start	upaL	150 ML/HR		LAA 04/26 10:46
04/27 12:37	5-0	End	upaL	150 ML/HR		BCAR 04/27 12:37
Wasted Amt: 1000 ml						
04/27 16:48	3-0	End	upaL	150 ML/HR		BCAR 04/27 16:48
Wasted Amt: 1000 ml						

Order#	IV Type	Sched Type	Start Dt/Tm	Sched Dt/Tm	End Dt/Tm
5	IV	Routine	04/26/11 05:33	04/26/11 05:32	05/01/11 09:59

Detail - Bottle Type: 1 Begin Vol: 100 ML I/O Label: Insulin
SODIUM CHLORIDE 0.9% 100 ML + INSULIN REGULAR 100 UNITS
(unit/ml)
>>NURSING: HIGH ALERT!!<< TARGET GLUCOSE RANGE 80-110 MG /DL ** STARTING MULT
IPLIER 0.03

Detail - Bottle Type: 3 Begin Vol: 100 ML I/O Label: Insulin
SODIUM CHLORIDE 0.9% 100 ML + INSULIN REGULAR 100 UNITS
(unit/ml)
>>NURSING: HIGH ALERT!!<< TARGET GLUCOSE RANGE 80-110 MG /DL ** STARTING MULT
IPLIER 0.03

Admin Dt/Tm	Bot#	Act	Site	Rate/Units	Dose/Units	Charted By/At
04/26 06:50	1	Start	antL	0 ML/HR	0 unit/hr	SMT5 04/26 06:50
04/28 12:31	1	End	antL	0 ML/HR	0 unit/hr	SACT 04/28 12:32
Wasted Amt: 100 ml						
04/28 12:32	3	Start	antL	0 ML/HR	0 unit/hr	SACT 04/28 12:32
05/01 11:15	3	End	antL	0 ML/HR	0 unit/hr	SFS1 05/01 11:15
Wasted Amt: 100 ml						

Order#	IV Type	Sched Type	Start Dt/Tm	Sched Dt/Tm	End Dt/Tm
6	IV	Routine	04/26/11 06:57	04/26/11 07:00	04/27/11 11:30

Detail - Bottle Type: 0 Begin Vol: 1000 ML I/O Label: Lactated Ringers
LACTATED RINGERS 1000 ML
...CC PER CC REPLACEMENT OF WOUND VAC OUTPUT - Q4H UNTIL DISCONTINUED

Admin Dt/Tm	Bot#	Act	Site	Rate/Units	Dose/Units	Charted By/At
04/26 12:32	1-0	Start	armR	0 ML/HR		LAA 04/26 12:33
04/26 13:28	1-0	End	armR	0 ML/HR		LAA 04/26 13:29
Wasted Amt: 1000 ml						

Order#	IV Type	Sched Type	Start Dt/Tm	Sched Dt/Tm	End Dt/Tm
7	IV	Routine	04/27/11 11:32	04/27/11 13:00	04/29/11 12:59

Detail - Bottle Type: 1 Begin Vol: 1000 ML I/O Label: D5W/NaCl.45%+KCl
D5W-NACL 0.45% & KCl 20MEQ (PMX) 1000 ML
PREMIX-DO NOT ADD ANYTHING TO BAG CONTINUOUS INFUSIONS WITH ELECTRO- LYTE
ADDITIVES MUST BE RENEWED EVERY 48 HOURS. IV ACCESS = PERIPHERAL; UNTIL DISCO
NTINUED

Detail - Bottle Type: 2 Begin Vol: 1000 ML I/O Label: D5W/NaCl.45%+KCl
D5W-NACL 0.45% & KCl 20MEQ (PMX) 1000 ML
PREMIX-DO NOT ADD ANYTHING TO BAG CONTINUOUS INFUSIONS WITH ELECTRO- LYTE
ADDITIVES MUST BE RENEWED EVERY 48 HOURS. IV ACCESS = PERIPHERAL; UNTIL DISCO
NTINUED

Detail - Bottle Type: 3 Begin Vol: 1000 ML I/O Label: D5W/NaCl.45%+KCl
D5W-NACL 0.45% & KCl 20MEQ (PMX) 1000 ML
PREMIX-DO NOT ADD ANYTHING TO BAG CONTINUOUS INFUSIONS WITH ELECTRO- LYTE
ADDITIVES MUST BE RENEWED EVERY 48 HOURS. IV ACCESS = PERIPHERAL; UNTIL DISCO
NTINUED

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - IV Administration Report
ROOM: *1070-A*

PERM

GOODWIN, DAVON
 MUJCO
 IV Administration Report
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657040
 REQUESTED: 05/05/11 03:07
 OPT OUT:
 Page: 3

Order#	IV Type	Sched Type	Start Dt/Tm	Sched Dt/Tm	End Dt/Tm
7	IV	Routine	04/27/11 11:32	04/27/11 13:00	04/29/11 12:59

Detail - Bottle Type: 4 Begin Vol: 1000 ML I/O Label: D5W/NaCl.45%+KCl
 D5W-NAACL 0.45% & KCl 20MEQ (PMX) 1000 ML
 PREMIX-DO NOT ADD ANYTHING TO BAG CONTINUOUS INFUSIONS WITH ELECTRO- LYTE
 ADDITIVES MUST BE RENEWED EVERY 48 HOURS. IV ACCESS - PERIPHERAL; UNTIL DISCO
 NTINUED

Detail - Bottle Type: 5 Begin Vol: 1000 ML I/O Label: D5W/NaCl.45%+KCl
 D5W-NAACL 0.45% & KCl 20MEQ (PMX) 1000 ML
 PREMIX-DO NOT ADD ANYTHING TO BAG CONTINUOUS INFUSIONS WITH ELECTRO- LYTE
 ADDITIVES MUST BE RENEWED EVERY 48 HOURS. IV ACCESS - PERIPHERAL; UNTIL DISCO
 NTINUED

Admin Dt/Tm	Bot#	Act	Site	Rate/Units	Dose/Units	Charted By/At
04/27 12:37	1	Start	flaL	125 ML/HR		BCAR 04/27 12:37
04/27 20:36	1	End	flaL	125 ML/HR		LEIM 04/27 20:36
Wasted Amt: 1000 ml						
04/27 20:36	2	Start	flaL	125 ML/HR		LEIM 04/27 20:36
04/28 04:56	2	End	flaL	125 ML/HR		TMW1 04/28 04:56
Wasted Amt: 1000 ml						
04/28 04:56	3	Start	flaL	125 ML/HR		TMW1 04/28 04:56
04/28 12:08	3	End	flaL	125 ML/HR		SACT 04/28 12:08
Wasted Amt: 1000 ml						
04/28 12:08	4	Start	flaL	125 ML/HR		SACT 04/28 12:08
04/28 18:57	4	End	flaL	125 ML/HR		SACT 04/28 18:58
Wasted Amt: 1000 ml						
04/28 18:58	5	Start	flaL	125 ML/HR		SACT 04/28 18:58
04/29 13:17	5	End	flaL	125 ML/HR		SACT 04/29 13:18
Wasted Amt: 1000 ml						

Order#	IV Type	Sched Type	Start Dt/Tm	Sched Dt/Tm	End Dt/Tm
8	IV	Routine	04/29/11 20:27	04/29/11 21:00	04/30/11 07:14

Detail - Bottle Type: 1 Begin Vol: 1000 ML I/O Label: D5W/NaCl.45%+KCl
 D5W-NAACL 0.45% & KCl 20MEQ (PMX) 1000 ML
 PREMIX-DO NOT ADD ANYTHING TO BAG CONTINUOUS INFUSIONS WITH ELECTRO- LYTE
 ADDITIVES MUST BE RENEWED EVERY 48 HOURS. IV ACCESS - PERIPHERAL; UNTIL DISCO
 NTINUED

Admin Dt/Tm	Bot#	Act	Site	Rate/Units	Dose/Units	Charted By/At
04/29 22:06	1	Start	fraL	125 ML/HR		JAC1 04/29 22:06
04/30 09:46	1	End	fraL	125 ML/HR		JLNO 04/30 09:46
Wasted Amt: 1000 ml						

Order#	IV Type	Sched Type	Start Dt/Tm	Sched Dt/Tm	End Dt/Tm
9	IV	Routine	04/30/11 15:44	04/30/11 15:42	05/02/11 09:26

Detail - Bottle Type: 1 Begin Vol: 1000 ML I/O Label: D5W/NaCl.45%+KCl
 D5W-NAACL 0.45% & KCl 20MEQ (PMX) 1000 ML
 PREMIX-DO NOT ADD ANYTHING TO BAG CONTINUOUS INFUSIONS WITH ELECTRO- LYTE
 ADDITIVES MUST BE RENEWED EVERY 48 HOURS. IV ACCESS - PERIPHERAL; UNTIL DISCO
 NTINUED

Detail - Bottle Type: 2 Begin Vol: 1000 ML I/O Label: D5W/NaCl.45%+KCl
 D5W-NAACL 0.45% & KCl 20MEQ (PMX) 1000 ML
 PREMIX-DO NOT ADD ANYTHING TO BAG CONTINUOUS INFUSIONS WITH ELECTRO- LYTE
 ADDITIVES MUST BE RENEWED EVERY 48 HOURS. IV ACCESS - PERIPHERAL; UNTIL DISCO
 NTINUED

Admin Dt/Tm	Bot#	Act	Site	Rate/Units	Dose/Units	Charted By/At
05/01 08:43	2	Start	antL	100 ML/HR		SPS1 05/01 08:44
05/01 20:40	1	Start	antL	100 ML/HR		JAC1 05/01 20:40
05/01 20:40	2	End	antL	100 ML/HR		JAC1 05/01 20:40
Wasted Amt: 1000 ml						
05/03 10:58	1	Admin	antL	50 ML/HR		COS0 05/03 10:58

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - IV Administration Report
 ROOM: *1070-A* Page: 3

PERM

GOODWIN, DAVON
 MUSC
 IV Administration Report
 FROM: 04/26/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:07
 OPT OUT:

Page: 4

Care Providers:

BCAR CARPENTER, BROOKE, RN
 COSO SLOSARSKI, COREY, RN
 JACI COTTLE, JEAN A, RN
 JENO NORMAN, JILL L, RN
 JLP PETERSON, JENNIFER L, RN
 LAA ADAMS, LESLIE A, RN
 LEIM ZIMMERMAN, LAURA, RN
 SACT NIREWICZ, SARAH A, RN
 SMT5 MCGLYNN, STEPHANIE, RN
 SPS1 SHEFTON, SENACA P, RN
 TMW1 WOMACK, TASSI N, RN

LAST PAGE

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - IV Administration Report
 ROOM: *1070-A* Page: 4



Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 4 of 4

SACT NIREWCZ, SARAH A, RN
JAC1 COTTE, JEAN A, RN
JLNO NORMAN, JILL L, RN
SSPU SPURR, SARAH, RN

GOODWIN, DAVON
MUSC
Medication Administration Report
FROM: 04/28/11 03:18 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002857040
REQUESTED: 05/05/11 03:05
OPT OUT:

Page: 2

* see end of page for Administration Note

■ see end of page for Not-Given reason

HOLD: ~~04/28/11 03:18~~

		04/28/11 Day:4					04/29/11 Day:5					04/30/11 Day:6				
start/stop	ord	11	15	19	23	03	11	15	19	23	03	11	15	19	23	03

SCHEDULED MEDICATIONS**ENOXAPARIN INJ (LOVENOX)**

30 MG/0.3 ML SYRG SC EVERY 12 HOURS	04/29 09:00 05/04 14:05	16					08:15 SACT			21:10 JAC1			07:57 JLNO		21:12 JAC1	

FAMOTIDINE (PF)

20 MG/2 ML INJ IV EVERY 12 HOURS	04/28 21:00 05/04 14:05	8	09:22 SACT		21:08 SSPU		08:15 SACT		21:10 JAC1		07:57 JLNO		21:12 JAC1			
M:DOSAGE FORM ALERT - FOR INJECTION FOR IV PUSH-DILUTE WITH 5 TO 10 ML SODIUM C; FLORIDE 0.9% PRIOR TO ADMINISTRATION																

OPHTHALMIC LUBRICANT (ARTIFICIAL TEARS)

1 RIBBON OINT EYE_BOTH TWICE DAILY	04/26 08:30 04/29 17:30	3	08:00 SACT													

Oxymetazoline 0.05% NASAL (Decongestant NASAL SPRAY)

2 SPRAY SPRY NASL EVERY 12 HOURS	04/30 17:04 05/02 21:01	21											18:33 JLNO	21:12 JAC1		
M:congestion																

PROTEIN SUPPLEMENT (PRO-STAT 64)

30 ML LIQ JT DAILY	04/30 08:30 05/04 14:05	17											14:16 JLNO			
M:**OBTAIN FROM DIETARY** TO ADMINISTER VIA ENTERAL TUBE: FLUSH TUBE WITH 30ML ; WATER. ADMINISTER 30ML DOSE WITH ORAL SYRINGE. FLUSH TUBE AFTER ADMINISTRATION; ION WITH 30ML WATER **DO NOT MIX WITH OTHER MEDS OR FORMULAS**																

PRN MEDICATIONS**DEXTROSE 50% IN WATER INJ (DEXTROSE 50% IN WATER (D50W))**

25 GM/50 ML SYRG IV ONCALL	04/26 05:32 05/04 14:05	7														
7.5 GM IV ONCALL	04/26 05:32 05/04 14:05	7											13:32 JLNO			
M:FOR HYPOGLYCEMIA IF FSBG IS LESS THAN 70 MG/DL: 15 ML (7.5 GRAMS) FOR BLOOD ; GLUCOSE 60 - 69 MG/DL 20 ML (10 GRAMS) FOR BLOOD GLUCOSE 50 - 59 MG/DL 25 M; L (12.5 GRAMS) FOR BLOOD GLUCOSE 30 - 49 MG/DL 30 ML (15 GRAMS) FOR BLOOD ; GLUCOSE LESS TH																

ENOXAPARIN INJ (LOVENOX)

04/29 08:15 SACT * S:DELTOID R
04/29 21:10 JAC1 * S:LOWER ABD QUAD R
04/30 07:57 JLNO * S:LOWER ABD QUAD L
04/30 21:12 JAC1 * S:LOWER ABD QUAD R

OPHTHALMIC LUBRICANT (ARTIFICIAL TEARS)

04/28 21:08 SSPU ■ Clinical decision
04/29 08:15 SACT ■ Clinical decision * pt awake and blinking

DEXTROSE 50% IN WATER INJ (DEXTROSE 50% IN WATER (D50W))

04/30 13:32 JLNO * musc policy

ACTIVE ALLERGIES: NKA

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB: - Medication Administration Report
ROOM: *1070-A*

Page: 2

PERM

Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 2 of 7

JLNO NORMAN, JILL L, RN
JLW1 WOLFE, JESSICA L, RN
GRW WADFORD, GAYLE R, RN
SACT NIREWCZ, SARAH A, RN
SSPU SPURR, SARAH, RN
JAC1 COTTLE, JEAN A, RN

GOODWIN, DAVON
MUSC

Medication Administration Report
FROM: 04/28/11 03:18 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495854253 MR: 002857040
REQUESTED: 05/05/11 03:06
OPT OUT:

Page: 3

* see end of page for Administration Note

■ see end of page for Not-Given reason

HOLD: DISCONTINUED

		04/28/11 Day:4					04/29/11 Day:5					04/30/11 Day:6						
	start/stop	ord	11	15	19	23	03	11	15	19	23	03	11	15	19	23	03	
Hydromorphone																		
0.2-0.4 MG/0.1-0.2 ML IV EVERY 2 HOURS AS NEEDED	04/30 11:07 05/03 12:25	19																
0.4 MG IV	04/30 11:07 05/03 12:25	19											11:23 JLNO			01:21 JLW1		
0.2 MG IV	04/30 11:07 05/03 12:25	19											14:36 JLNO					
M:ALERT! THIS IS *NOT* MORPHINE! *FOR:BREAKTHROUGH PAIN																		
METOPROLOL TARTRATE																		
5 MG/5 ML INJ IV EVERY 4 HOURS AS NEEDED	04/30 15:45 05/04 14:05	20												16:27 JLNO				
M:For SBP >170																		
Ondansetron (PF) (Ondansetron HCl (PF))																		
4 MG/2 ML INJ IV EVERY 6 HOURS AS NEEDED	04/29 23:45 05/04 14:05	18											09:06 JLNO	16:04 GRW				
M: *FOR:NAUSEA AND VOMITING																		
OXYCODONE ORAL																		
5-10 MG/5-10 ML LIQ JT EVERY 4 HOURS AS NEEDED	04/28 15:22 05/03 12:25	15																
10 MG JT	04/28 15:22 05/03 12:25	15			17:59 SACT	22:17 SSPU		05:49 SSPU	10:48 SACT		16:07 SACT	20:09 JAC1	00:05 JAC1	04:07 JAC1	07:57 JLNO	13:08 JLNO	18:04 JLNO	06:21 JAC1
M:PLEASE DISPENSE ELIXIR FORM *FOR:MODERATE PAIN																		

ACTIVE ALLERGIES: NKA

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495854253 DOB: - Medication Administration Report
ROOM: *1070-A*

Page: 3

PERM

Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 3 of 7

SPS1 SHEFTON, SENACA P, RN
JAC1 COTTLE, JEAN A, RN
MP04 PASCARELLA, MEJUSSA, RN
DLRO ROBERTS, DUSTI L, RN
COS0 SLUSARSKI, COREY, RN
TMM2 MARTUCCI, TIFFANY, RN
RPG0 GOLUB, RACHEL P, RN

GOODWIN, DAVON
MUSC
Medication Administration Report
FROM: 04/26/11 03:18 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 16Y SEX: M : LEON, STUART M
DOB: ID: 405854253 MR: 002857040
REQUESTED: 05/05/11 03:06
OPT OUT:

* see end of page for Administration Note

■ see end of page for Not-Given reason

NOT: ~~XXXXXXXXXX~~

		05/01/11 Day:7					05/02/11 Day:8					05/03/11 Day:9				
start/stop	ord	11	15	19	23	03	11	15	19	23	03	11	15	19	23	03

SCHEDULED MEDICATIONS

ENOXAPARIN INJ (LOVENOX)

30 MG/0.3 ML SYRG SC EVERY 12 HOURS	04/26 09:00 05/04 14:05	16	08:22 SPS1 *		20:39 JAC1 *		08:24 MP04 *		19:59 DLRO *		08:40 COS0 *		21:15 TMM2 *	

FAMOTIDINE (PF)

20 MG/2 ML INJ IV EVERY 12 HOURS	04/26 21:00 05/04 14:05	8	08:22 SPS1 *		20:39 JAC1 *		08:24 MP04 *		19:59 DLRO *		08:40 COS0 *		21:15 TMM2 *	
M:DOSAGE FORM ALERT - FOR INJECTION FOR IV PUSH-DILUTE WITH 5 TO 10 ML SODIUM C; FLORIDE 0.9% PRIOR TO ADMINISTRATION														

INSULIN ASPART (NOVOLOG)

2-10 UNIT/0.02-0.1 ML SC EVERY 4 HOURS	05/01 12:00 05/04 14:05	22												
0 UNIT SC	05/01 12:00 05/04 14:05	22												
2 UNIT SC	05/01 12:00 05/04 14:05	22			16:52 SPS1 *	20:39 JAC1 *		12:11 MP04 *						
4 UNIT SC	05/01 12:00 05/04 14:05	22												04:04 TMM2 *
M:>>NURSING: HIGH ALERT!!<< MEDIUM DOSE(TDDI 40-80 UNITS INSULIN/DAY)FSBG:141-1; 70 MG/DL=2 UNITS FSBG:171-220 MG/DL=4 UNITS FSBG:221-270 MG/DL=6 UNITS FSBG: 271-320 MG/DL=8 UNITS FSBG>320 MG/DL=10 UNITS														

ENOXAPARIN INJ (LOVENOX)

05/01 08:22 SPS1 * S:ARM R
05/01 20:39 JAC1 * S:LOWER ABD QUAD R
05/02 08:24 MP04 * S:LOWER ABD QUAD L
05/02 19:59 DLRO * S:LOWER ABD QUAD R
05/03 08:40 COS0 * S:UPPER ABD QUAD R

INSULIN ASPART (NOVOLOG)

05/01 11:57 SPS1 ■ No insulin required
05/01 23:30 JAC1 ■ No insulin required
05/02 04:24 JAC1 ■ No insulin required
05/02 08:16 MP04 ■ No insulin required
05/02 16:15 MP04 ■ No insulin required
05/02 19:07 MP04 ■ No insulin required
05/02 23:02 DLRO ■ No insulin required * 126
05/03 03:00 DLRO ■ No insulin required
05/03 07:58 COS0 ■ No insulin required
05/03 12:10 COS0 ■ No insulin required * fs138
05/03 15:50 COS0 ■ No insulin required * fs 125
05/03 21:14 TMM2 ■ No insulin required
05/04 01:06 RPG0 ■ No insulin required
05/01 16:52 SPS1 * S:ARM R
05/01 20:39 JAC1 * S:ARM R
05/02 12:11 MP04 * S:ARM L
05/04 04:04 TMM2 * S:ARM R

ACTIVE ALLERGIES: NKA

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495854253 DOB: - Medication Administration Report
ROOM: *1070-A*

Page: 4



SPS1 SHEFTON, SENACA P, RN
JAC1 COTTLE, JEAN A, RN
MP04 PASCALELLA, MELISSA, RN
DLRO ROBERTS, DUSTI L, RN
COS0 SLUSARSKI, COREY, RN

GOODWIN, DAVON
MUSC
Medication Administration Report
FROM: 04/28/11 03:18 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495854253 MR: 002857040
REQUESTED: 05/05/11 03:06
OPT OUT:

Page: 5

* see end of page for Administration Note

■ see end of page for Not-Given reason

		05/01/11 Day:7					05/02/11 Day:8					05/03/11 Day:9				
start/stop	ord	11	15	19	23	03	11	15	19	23	03	11	15	19	23	03

Oxymetazoline 0.05% NASAL (Decongestant NASAL SPRAY)

2 SPRAY SPRY NASL
EVERY 12 HOURS

04/30 17:04	08:22			20:38		08:24		19:28								
05/02 21:01	21	SPS1		JAC1		MP04		DLRO								
M: congestion																

PROTEIN SUPPLEMENT (PRO-STAT 84)

30 ML LIQ JT
DAILY

04/30 08:30				11:57				10:33						08:40		
05/04 14:06	17			SPS1				MP04						COS0		
M:**OBTAIN FROM DIETARY** TO ADMINISTER VIA ENTERAL TUBE: FLUSH TUBE WITH 30ML : WATER. ADMINISTER 30ML DOSE WITH ORAL SYRINGE. FLUSH TUBE AFTER ADMINISTRATION WITH 30ML WATER **DO NOT MIX WITH OTHER MEDS OR FORMULAS**																

PRN MEDICATIONS**Hydromorphone**

0.2-0.4 MG/0.1-0.2 ML IV
EVERY 2 HOURS AS NEEDED
0.4 MG IV

04/30 11:07	19															
05/03 12:25																
04/30 11:07	07:02		17:45		x2		10:33		15:34	x2	x3	x2		11:04		
05/03 12:25	19	JAC1	SPS1				MP04		MP04					COS0		
M:ALERT! THIS IS *NOT* MORPHINE! *FOR: BREAKTHROUGH PAIN																

METOPROLOL TARTRATE

5 MG/5 ML INJ IV
EVERY 4 HOURS AS NEEDED

04/30 15:45				11:57				14:14				23:02	10:22	18:08		
05/04 14:06	20			SPS1				MP04				DLRO	COS0	COS0		
M:For SBP >170																

Ondansetron (PF) (Ondansetron HCl (PF))

4 MG/2 ML INJ IV
EVERY 6 HOURS AS NEEDED

04/29 23:45		07:02		18:39		23:53		08:43								
05/04 14:06	18	JAC1		SPS1		JAC1		MP04								
M: *FOR: NAUSEA AND VOMITING																

OXYCODONE ORAL

5-10 MG/5-10 ML LIQ JT
EVERY 4 HOURS AS NEEDED

04/28 15:22																
05/03 12:25	15															

Oxymetazoline 0.05% NASAL (Decongestant NASAL SPRAY)
05/02 19:59 DLRO * It is 2001. Within time frame for admin.

Hydromorphone

05/01 23:53 JAC1 *
05/02 02:03 JAC1 *
05/02 19:07 MP04 *
05/02 21:01 DLRO *
05/02 23:02 DLRO *
05/03 00:50 DLRO *
05/03 03:00 DLRO *
05/03 04:54 DLRO *
05/03 06:46 DLRO *

ACTIVE ALLERGIES: NKA

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495854253 DOB: - Medication Administration Report
ROOM: *1070-A*

Page: 5

PRM

SPS1 SHEFTON, SENACA P, RN
 JAC1 COTTLE, JEAN A, RN
 MP04 PASCARELLA, MELISSA, RN
 DLRO ROBERTS, DUSTI L, RN
 COS0 SLUSARSKI, COREY, RN
 MEGSSTONE, MEGAN E, RN
 RPOGOLUB, RACHEL P, RN
 TMM2 MARTUCCI, TIFFANY, RN

GOODWIN, DAVON
 MUSC
 Medication Administration Report
 FROM: 04/28/11 03:18 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002857040
 REQUESTED: 05/05/11 03:05
 OPT OUT:

Page: 6

* see end of page for Administration Note

■ see end of page for Not-Given reason

■ see end of page for Not-Given reason		05/01/11 Day:7					05/02/11 Day:8					05/03/11 Day:9						
~~HOLD~~	~~DISCONTINUED~~	start/stop	ord	11	15	19	23	03	11	15	19	23	03	11	15	19	23	03
(See Previous Page) OXYCODONE ORAL																		
10 MG JT	04/28 15:22	15			15:21		23:19	04:24	08:24	12:11	17:09	21:01		04:54	08:43			
	05/03 12:25					SPS1		JAC1	JAC1	MP04	MP04	MP04	DLRO		DLRO	COS0		
5 MG JT	04/28 15:22	15												00:50				
	05/03 12:25													DLRO				
M:PLEASE DISPENSE ELIXIR FORM *FOR:MODERATE PAIN																		
5-15 MG/5-15 ML LIQ JT EVERY 3 HOURS AS NEEDED	05/03 12:24	27																
	05/04 14:05																	
15 MG JT	05/03 12:24	27												12:46	X2			
	05/04 14:05														COS0			
5 MG JT	05/03 12:24	27														22:08	01:07	04:04
	05/04 14:05																MEGS	RPGCT
10 MG JT	05/03 12:24	27																06:44
	05/04 14:05																	
M:PLEASE DISPENSE ELIXIR FORM, ADMINISTER IN JT *FOR:PAIN																		

OXYCODONE ORAL

05/03 15:33 COS0 *

05/03 18:13 COS0 *

ACTIVE ALLERGIES: NKA

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB: [REDACTED] - Medication Administration Report
 ROOM: *1070-A*

Page: 6



Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 6 of 7

MKWI WITTENMEYER, MARIANNE K, RN

GOODWIN, DAVON

MUSC

Medication Administration Report

FROM: 04/28/11 03:18 TO: 05/04/11 16:07

ROOM: *1070-A* ADM: 04/28/11 03:18

AGE: 18Y SEX: M : LEON, STUART M

DOB: ID: 495654253 MR: 002857040

REQUESTED: 05/05/11 03:06

OPT OUT:

Page: 7

* see end of page for Administration Note

■ see end of page for Not-Given reason

HOLD: ~~DISCONTINUED~~

05/04/11 Day:10					
start/stop	ord	11	15	19	23 03

SCHEDULED MEDICATIONS**INSULIN ASPART (NOVOLOG)**2-10 UNIT/0.02-0.1 ML SC
EVERY 4 HOURS

0 UNIT SC

05/01 12:00	22								
05/04 14:05	22								
05/01 12:00	22								
05/04 14:05	22								
M->>NURSING: HIGH ALERT!!<< MEDIUM									
DOSE(TDDI 40-80 UNITS									
INSULIN/DAY)FSBG:141-1; 70 MG/DL=2 UNITS									
FSBG:171-220 MG/DL=4 UNITS FSBG:221-270									
MG/DL=6 UNITS FSBG: 271-320 MG/DL=8									
UNITS FSBG>320 MG/DL=10 UNITS									

INSULIN ASPART (NOVOLOG)

05/04 08:17 MKWI ■ No insulin required

ACTIVE ALLERGIES: NKA

LAST PAGE

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB: XXXXXXXXXX - Medication Administration Report
ROOM: *1070-A*

Page: 7

PRM

Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 7 of 7



MUSC
MEDICAL UNIVERSITY
OF SOUTH CAROLINA

'RESUSCITATE'

Cardiopulmonary Resuscitation Event Form

Form Origination Date: 9/07

Version: 1

Version Date: 9/07

Patient Name
MRN

P:495654263 B M
GOODWIN
DAVON
Adm:04/28/11 M:2657049

PATIENT IDENTIFICATION LABEL

Date 5/4/11 Time 0827 Location 1070 Wt kg 2-333 called ☒ Yes ☐ No Time 0823 Primary team notified: ☒ Yes ☐ No Time Chelside

Witnessed ☒ Yes ☐ No Patient Conscious at Onset ☐ Yes ☒ No ☐ Unknown Monitors present at onset ☐ EKG ☒ SaO₂ ☐ Apnea ☐ N/A

Type of Event ☐ Respiratory ☒ Respiratory leading to Cardiopulmonary ☐ Cardiopulmonary Resuscitation event ended at 0845 (Time)

Reason event ended: ☐ Return of circulation ☐ Return of ventilation ☐ DNR ☐ Unresponsive to ALS ☐ Family request/restrictions Outcome Status ☒ Death ☐ Alive, in same location ☐ Alive, transferred to

Resuscitated from full cardiopulmonary arrest? Consider hypothermia

Airway/Ventilation
Initial ☐ Spontaneous ☐ Apnea ☐ Agonal ☒ Assisted

Time of first assisted ventilation 0827

Ventilation type ☐ Mouth to Mouth ☐ Mouth / Mask ☐ BVM ☒ Ambu / ET

Existing Airway ☐ ETT ☐ Tracheotomy

Airway inserted ☒ ETT ☐ LMA Other

ETT intubation Time 0830 Size 7.0 @ 23cm

By whom Anesthesia

Secondary confirmation ☒ Auscultation ☒ End-tidal CO₂

Circulation
Initial rhythm PEA

Time chest compressions started 0825

Rhythm when chest compressions started PEA

Return of circulation time N/A

AED applied ☒ Yes ☐ No ☐ N/A Time, if applied

AED shock ☐ Advised ☐ Delivered

Pacemaker ☒ None ☐ Permanent ☐ Transcutaneous ☐ Epicardial

☐ Transvenous ☐ Transesophageal Settings:

Team Members

Anesthesia

MD Mayday Leader

Mayday team RN

Patient's nurse

Pharmacist

Respiratory Therapist

Recorder

Name (print)

Patrick Fillman

Laura Johnson

Marianna Whitmore

Stephanie Withenmyer

Chris Larimore

Annika Price

Time	Compressions performed	Ventilations performed	Pulse	Respirations	Blood pressure	Oxygenation	Rhythm	Heart rate	Actions / Narrative	Defibrillation / Cardioversion Joules	Epinephrine mg	Atropine mg	Vasopressin units	Amiodarone mg	Sodium Bicarb mEq	Calcium Chloride mg	Lidocaine mg
0827	☒ Yes ☐ No	☒ Yes ☐ No					PEA										
0830	☒ Yes ☐ No	☒ Yes ☐ No					PEA		Intubated, cordis placed								
0831	☒ Yes ☐ No	☒ Yes ☐ No					PEA		labs drawn								
0832	☒ Yes ☐ No	☒ Yes ☐ No					PEA		NS running								
0835	☒ Yes ☐ No	☒ Yes ☐ No					PEA										
0837	☒ Yes ☐ No	☒ Yes ☐ No					PEA										
0840	☒ Yes ☐ No	☒ Yes ☐ No					PEA										
0845	☒ Yes ☐ No	☒ Yes ☐ No					PEA		code called, pt expired								
	☐ Yes ☐ No	☐ Yes ☐ No															
	☐ Yes ☐ No	☐ Yes ☐ No															
	☐ Yes ☐ No	☐ Yes ☐ No															
	☐ Yes ☐ No	☐ Yes ☐ No															
	☐ Yes ☐ No	☐ Yes ☐ No															
	☐ Yes ☐ No	☐ Yes ☐ No															
	☐ Yes ☐ No	☐ Yes ☐ No															

Recorder signature Jane Johnson
Fax completed form to Risk Management at 2-5119
option

Date / time 5/4/11

Physician signature / Pager ID Dr. 139

Date / time 5-4-11

Page 1 of 1
OTE 900439 Rev. 9/07

Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 1 of 1

GOODWIN, DAVON
MUSC
Interdisciplinary Plan Of Care
FROM: 04/28/11 02:56 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 1

FLWSHEET	04/26	04/27
Cardiovascular	06:58 17:05 23:12	15:35 17:05
Cardiovascular	initiated	met/ongoing
HemodynStability	in progress	in progress
EffectivHeartRyth	in progress	in progress
O2 meets demands	in progress	in progress
RegainHemostasis	in progress	in progress
Avoids DVT	in progress	in progress
NeedAchvWellness	understands dx	understands dx undTherapRegime need demo skills
Perform assess	active	active
Pt/family ed	cond specific	cond specific
Monit clin data	active	active
Monit lab data	active	active
Comm findings	active	active
Med admin	monit rx	as ordered monit rx
AssessDrains/Dsg	patency drainage char	patency security drainage char
Eval chest pain	active	active
Circulation	evaluate	monitor evaluate
Admin IV fluids	active	active
Impl restriction	fluid diet	fluid diet
Edema	eval riskFactors	eval riskFactors
Bleeding	avoid sknBrkdwn	avoid sknBrkdwn
Admin bld prod	eval riskFactors	eval riskFactors
Clot formation	prev/control bld	prev/control bld
	active	active
	eval riskFactors	eval riskFactors
	prev clot form	prev clot form
Schedule care	promote rest conserve energy	promote rest conserve energy
Comfort	06:58 17:05 23:12	15:35 17:05
Comfort	initiated	met/ongoing
Health Care Prob	pain itching fever/chills sleep problems	pain
Comfort Outcomes	safePainRelief control nausea control itching maint nrmtherm improved sleep understands dx undTherapRegime	safePainRelief
CARE PROVIDERS	LAA	JLP

ADAMS, LESLIE A(LAA)RN

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Interdisciplinary Plan Of Care
ROOM: *1070-A*

Page: 1

PERM

GOODWIN, DAVON
MUSC
Interdisciplinary Plan Of Care
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 49Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 2

FLWSHEET	04/26	04/27
Comfort-Cntl	06:59 17:05 23:12	15:35 17:06
Assess pain lvl		need demo skills
Med admin		regular intrvl useAppropScales useAppropDscrpt as ordered monit advEffects active patient family effective appropriate document w/in1hr change plan prn
InitiateNonRxInt Ed pain plan		regular intrvl useAppropScales useAppropDscrpt as ordered monit advEffects active patient family effective appropriate document w/in1hr change plan prn
Evaluate interv		
Coord svcs		pain management
Disch Planning	06:59 17:05 23:12	15:35 17:06
Disch Planning		initiated met/ongoing met/ongoing
Inadeq finances		
Perform assess Care Manager		active &
Contact Sworker		inadeq finances psySoc assess &
04/27/11 15:35 Care Manager(FS): s/p GSW - postop - in ICU - will have post op needs		
04/27/11 17:06 Contact Sworker(KDW0): Pt. is 19 yo s/p GSW; pt is self-pay; social worker to refer pt for MIAP completion.		
Func/Musculoskel	06:59 17:05 23:12	15:35 17:06
Health Care Prob		initiated met/ongoing
Baseline/ImpMob Tol active level Participates in		difficulty w/ADL diff w/mobility
Comm needs Tol adv PO diet Imp func ability Prog2NextLvlCare NeedAchvWellness		w/o contractures in progress ADL rehab POC in progress in progress in progress in progress understands dx undTherapRegime need demo skills
Perform assess Pt/family ed Set rehab goals Use,ed pt/family		w/o neurovascomp in progress ADL rehab POC in progress in progress in progress in progress understands dx undTherapRegime need demo skills
CARE PROVIDERS		active cond specific active therap technique assist devices LAA JLP FS KDW0

ADAMS, LESLIE A(LAA)RN
SIMMONS, FLORENCE(FS)NCM

GRAHAM, KESHA(KDW0)SOCIALWORK

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Interdisciplinary Plan Of Care
ROOM: *1070-A*

Page: 2

PERM

GOODWIN, DAVON
MUSC
Interdisciplinary Plan Of Care
FROM: 04/26/11 02:56 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M.: LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 3

FLWSHEET	04/26	04/27
Func/Musculoskel-Cont	06:59 17:05 23:12	15:35 17:08
Set comm method MD consults	active physical therapy Occup Therapy Speech Pathology	active physical therapy Occup Therapy Speech Pathology
Provide ROM MaintSafeEnviron	active active	active active
Impl aspir meas	active	active
Gastrointestinal	06:59 17:05 23:12	15:35 17:08
Gastrointestinal	initiated	met/ongoing
Regain GI func	in progress	in progress
Resolve GI bld	in progress	in progress
EffectvBowelElim	in progress	in progress
Bowel continence	in progress	in progress
Heal mucousMem	in progress	in progress
Perform assess Pt/family ed Monit clin data Monit lab data Comm findings Med admin	active cond specific active active medical staff as ordered monit rx patency security drainage char	active cond specific active active medical staff as ordered monit rx patency security drainage char
AssessDrains/Dsg	active	active
Weigh daily Monit emesis bld Monit stool bld Admin IV fids Resp enteralFeed Environ for elim	active active active active active promote comfort independence privacy	active active active active active promote comfort independence privacy
Promote max fld	active	active
MucousMem lesion	oral hygiene	
Genitourinary	06:59 17:05 23:12	15:35 17:08
Genitourinary	initiated	met/ongoing
Fld,lyte balance	in progress	in progress
Regain renalFunc	in progress	in progress
EffectvElimPatrn	in progress	in progress
BladderContinenc	in progress	in progress
NeedAchvWellness	understands dx undTherapRegime	understands dx undTherapRegime need demo skills
Perform assess Pt/family ed	active cond specific	active disease specific cond specific
Monit clin data	active	active
CARE PROVIDERS	LAA	JLP

ADAMS, LESLIE A(LAA)RN

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Interdisciplinary Plan Of Care
ROOM: *1070-A* Page: 3

PERM

GOODWIN, DAVON
MUSC
Interdisciplinary Plan Of Care
FROM: 04/26/11 02:56 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 19Y GEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 4

FLWSHEET	04/26	04/27
Genitourinary-Cont.	06:59 17:05 23:12 15:35 17:05	
Monit lab data Comm findings Med admin Weigh daily Monit strict I&O Admin IV fids Impl fidRestrict Environ for elim	active medical staff as ordered monit rx active active active active promote comfort independence privacy	active medical staff as ordered monit rx active active active active promote comfort independence privacy
AssessDrains/Dsg	security drainage char	patency security drainage char
Neuro/EENT	06:59 17:05 23:12 15:35 17:05	
Neuro/EENT	initiated	met/ongoing
Mgmt to prevent Attain Prevent compl NeedAchvWellness	brain injury dysfunction optimal orient increase memory decr confusion neurovascComprom avoidsInjSensImp understands dx undTherapRegime need demo skills	optimal orient increase memory decr confusion
Perform assess Pt/family ed NeuroEENT chgs Monit clin data Monit lab data Comm findings Med admin AssessDrains/Dsg MeasMinimizeRisk ID/MaintPatterns	active cond specific identify changes report findings active active active as ordered patency security drainage char seizures neuro compromise sleep medication use elimination food intake self care daily routine LAA	active cond specific identify changes report findings active active active as ordered monit rx patency security drainage char neuro compromise
CARE PROVIDERS	LAA	JLP

ADAMS, LESLIE A(LAA)RN

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Interdisciplinary Plan Of Care
ROOM: *1070-A*

Page: 4

PERM

GOODWIN, DAVON
MUSC
Interdisciplinary Plan Of Care
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 6

FLWSHEET	04/26	04/27
Neuro/EENT-Cont	06:59 17:05 23:12 15:35 17:08	
Reorient using		repetition verbal cues visual cues memory aids
Use Adapt Equip		active
Nutrition	06:59 17:05 23:12 15:35 17:08	
Nutrition		initiated met/ongoing
Tol diet regime Bld glucose lvl NeedAchvWellness		in progress 80-110 undTherapRegime need demo skills
Monit clin & lab		weight pre-albumin leve blood glucose
Ed pt/family		modified diet nutrition interv oral health
Meas improve PO Adv diet as tol		active active
Admin PN or TF		active
Psy/Spirit/Ed	06:59 17:05 23:12 15:35 17:08	
PsySpiritEd Eval	initiated	met/ongoing
Respiratory	06:59 17:05 23:12 15:35 17:08	
Respiratory		initiated met/ongoing
Attain optimalO2 AttainOptimalCO2 Patency airway NeedAchvWellness		in progress in progress in progress understands dx need demo skills
Perform assess Pt/family ed		active cond specific
Monit clin data Monit lab data Comm findings		active active medical staff Resp Therapy as ordered monit rx
Med admin		active active patency security
Admin IV fids Monit integ CT AssessDrains/Dsg		active active active active active active
Admin O2 therapy Admin mech vent CARE PROVIDERS	GMH LAA JLP	

ADAMS, LESLIE A(LAA)RN

HUGGINS, GERIG M(GMH)CHAPLAIN

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Interdisciplinary Plan Of Care
ROOM: *1070-A*

PERM

GOODWIN, DAVON
MUSC
Interdisciplinary Plan Of Care
FROM: 04/26/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 6

FLWSHEET	04/26		04/27	
Respiratory Cont.	06:59	17:05	23:12	15:35 17:08
Artificial Airway		placement	placement	
Manage Secretions		patency	patency	
Impl measures		security	security	
Encourage PO fid		active	active	
Smoke Cess interv		pulmonary rehab	pulmonary rehab	
		resp musc func	resp musc func	
		active	active	
Safety	06:59	17:05	23:12	15:35 17:08
Safety		initiated	met/ongoing	
		met/ongoing		
		not met		
		resolved/dc'd		
		unable to assess		
Avoid injury r/t		risk factors	risk factors	
Avoid infection		prior deficits	prior deficits	
Need Achv Wellness		in progress	in progress	
		understands dx	understands dx	
		undTherapRegime	undTherapRegime	
Restraint use		need demo skills	need demo skills	
		avoid injury	avoid injury	
		avoid discomfort	avoid discomfort	
Impl safety Prec		aspiration	limited mobility	
		fall risk	bleeding	
		limited mobility		
		seizure		
		bleeding		
Pt/family ed		active	active	
Monit lab data		active	active	
Comm findings		active	active	
Monit medTherapy		response	response	
		therap medLevel	therap medLevel	
Monit compl		dx interventions	dx interventions	
		therap interv	therap interv	
Monit s/s infect		active	active	
MinRisknosocomInf		active	active	
Approp isol prec		active	active	
Impl fallProtoc		active	active	
Ed r/t restraint		patient	patient	
		family	family	
MinRestraint use		active	active	
Notify family		patient consents	patient consents	
		restraint use	restraint use	
CARE PROVIDERS		LAA	JLP	

ADAMS, LESLIE A(LAA)RN

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Interdisciplinary Plan Of Care
ROOM: *1070-A* Page: 6

PERM

GOODWIN, DAVON
MUSC
Interdisciplinary Plan Of Care
FROM: 04/26/11 02:56 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUISITED: 05/05/11 00:00
OPT OUT:

Page: 7

FLWSHEET	04/26	04/27
Skin/Wound	06:58 17:05 23:12	15:35 17:08
Skin/Wound	initiated	met/ongoing
Risk skBreakdwn Alt skinIntegrt	in progress incision wound	in progress wound
Maint skin integ Prog healing of NeedAchvWellness	in progress incision wound understands dx undTherapRegime need demo skills	in progress wound understands dx undTherapRegime need demo skills
SknBreakdownPrev Reduce/reel press	active prev mode/bed heel protect/bed use pillows heels elevated heel boots prn ReposAtLeast q4h use cushion	active prev mode/bed heel protect/bed use pillows heels elevated heel boots prn ReposAtLeast q4h use cushion
ReduceFric/sheer	prev mode/bed heel protect/bed lowest degreeHOB elevate knees elevate foot/bed	prev mode/bed heel protect/bed lowest degreeHOB elevate knees elevate foot/bed
ReduceRelSkMoist	useDevicesMovePt use moistBarrier avoid diaper use	useDevicesMovePt contain incont use moistBarrier avoid diaper use
ReduceRel drySkin	use moistCream noMassageBonyPr	use moistCream noMassageBonyPr
Encourage PO fid Pt/fam ed skin	active prev breakdown tx breakdown	active prev breakdown tx breakdown
Alt skinIntegrt	assess size assess depth assess drainage assess woundBase assess periwound change dsgr consult woundRN other (specify)	assess size assess depth assess drainage assess woundBase assess periwound change dsgr
Diabetes	06:58 17:05 23:12	15:35 17:08
Diabetes	initiated	
Attain HgbA1C<9% AttainBG>70&<200 MaintanBGon/Viic ConstCarb inDiet CARE PROVIDERS	in progress in progress in progress in progress LAA	in progress in progress in progress in progress JLP

ADAMS, LESLIE A(LAA)RN

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Interdisciplinary Plan Of Care
ROOM: *1070-A* Page: 7

PERM

GOODWIN, DAVON
MUSC
Interdisciplinary Plan Of Care
FROM: 04/26/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 8

FLWSHEET	04/26			04/27	
Diabetes Cont.	06:59	17:05	23:12	15:35	17:08
Understands		diagnosis			
Verbliz/demnsrt		theraputicRegimns			
Support Resource		knowledg of diet			
EducationResourc		SurvivlLvKwldg			
		useOf insulinPump			
		in progress			
		in progress			
MontrA1CasOrdrd		active			
MontrFSBGasOrdrd		active			
Adm InsPerPolicy		active			
OrdApropDiabDiet		active			
InitiatRDeconsult		A1C>9%			
InitiatCDEconsult		A1C>9%			
PerfrmDiabAssess		bld gluc monitor			
Use Pt/Fam Ed		active			
SafeUseOfinsPump		consult DMS/CDE			
		complete orders			
RefrDiabMgtWksht		active			
CARE PROVIDERS		LAA			

ADAMS, LESLIE A(LAA)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Interdisciplinary Plan Of Care
ROOM: *1070-A*

PERM

GOODWIN, DAVON
MUSC
Interdisciplinary Plan Of Care
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 9

Flowsheet	04/27	04/28	04/29	04/30
Cardiovascular	18:45	20:15	09:44	20:47
Cardiovascular	met/ongoing	met/ongoing	met/ongoing	met/ongoing
HemodynStability	in progress	in progress		
EffectvHeartPyth	in progress	in progress		
O2 meets demands	in progress	in progress		
RegainHemostasis	in progress	in progress		
Avoids DVT	in progress	in progress		
NeedAchvWellness	understands dx undTherapRegime	understands dx undTherapRegime		
Perform assess	active	active		
Pt/family ed				
Monit clin data	active	active		
Monit lab data	active	active		
Comm findings	active	active		
Med admin	as ordered	as ordered		
AssessDrains/Dsg	monit rx patency security	monit rx patency security		
Eval chest pain	drainage char			
Circulation	active	active		
Admin IV fluids	monitor	monitor		
Impl restriction	evaluate	evaluate		
Edema	active	active		
Bleeding	fluid	fluid		
Admin bld prod	diet	diet		
Clot formation	eval riskFactors	eval riskFactors		
	avoid sknBrkdwn	avoid sknBrkdwn		
	eval riskFactors	eval riskFactors		
	prev/control bld	prev/control bld		
	active	active		
	eval riskFactors	eval riskFactors		
	prev clot form	prev clot form		
Schedule care	promote rest			
	conserve energy			
Comfort	18:45	20:15	09:44	20:47
Comfort	met/ongoing	met/ongoing	met/ongoing	met/ongoing
Health Care Prob	pain	pain		
Comfort Outcomes	safePainRelief	safePainRelief		
Assess pain lvi	understands dx undTherapRegime	understands dx undTherapRegime		
Med admin	regular intrvl	regular intrvl		
InitiateNonFxlnt	useAppropScales	useAppropScales		
CARE PROVIDERS	useAppropDscrptr	useAppropDscrptr		
	as ordered	as ordered		
	monit advEffects	monit advEffects		
	active	active		
	BCAR	TMW1	SACT	SSPU
				JAC1

CARPENTER, BROOKE(BCAR)RN
SPURR, SARAH(SSPU)RN

COTTE, JEAN A(JAC1)RN
WOMACK, TASSI M(TMW1)RN

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Interdisciplinary Plan Of Care
ROOM: *1070-A*

Page: 9

PERM

GOODWIN, DAVON
MUSC
Interdisciplinary Plan Of Care
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 10

FLWSHEET	04/27	04/28	04/30
Comfort/Gait	18:48	20:15	09:44
Ed pain plan	patient family effective appropriate document w/in1hr change plan prn	patient family effective appropriate document w/in1hr	patient family effective appropriate document w/in1hr
Evaluate interv			
Disch Planning	18:48	20:15	09:44
Disch Planning	met/ongoing	met/ongoing	met/ongoing
Inadeq finances	active	active	
Perform assess Care Manager	active	active complex d/c need	active
Contact Sworker	inadeq finances		
Func/Musculoskel	18:48	20:15	09:44
Func/Musculoskel	met/ongoing	met/ongoing	met/ongoing
Health Care Prob	difficulty w/ADL diff w/mobility	difficulty w/ADL diff w/mobility	difficulty w/ADL diff w/mobility
Baseline/ImpMob	w/o contractures w/o neurovascomp in progress	w/o contractures w/o neurovascomp in progress	
Tol active level Participates in	ADL rehab POC in progress	ADL rehab POC in progress	in progress
Comm needs	in progress	in progress	in progress
Tol adv PO diet	in progress	in progress	in progress
Imp func ability	in progress	in progress	in progress
Prog2NextLvCare	in progress	in progress	in progress
NeedAchvWellness	understands dx undTherapRegime	understands dx undTherapRegime	understands dx undTherapRegime need demo skills
Perform assess Pt/family ed Set rehab goals Use,ed pt/family	active cond specific active therap technique assist devices	active cond specific active therap technique	active cond specific active therap technique assist devices
Set comm method MD consults	active physical therapy Speech Pathology &	active physical therapy	active physical therapy
Provide ROM MaintSafeEnviron	active active	active active	active active
Impl aspir meas	active	active	active
04/27/11 18:48 MD consults(BCAR): when extubated			
CARE PROVIDERS	BCAR	TMW1	SACT
CARPENTER, BROOKE(BCAR)RN	COTTLE, JEAN A(JAC1)RN	NIREWICZ, SARAH A(SACT)RN	
SPURR, SARAH(SSPU)RN	WOMACK, TASSI M(TMW1)RN		

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Interdisciplinary Plan Of Care
ROOM: *1070-A*

PERM

Page: 10

GOODWIN, DAVON
MUSC
Interdisciplinary Plan Of Care
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002857049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 11

FLWSHEET	04/27	04/28	04/30
Gastrointestinal	18:45	20:15	08:44 20:47 01:52
Gastrointestinal	met/ongoing	met/ongoing	met/ongoing
Regain GI func	in progress	in progress	in progress
Resolve GI bld	in progress		
EffectvBowelElim	in progress	in progress	in progress
Bowel continence	in progress	in progress	in progress
Heal mucousMem	in progress		
Perform assess	active	active	active
Pt/family ed	cond specific	cond specific	cond specific
Monit clin data	active	active	active
Monit lab data	active	active	active
Comm findings	medical staff	medical staff	medical staff
Med admin	as ordered	as ordered	as ordered
AssessDrains/Dsg	monit rx	monit rx	monit rx
	patency	patency	patency
	security	security	security
	drainage char	drainage char	drainage char
Weigh daily	active	active	active
Monit emesis bld	active	active	active
Monit stool bld	active	active	active
Admin IV fids	active	active	active
Resp enteralFeed	active	active	active
Environ for elim	promote comfort		promote comfort
	independence		independence
	privacy		privacy
Promote max fld	active	active	active
Genitourinary	18:45	20:15	08:44 20:47 01:52
Genitourinary	met/ongoing	met/ongoing	met/ongoing
Fld,lyte balance	in progress	in progress	
Regain renalFunc	in progress	in progress	
EffectvElimPatrtn	in progress	in progress	in progress
BladderContinenc	in progress	in progress	in progress
NeedAchvWellness	understands dx	understands dx	understands dx
	undTherapRegime	undTherapRegime	undTherapRegime
Perform assess	active	active	active
Pt/family ed	disease specific	disease specific	disease specific
	cond specific	cond specific	cond specific
Monit clin data	active	active	active
Monit lab data	active	active	active
Comm findings	medical staff	medical staff	medical staff
Med admin	as ordered	as ordered	as ordered
	monit rx	monit rx	monit rx
Weigh daily	active	active	active
Monit strict I&O	active	active	active
Admin IV fids	active	active	active
Impl fldRestrict	active	active	active
CARE PROVIDERS	BCAR	TMW1	SACT SSPU JAC1

CARPENTER, BROOKE(BCAR)RN
SPURR, SARAH(SSPU)RN

COTTLE, JEAN A(JAC1)RN
WOMACK, TASSI M(TMW1)RN

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB: [REDACTED] - Interdisciplinary Plan Of Care
ROOM: *1070-A*

Page: 11

PERM

GOODWIN, DAVON
MUSC
Interdisciplinary Plan Of Care
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
ACQUICSTED: 05/05/11 00:06
OPT OUT:

Page: 12

FLWSHEET	04/27	04/28	04/28	04/30
Genitourinary-Cont.	18:48	20:15	09:44	20:47
Environ for elim	promote comfort independence privacy	promote comfort		promote comfort independence privacy
AssessDrains/Dsg	patency security drainage char	patency security		patency security drainage char
Neuro/EENT	18:48	20:15	09:44	20:47
Neuro/EENT	met/ongoing	met/ongoing	met/ongoing	met/ongoing
Attain	optimal orient	optimal orient		optimal orient
Perform assess	increase memory			
Pt/family ed	decr confusion	active		active
NeuroEENT chgs	cond specific	cond specific		cond specific
	identify changes	identify changes		identify changes
	report findings	report findings		report findings
Monit clin data	active	active		active
Monit lab data	active	active		active
Comm findings	active	active		active
Med admin	as ordered	as ordered		as ordered
AssessDrains/Dsg	monit rx	monit rx		monit rx
	patency			
	security			
	drainage char			
MeasMinimizeRisk	neuro compromise			
Reorient using	repetition	repetition		
	verbal cues	verbal cues		
	visual cues	visual cues		
Nutrition	18:48	20:15	09:44	20:47
Nutrition	met/ongoing	met/ongoing	met/ongoing	met/ongoing
Tol diet regime	in progress	in progress		in progress
Bld glucose lv	80-110	80-110		80-110
NeedAchvWellness	undTherapRegime	undTherapRegime		
	need demo skills			
Monit clin & lab	pre-albumin leve	pre-albumin leve		
Ed pt/family	blood glucose	blood glucose		
	nutrition interv			
Meas improve PO	oral health	active		
Adv diet as tol	active			
Admin PN or TF	active			
Psy/SpiritEd	18:48	20:15	09:44	20:47
PsySpiritEd Eval	met/ongoing	met/ongoing	met/ongoing	met/ongoing
CARE PROVIDERS	BCAR	TMW1	SACT	SSPU
				JAC1

CARPENTER, BROOKE(BCAR)RN
SPURR, SARAH(SSPU)RN

COTTE, JEAN A(JAC1)RN
WOMACK, TASSI M(TMW1)RN

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Interdisciplinary Plan Of Care
ROOM: *1070-A*

Page: 12

PERM

GOODWIN, DAVON
MUSC
Interdisciplinary Plan Of Care
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 13

Flowsheet	04/27	04/28	04/28	04/30
Respiratory	18:48	20:15	08:44	20:47
Respiratory	met/ongoing	met/ongoing	met/ongoing	met/ongoing
Attain optimal O2	in progress	in progress	in progress	in progress
Attain Optimal CO2	in progress	in progress	in progress	in progress
Patency airway	in progress	in progress	in progress	in progress
Need Achv Wellness	understands dx undTherapRegime	understands dx undTherapRegime	understands dx undTherapRegime	understands dx undTherapRegime need demo skills
Perform assess Pt/family ed	active disease specific cond specific	active disease specific cond specific	active cond specific	active cond specific
Monit clin data	active	active	active	active
Monit lab data	active	active	active	active
Comm findings	medical staff Resp Therapy	medical staff Resp Therapy	medical staff Resp Therapy	medical staff
Med admin	as ordered monit rx	as ordered monit rx	as ordered monit rx	as ordered monit rx
Admin IV fids	active	active	active	active
Monit integ CT	active	active	active	active
Assess Drains/Dsg	patency security	patency security	patency security	patency security
Admin O2 therapy	drainage char	drainage char	drainage char	drainage char
Admin mech vent	active	active	active	active
Artificial Airway	active placement patency	active placement patency	active placement patency	active placement patency
Manage Secretions	security	security	security	security
Impl measures	active	active	active	active
Encourage PO fid	pulmonary rehab resp musc func	pulmonary rehab resp musc func	pulmonary rehab resp musc func	pulmonary rehab resp musc func
Smoke Cess interv	active	active	active	active
Safety	18:48	20:15	08:44	20:47
Safety	met/ongoing	met/ongoing	met/ongoing	met/ongoing
Avoid injury r/t	risk factors	risk factors	risk factors	risk factors
Avoid infection	prior deficits	prior deficits	prior deficits	prior deficits
Need Achv Wellness	in progress	in progress	in progress	in progress
Restraint use	understands dx undTherapRegime	understands dx undTherapRegime	understands dx undTherapRegime	understands dx undTherapRegime
Impl safety Prec	avoid injury	avoid injury	avoid injury	avoid injury
Pt/family ed	avoid discomfort	avoid discomfort	avoid discomfort	avoid discomfort
Monit lab data	bleeding	bleeding	bleeding	bleeding
Comm findings	active	active	active	active
Monit med Therapy	active	active	active	active
CARE PROVIDERS	response therap medLevel	response therap medLevel	response therap medLevel	response therap medLevel
	BCAR	TMW1	SACT	SSPU
				JAC1

CARPENTER, BROOKE (BCAR) RN
SPURR, SARAH (SSPU) RN

COTTE, JEAN A (JAC1) RN
WOMACK, TASSI M (TMW1) RN

NIREWICZ, SARAH A (SACT) RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Interdisciplinary Plan Of Care
ROOM: *1070-A*

Page: 13

PERM

GOODWIN, DAVON
MUSC
Interdisciplinary Plan Of Care
FROM: 04/28/11 02:56 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:19
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 14

FLWSHEET	04/27	04/28	04/30
Safety/Cont	18:48	20:15	09:44 20:47 01:52
Monit compl	dx interventions therap interv	dx interventions therap interv	
Monit s/s infect	active	active	
MinRisknoscomInf	active	active	
Approp isol prec	active	active	
Impl fallProtoc	active	active	
Ed r/t restraint	patient	patient	
MinRestraint use	family	family	
Notify family	active	active	
	patient consents restraint use	patient consents restraint use	
Skin/Wound	18:48	20:15	09:44 20:47 01:52
Skin/Wound	met/ongoing	met/ongoing	met/ongoing
Risk skBreakdwn	in progress	in progress	in progress
Alt skinIntegrt	wound	wound	incision
Maint skin integ	in progress	in progress	in progress
Prog healing of	wound	wound	incision
NeedAchvWellness	understands dx undTherapRegime	understands dx undTherapRegime	understands dx undTherapRegime
SknBreakdownPrev	active	active	active
Reduce/rel press	prev mode/bed	prev mode/bed	prev mode/bed
ReduceFric/sheer	use pillows	use pillows	ReposAtLeast q4h
ReduceRelSkMoist	prev mode/bed	prev mode/bed	prev mode/bed
ReduceRel drySkn	contain incont	contain incont	elevate knees
Encourage PO fld	use moistBarrier	use moistBarrier	contain incont
Pt/fam ed skin	use moistCream	use moistCream	use moistBarrier
	active	active	active
	prev breakdown	prev breakdown	prev breakdown
	tx breakdown	tx breakdown	tx breakdown
Alt skinIntegrt	change dsgrs		
Diabetes	18:48	20:15	09:44 20:47 01:52
Diabetes	met/ongoing	met/ongoing	met/ongoing
Attain HgbA1C<8%	in progress	in progress	in progress
AttainBG>70&<200	in progress	in progress	in progress
MaintanBGonIIVIC	in progress	in progress	in progress
ConstCarb inDiet	in progress	in progress	
Understands	diagnosis		
Support Resource	theraputicRegimns		
EducationResourc	in progress	in progress	in progress
MontrA1CasOrdrd	in progress		
MontrFSBGasOrdrd	active	active	active
Adm InsPerPolicy	active	active	active
OrdApropDiabDiet	active	active	active
CARE PROVIDERS	BCAR	TMW1	SACT SSPU JAC1

CARPENTER, BROOKE (BCAR) RN
SPURR, SARAH (SSPU) RN

COTTE, JEAN A (JAC1) RN
WOMACK, TASSI M (TMW1) RN

NIREWICZ, SARAH A (SACT) RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Interdisciplinary Plan Of Care
ROOM: *1070-A*

PERM

GOODWIN, DAVON
 MUSC
 Interdisciplinary Plan Of Care
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 15

FLWSHEET	04/27	04/28	04/30
Diabetes-Cont.	18:48	20:15	00:44
Use Pt/Fam Ed	active		
RefrDiabMgtWksht	active		
CARE PROVIDERS	BCAR		

CARPENTER, BROOKE(BCAR)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Interdisciplinary Plan Of Care
 ROOM: *1070-A*

Page: 15

PERM

GOODWIN, DAVON
MUSC
Interdisciplinary Plan Of Care
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 16

FLWSHEET	04/30	05/01
Cardiovascular	07:57 13:58 23:24	08:22 20:16
Cardiovascular	met/ongoing	met/ongoing met/ongoing met/ongoing
Comfort	07:57 13:58 23:24	08:22 20:16
Comfort	met/ongoing	met/ongoing met/ongoing met/ongoing
Disch Planning	07:57 13:58 23:24	08:22 20:16
Disch Planning	met/ongoing	met/ongoing met/ongoing met/ongoing
Func/Musculoskel	07:57 13:58 23:24	08:22 20:16
Func/Musculoskel	met/ongoing initiated &	met/ongoing met/ongoing met/ongoing
04/30/11 13:58 Func/Musculoskel(SLC): eval complete, working on bed mobility and sit to stand transfers, limited by pain		
Gastrointestinal	07:57 13:58 23:24	08:22 20:16
Gastrointestinal	met/ongoing	met/ongoing met/ongoing met/ongoing
Genitourinary	07:57 13:58 23:24	08:22 20:16
Genitourinary	met/ongoing	resolved/dc'ed
Neuro/EENT	07:57 13:58 23:24	08:22 20:16
Neuro/EENT	met/ongoing	met/ongoing met/ongoing met/ongoing
Nutrition	07:57 13:58 23:24	08:22 20:16
Nutrition	met/ongoing	met/ongoing met/ongoing met/ongoing
Psy/Spirit/Ed	07:57 13:58 23:24	08:22 20:16
PsySpiritEd Eval	met/ongoing	met/ongoing met/ongoing resolved/dc'ed
Respiratory	07:57 13:58 23:24	08:22 20:16
Respiratory	met/ongoing	met/ongoing met/ongoing met/ongoing
Safety	07:57 13:58 23:24	08:22 20:16
Safety	met/ongoing	met/ongoing met/ongoing met/ongoing
Impl fallProtoc	active	
Skin/Wound	07:57 13:58 23:24	08:22 20:16
Skin/Wound	met/ongoing	met/ongoing met/ongoing met/ongoing
Diabetes	07:57 13:58 23:24	08:22 20:16
Diabetes	met/ongoing	met/ongoing met/ongoing met/ongoing
CARE PROVIDERS	JLNO SLC	JAC1 SPS1 JAC1

CLAYTON, SHELLEY L(SLC)PT
SHEFTON, SENACA P(SPS1)RN

COTTLE, JEAN A(JAC1)RN

NORMAN, JILL L(JLNO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Interdisciplinary Plan Of Care
ROOM: *1070-A* Page: 16

PERM

GOODWIN, DAVON
 MUSC
 Interdisciplinary Plan Of Care
 FROM: 04/28/11 02:56 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 17

FLWSHEET	05/02	05/03
Cardiovascular	15:56	23:36 09:40
Cardiovascular	met/ongoing	met/ongoing met/ongoing
Comfort	15:56	23:36 09:40
Comfort	met/ongoing	met/ongoing met/ongoing
Disch Planning	15:56	23:36 09:40
Disch Planning	met/ongoing	met/ongoing met/ongoing
Perform assess		active
Func/Musculoskel	15:56	23:36 09:40
Func/Musculoskel	met/ongoing	met/ongoing met/ongoing
MaintSafeEnviron		active
Gastrointestinal	15:56	23:36 09:40
Gastrointestinal	met/ongoing	met/ongoing met/ongoing
Neuro/EENT	15:56	23:36 09:40
Neuro/EENT	not met	met/ongoing
Nutrition	15:56	23:36 09:40
Nutrition	met/ongoing	met/ongoing met/ongoing
Psy/Spirit/Ed	15:56	23:36 09:40
PsySpiritEd Eval	initiated	met/ongoing
Respiratory	15:56	23:36 09:40
Respiratory	met/ongoing	met/ongoing met/ongoing
Safety	15:56	23:36 09:40
Safety	met/ongoing	met/ongoing met/ongoing
Skin/Wound	15:56	23:36 09:40
Skin/Wound	met/ongoing	met/ongoing met/ongoing
Diabetes	15:56	23:36 09:40
Diabetes	resolved/dc'd	met/ongoing
CARE PROVIDERS	MP04	DLRO COSO

PASCARELLA, MELISSA(MP04)RN

ROBERTS, DUSTI L(DLRO)RN

SLUSARSKI, COREY(COSO)RN

LAST PAGE

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Interdisciplinary Plan Of Care
 ROOM: *1070-A* Page: 17

TERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 1

FLWSHEET	04/26				
Neuro/EENT	05:25	05:30	06:05	06:10	06:30
Reflexes present	present				
Abs of drainage	WNL				
Norm closure	WNL				
Abs of swelling	WNL				
PERLA		WNL			
Patent nares		WNL			
No bleed/disch		WNL			
ImplNeuroEENTPOC	done	done			
GCS eyes open	1=closed/swelling	1=no response			
GCS best verbal	1=tube/trach	1=tube/trach			
Best upper motor	4=flex/withdraw	4=flex/withdraw			
GCS total score	6	6			
Lvl of conscious	sedated	sedated			
Behavior/neuro		calm			
Disoriented		unable to assess			
Motor exam LUE		4=withdraws pain			
Motor exam RUE		4=withdraws pain			
Motor exam LLE		4=withdraws pain			
Motor exam RLE		4=withdraws pain			
MotorStrengthLUE		2=gravity elimin			
MotorStrengthRUE		2=gravity elimin			
MotorStrengthLLE		2=gravity elimin			
MotorStrengthRLE		2=gravity elimin			
Both pupilsShape		round			
Both pupilsReact		brisk			
Both pupils size		3mm			
Cardiovascular	05:25	06:30	06:05	06:10	06:30
Norm sinusRhythm		WNL			
Rate approp/age	WNL	WNL			
S1S2		WNL			
PalpPeriphPulses		WNL			
Skin warm, dry		WNL			
Approp skinColor		for race			
Cap refill<3 sec		WNL			
Alarms on	on	on			
Implement CV POC		done			
Rhythm		NSR			
GeneralizedEdema		+2			
AllPulsesPresent		present			
Respiratory	05:25	05:30	05:05	06:10	06:30
Spont respers		WNL			
NonLaboredEffort		WNL			
No cough,dyspnea		WNL			
Suction function		yes			
CARE PROVIDERS	MEAS	SMT5			

ASKEA, MEAGHAN E(MEAS)RN

MCGLYNN, STEPHANIE(SMT5)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

PERM

Page: 1

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/26/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 2

FLOWSHEET		04/26				
Respiratory-Cont.	05:25	05:30	06:05	06:10	06:30	
Ambu bag/mask		present				
Implmnt Resp POC		done				
BS all lobes		rhonchi				
ETT size		7.5				
Placement @ lip		24cm				
Vent mode		PRVC				
Rate		18bpm				
FiO2		40%				
TV		500mL				
PEEP		5cm				
Oral suction		done				
Oral secretions		bloody				
Oral-consistency		thick				
ETT suction		done				
ETT secretions						
04/26/11 05:30 ETT secretions(SMT5): none						
Gastrointestinal	05:25	05:30	06:05	06:10	06:30	
Normal dentition		WNL				
Last BM		prior to admit				
Implement GI POC		done				
BowelSndsAllQuad		absent				
Abdomen						
NGT location		right nare				
NGT suction		low continuous				
NGT drainage		coffee ground				
NGT Interv		placement check				
04/26/11 05:30 Abdomen(SMT5): open abdomen; S/P repair following gunshot wound; See Progress notes						
Genitourinary	05:25	05:30	06:05	06:10	06:30	
Adequate UOP		WNL				
ClearYellowUrine		WNL				
NoChgPatrn/Char		WNL				
NoAbn penile bid		WNL				
NoAbnPenileDisch		WNL				
Implement GU POC		done				
Catheter		foley				
Catheter size		16 FR				
DateCathInserted		04/26/2011				
CathInsertedPTA		yes				
Func/Muscle/Socket	05:25	05:30	06:05	06:10	06:30	
Impl FuncMusclePOC		done				
Weakness		generalized				
SCD site		leg L leg R				
SCD type		short leg				
CARE PROVIDERS		SMT5				

MCGLYNN, STEPHANIE(SMT5)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 2

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 3

FLOWSHEET		04/26				
Func/Musculoskeletal/Con	05:25	05:30	06:05	06:10	06:30	
SCD assess		on operational				
Speech		unable verbalize				
Skin	05:25	05:30	06:05	06:10	06:30	
Skin warm		WNL				
Skin dry& intact		WNL				
Color approp		to ethnicity				
Impl Skn/Wnd POC		done				
Sens perception		2=very limited				
Moisture		3=occasnlly moist				
Activity		1=bedfast				
Mobility		2=very limited				
Nutrition		2=prob adequate				
Friction & shear		2=poten.problem				
Total Score		12				
Implement POC if		score < 17				
SpBed DecisnTree		score 1or2 in any				
Other equipment		positioningWedge				
Comfort	05:25	05:30	06:05	06:10	06:30	
No c/o, no s/s of		chills fever itching nausea prob sleeping spasms sweating vomiting				
Impl Comfort POC		done				
Abnorm findings		pain				
Pain score		3 Wong-Baker (fac e)				
Pt relief goal		0-2				
Pain onset		ongoing				
Pain description		unable to descr				
Pain site(s)		unable to descr				
Comfort interv		cont infusion				
Observ/Treatmnts	05:25	05:30	06:05	06:10	06:30	
Alarms on		done				
Bath		done				
Mouth care		done				
Linen change		done				
Turn		back				
Side rails up		x3				
CARE PROVIDERS		SMT5				

MCGLYNN, STEPHANIE(SMT5)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 3

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/26/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 4

FLOWSHEET		04/26				
Observ/Treatment/Comp	05:25	05:30	06:05	06:10	06:30	
Passive ROM		done				
HOB > 30 deg		done				
Assess reviewed		done				
Nutrition	05:25	05:50	06:05	06:10	06:50	
Meal type		NPO				
Vascular Access	05:25	05:30	06:05	06:10	06:50	
Site#1 location		forearm R				
Insertion date		04/26/2011				
Gauge		#16				
Site#1 appear		secure				
Site#1 dressing		dry and intact				
Interventions		flushed(see MAR)				
		meds (see MAR)				
Site#2 location		wrist L				
Insertion date		04/26/2011				
Gauge		#18				
Site#2 appear		secure				
Site#2 dressing		dry and intact				
Interventions		flushed(see MAR)				
		meds (see MAR)				
Site#3 location		antecubital L				
Insertion date		04/26/2011				
Gauge		#16				
Site#3 appear		secure				
Site#3 dressing		dry and intact				
Interventions		flushed(see MAR)				
		meds (see MAR)				
Art line loc		radial R				
Insertion date		04/26/2011				
Site appearance		secure				
Site dressing		dry and intact				
Waveform		crisp				
Leveled, zeroed		done				
Safety	05:25	05:30	06:05	06:10	06:30	
Hospital ID band		on				
CallSystem access		done				
Bed in low posit		done				
Bed brakes on		done				
Bed alarms on		done				
Bed press relief		on				
IV sol verified		done				
IV tbg/bag dated		done				
High alertMedsID		done				
Imple SafetyPOC		done				
AspirationRisk		yes				
AspPrecautnMntn		done				
CARE PROVIDERS		SMT5				

MCGLYNN, STEPHANIE(SMT5)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 4

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
ACQUIRED: 05/05/11 00:00
OPT OUT:

Page: 6

FLWSHEET	04/26				
Safety-Cont.	05:25	05:30	06:05	06:10	06:30
InfectionHirisk		other (specify)			
&					
04/26/11 05:30 InfectionHirisk(SMT5): PIVs, Catheter, Vent, Open abdomen					
Morae Fall Risk	05:25	05:30	06:05	06:10	06:30
HxFall(w/in 3mo)		no=0			
Secondary dx		yes=15			
Ambulatory aid		None Bedrest=0			
IV or IV access		yes=20			
Gait		Norm BR w/c=0			
Mental status		OvrestFrgtLmt=15			
Total score		50			
Imple Safety POC		done			
Intact Incision	05:25	05:30	06:05	06:10	06:30
Incision#1 site		abdomen			
Incision#1 type		other (specify)			
Drain		other (specify)			
Incision#1 drAmt		moderate			
Incis#1 drainage		sanguinous			
Surrounding skin		intact			
Dsg chg sched					
Incision#1 dsg		other (specify)			
&					
04/26/11 05:30 Incision#1 type(SMT5): open abdomen; S/P repair following gunshot wound					
04/26/11 05:30 Drain(SMT5): vac pack to wall suction					
04/26/11 05:30 Dsg chg sched(SMT5): per MDs only					
04/26/11 05:30 Incision#1 dsg(SMT5): loband; VacPack					
Diab Flwsheet	05:25	05:30	06:05	06:10	06:30
Insulin multiplr			.03		
Misc Events	05:25	05:30	06:05	06:10	06:30
Misc events		&	&	&	&
04/26/11 05:25 Misc events(MEAS): Pt admitted from OR, placed on central monitor, MD at bedside, fluid bolus infusing for low BP.					
04/26/11 05:30 Misc events(SMT5): Complete assessment done; Hypotensive, otherwise VSS; Dr.Leon present at bedside and stated "Lets just give him as much fluid as he needs"; Three LR boluses infusing wide open; See vitals flowsheet; Labs sent and results pending					
04/26/11 06:10 Misc events(SMT5): AM labs reviewed; INR=2.27; MDs notified and orders to follow; Otherwise no critical or significant lab values to report					
04/26/11 06:30 Misc events(SMT5): Pt. mother and stepfather brought to bedside by chaplain; Instructions to ICU given, explanation of equipment given; Family very tearful and instructed when they were ready to ask questions, Pt. placed under a confidential name of "Brown"					
CARE PROVIDERS	MEAS	SMT5	SMT5	SMT5	SMT5

ASKEA, MEAGHAN E(MEAS)RN

MCGLYNN, STEPHANIE(SMT5)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 5

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 6

FLWSHEET	04/26				
Chart Review	06:45	06:50	07:00	07:05	07:15
Chart check q12h					done
Neuro/EENT	06:45	06:50	07:00	07:05	07:15
Reflexes present					
Abs of drainage					
Norm closure					
Abs of swelling					
PERLA					
Patent nares					
No bleed/disch					
ImplNeuroEENTPOC					
GCS eyes open					
GCS best verbal					
Best upper motor					
GCS total score					
Lvl of conscious					
Behavior/neuro					
Disoriented					
Motor exam LUE					
Motor exam RUE					
Motor exam LLE					
Motor exam RLE					
MotorStrengthLUE					
MotorStrengthRUE					
MotorStrengthLLE					
MotorStrengthRLE					
Both pupilsShape					
Both pupilsReact					
Both pupils size					
Cardiovascular	06:45	06:50	07:00	07:05	07:15
Norm sinusRhythm					
Rate approp/age					
S1S2					
PalpPeriphPulses					
Skin warm, dry					
Approp skinColor					
Cap refill<3 sec					
Alarms on					
Implement CV POC					
Rhythm					
GeneralizedEdema					
AllPulsesPresent					
CARE PROVIDERS					SMT5, {LAA}

ADAMS, LESLIE A(LAA)RN

MOGLYNN, STEPHANIE(SMT5)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 6

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 7

FLWSHEET	04/26				
Respiratory	06:45	06:50	07:00	07:05	07:15
NonLaboredEffort					
Suction function					
Ambu bag/mask					
Implmnt Resp POC					
BS all lobes					
ETT size					
Placement @ lip					
Vent mode					
Rate					
FIO2					
TV					
PEEP					
Oral suction					
Oral secretions					
Oral-consistency					
ETT suction					
ETT secretions					
Gastrointestinal	06:45	06:50	07:00	07:05	07:15
Normal dentition					
Last BM					
BowelSndsAllQuad					
Abdomen					
NGT location					
NGT suction					
NGT drainage					
NGT interv					
Genitourinary	06:45	06:50	07:00	07:05	07:15
Adequate UOP					
ClearYellowUrine					
NoChgPatrn/Char					
NoAbn penile bld					
NoAbnPenileDisch					
Implement GU POC					
Catheter					
Catheter size					
DateCathInserted					
CathInsertedPTA					
Func/Musculoskel	06:45	06:50	07:00	07:05	07:15
Impl FuncMuscPOC					
Weakness					
SCD site					
SCD type					
SCD assess					
Speech					

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 7

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE 18Y SEX M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 8

FLWSHEET	04/26				
Skin	06:45	06:50	07:00	07:05	07:15
Skin warm					
Skin dry& intact					
Color approp					
Impl Skn/Wnd POC					
Sens perception					
Moisture					
Activity					
Mobility					
Nutrition					
Friction & shear					
Total Score					
Implement POC If					
Other equipment					
Comfort	06:45	06:50	07:00	07:05	07:15
No c/o, no s/s of					
Impl Comfort POC					
Abnorm findings					
Pain score					
Comfort interv					
Observ/Treatments	06:45	06:50	07:00	07:05	07:15
Alarms on					
Bath					
Mouth care					
Linen change					
Turn					
Side rails up					
Passive ROM					
HOB > 30 deg					
Assess reviewed					
Nutrition	06:45	06:50	07:00	07:05	07:15
Meal type					
Vascular Access	06:45	06:50	07:00	07:05	07:15
Site#1 location					
Insertion date					
Gauge					
Site#1 appear					
Site#1 dressing					
Interventions					
Site#2 location					
Insertion date					
Gauge					
Site#2 appear					
Site#2 dressing					
Interventions					
Site#3 location					

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 8

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 9

FLWSHEET	04/26				
Vascular Access-Cont	06:45	08:50	07:00	07:05	07:15
Insertion date					
Gauge					
Site#3 appear					
Site#3 dressing					
Interventions					
Art line loc					
Insertion date					
Site appearance					
Site dressing					
Waveform					
Leveled, zeroed					
Moree Fall Risk	06:45	08:50	07:00	07:05	07:15
HxFall(w/in 3mo)					
Secondary dx					
Ambulatory aid					
IV or IV access					
Gait					
Mental status					
Total score					
Imple Safety POC					
Intact Incision	06:45	08:50	07:00	07:05	07:15
Incision#1 site					
Incision#1 type					
Drain					
Incision#1 drAmt					
Incis#1 drainage					
Surrounding skin					
Diab Flowsheet	06:45	08:50	07:00	07:05	07:15
Insulin multiplr			0.04		
Misc Events	06:45	08:50	07:00	07:05	07:15
Misc events		&	&		&
Report					(modified) &
04/26/11 06:45 Misc events(SMT5): Dr.Lundy and Dr.Rickey at bedside; Notified Dr.Lundy of bright red blood coming from Vac Pack to belly and asked if she would like another HGB soon, Dr.Lundy stated "No I do not want anymore labs"; Notified Dr.Perry and labs ordered					
04/26/11 08:50 Misc events(SMT5): 4 Units of FFP ordered Stat; 1st unit of FFP started					
04/26/11 07:05 Misc events(SMT5): 1st unit of FFP finished infusing; 2nd unit of FFP infusing					
04/26/11 07:15 Report(SMT5): Report given to Leslie RN; Notified Leslie that 2 more units of FFP still needed to be given; Transfer of pt. care					
CARE PROVIDERS	SMT5	SMT5	SMT5	SMT5	SMT5
MOGLYNN, STEPHANIE(SMT5)RN					

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/26/11 02:56 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002857049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 10

FLWSHEET	04/26				
Neuro/EENT	08:00	09:05	09:07	10:00	10:05
Reflexes present	present		present	present	
Abs of drainage	WNL				
Norm closure	WNL				
Abs of swelling	WNL		WNL		
PERLA	WNL				
Patent nares	WNL				
No bleed/disch	WNL				
ImplNeuroEENTPOC	done				
GCS eyes open	3=to verbal		3=to verbal	3=to verbal	
GCS best verbal	1=tube/trach		1=tube/trach	1=tube/trach	
Best upper motor	6=obeysVbCommand &		5=localizes pain	5=localizes pain	
GCS total score	10		9	9	
Lvl of conscious	sedated			sedated	
Behavior/neuro	calm			calm	
Disoriented	unable to assess			unable to assess	
Motor exam LUE	6=obeys commands			5=localizToPain	
Motor exam RUE	6=obeys commands			5=localizToPain	
Motor exam LLE	6=obeys commands			4=withdraws pain	
Motor exam RLE	6=obeys commands			4=withdraws pain	
MotorStrengthLUE	3=againstGravity			3=againstGravity	
MotorStrengthRUE	3=againstGravity			3=againstGravity	
MotorStrengthLLE	3=againstGravity			3=againstGravity	
MotorStrengthRLE	3=againstGravity			3=againstGravity	
Both pupilsShape	round			round	
Both pupilsReact	brisk			brisk	
Both pupils size	3mm			3mm	

04/26/11 08:00 Best upper motor(LAA): sedation

Special Neuro	08:00	09:05	09:07	10:00	10:05
Sedation used			Diprivan		
Pain med			Fentanyl		
Cardiovascular	08:00	09:05	09:07	10:00	10:05
Norm sinusRhythm	WNL			WNL	
Rate approp/age	WNL				
S1S2	WNL				
PalpPeriphPulses	WNL				
Skin warm, dry	WNL				
Approp skinColor	for race				
Cap refill<3 sec	WNL				
Alarms on	on				
Implement CV POC	done				
Rhythm	NSR				
GeneralizedEdema	+2				
AllPulsesPresent	present			present	
CARE PROVIDERS	LAA		LAA	LAA	

ADAMS, LESLIE A(LAA)RN

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
ROOM: *1070-A* Page: 10

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 11

FLOWSHEET		04/26				
Respiratory		08:00	09:05	09:07	10:00	10:05
NonLaboredEffort	WNL					
Suction function	yes					
Ambu bag/mask	present					
Implmnt Resp POC	done					
BS all lobes	rhonchi					
ETT size	7.5					
Placement @ lip	24cm					
Vent mode	PRVC					
Rate	18bpm					
FIO2	40%					
TV	500mL					
PEEP	5cm					
Oral suction	done				done	
Oral secretions	bloody				bloody	
Oral-consistency	thick				thick	
ETT suction	done				done	
ETT secretions	clear				clear	
Gastrointestinal		08:00	09:05	09:07	10:00	10:05
Normal dentition	WNL					
Last BM	pta					
BowelSndsAllQuad	absent					
Abdomen	tender					
NGT location	right nare				right nare	
NGT suction	low continuous					
NGT drainage	coffee ground					
NGT interv	irrigated				placement check	
	placement check					
04/26/11 08:00 Abdomen(LAA): open wound						
Genitourinary		08:00	09:05	09:07	10:00	10:05
Adequate UOP	WNL					
ClearYellowUrine	WNL					
NoChgPattn/Char	WNL					
NoAbn penile bid	WNL					
NoAbnPenileDisch	WNL					
Implement GU POC	done					
Catheter	foley					
Catheter size	16 FR					
DateCathInserted	04/26/2011					
CathInsertedPTA	yes					
Func/Musculoskeletal		08:00	09:05	09:07	10:00	10:05
Impl FuncMuscPOC	done					
Weakness	generalized					
SCD site	leg L leg R					
CARE PROVIDERS	LAA				LAA	

ADAMS, LESLIE A(LAA)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 11

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 12

FLOWSHEET		04/26				
Func/Musculoskel-Cont.		08:00	08:05	09:07	10:00	10:05
SCD type	short leg					
SCD assess	on operational					
Speech	unable verbalize					
Skin		08:00	08:05	09:07	10:00	10:05
Skin warm	WNL					
Skin dry& intact	WNL					
Color approp	to ethnicity					
Impl Skn/Wnd POC	done					
Sens perception	2=very limited					
Moisture	3=occasnly moist					
Activity	1=bedfast					
Mobility	1=complt immobil					
Nutrition	2=prob adequate					
Friction & shear	2=poten.problem					
Total Score	11					
Implement POC if	score < 17					
	score 1or2 in any					
Other equipment	positioningWedge					
Comfort		09:00	09:05	09:07	10:00	10:05
No o/o,no s/s of	itching nausea spasms sweating vomiting					
Impl Comfort POC	done					
Abnorm findings	pain recvAnalgesicTx				pain recvAnalgesicTx	
Pain score	0 Wong-Baker(fac e)				0 Wong-Baker(fac e)	
Comfort interv	cont infusion emotional supprt repositioned				cont infusion emotional supprt repositioned	
Observ/Treatmnts		08:00	08:05	09:07	10:00	10:05
Alarms on	done				done	
Bath	done					
Mouth care	done				done	
Linen change	done					
Turn	back				right side	
Side rails up	x4				x4	
Passive ROM	done					
HOB > 30 deg	done				done	
Assess reviewed	done					
CARE PROVIDERS	LAA				LAA	

ADAMS, LESLIE A(LAA)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 12

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 13

FLOWSHEET		04/26				
		08:00	08:05	09:07	10:00	10:05
Nutrition						
Meal type	NPO					
Vascular Access						
Site#1 location	forearm R				forearm R	
Insertion date	04/26/2011					
Gauge	#16					
Site#1 appear	secure				secure	
Site#1 dressing	dry and intact				dry and intact	
Interventions	flushed(see MAR) meds (see MAR)					
Site#2 location	wrist L				wrist L	
Insertion date	04/26/2011					
Gauge	#18					
Site#2 appear	secure				secure	
Site#2 dressing	dry and intact				dry and intact	
Interventions	flushed(see MAR) meds (see MAR)					
Site#3 location	antecubital L				antecubital L	
Insertion date	04/26/2011					
Gauge	#16					
Site#3 appear	secure				secure	
Site#3 dressing	dry and intact				dry and intact	
Interventions	flushed(see MAR) meds (see MAR)					
Art line loc	radial R				radial R	
Insertion date	04/26/2011					
Site appearance	secure				secure (modified)	
Site dressing	dry and intact				dry and intact	
Waveform	crisp					
Leveled, zeroed	done					
Safety						
Hospital ID band					on	
Bed in low posit					done	
Bed brakes on					done	
Bed press relief					on	
Morse Fall Risk						
HxFall(w/in 3mo)	no=0					
Secondary dx	no=0					
Ambulatory aid	None					
IV or IV access	Bedrest=0					
Gait	yes=20					
Mental status	Norm BR w/c=0					
Total score	OvrestFrgtLmt=15 35					
Imple Safety POC	done					
CARE PROVIDERS	LAA				LAA	

ADAMS, LESLIE A(LAA)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 13

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/26/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 14

FLOWSHEET		04/26				
Intact Incision		08:00	08:05	08:07	10:00	10:05
Incision#1 site	abdomen					
Incision#1 type	other (specify)					
Drain	other (specify)					
Incision#1 drAmt	large					
Incis#1 drainage						
Surrounding skin	intact					
04/26/11 08:00 Incision#1 type(LAA): open						
04/26/11 08:00 Drain(LAA): wall vac pack @ 125mmhg						
04/26/11 08:00 Incis#1 drainage(LAA): Bloody Dr Perry and Dr crooks aware						
Diab: Flowsheet		08:00	08:05	08:07	10:00	10:05
Insulin mulriplr	.04	.04				.03
CARE PROVIDERS	LAA	LAA				LAA

ADAMS, LESLIE A(LAA)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 14

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
ACQUIRED: 05/05/11 00:06
OPT OUT:

Page: 15

FLWSHEET	04/26				
Neuro/RENT	11:00	11:10	12:00	12:11	13:14
Reflexes present	present			present	
PERLA	WNL			WNL	
GCS eyes open	3=to verbal			3=to verbal	
GCS best verbal	1=tube/trach			1=tube/trach	
Best upper motor	5=localizes pain			5=localizes pain	
GCS total score	9			9	
Lvl of conscious				sedated	
Behavior/neuro				calm	
Disoriented				unable to assess	
Motor exam LUE				5=localizToPain	
Motor exam RUE				5=localizToPain	
Motor exam LLE				4=withdraws pain	
Motor exam RLE				4=withdraws pain	
MotorStrengthLUE				3=againstGravity	
MotorStrengthRUE				3=againstGravity	
MotorStrengthLLE				3=againstGravity	
MotorStrengthRLE				3=againstGravity	
Both pupilsShape				round	
Both pupilsReact				brisk	
Both pupils size				3mm	
Cardiovascular	11:00	11:10	12:00	12:11	13:14
Norm sinusRhythm				WNL	
AllPulsesPresent				present	
Respiratory	11:00	11:10	12:00	12:11	13:14
Oral suction				done	
Oral secretions				bloody	
Oral-consistency				thick	
ETT suction				done	
ETT secretions				clear	
Gastrointestinal	11:00	11:10	12:00	12:11	13:14
NGT location				right nare	
NGT drainage				coffee ground	
NGT interv				irrigated	
				placement check	
Comfort	11:00	11:10	12:00	12:11	13:14
Pain score				0 Wong-Baker(fac e)	
Comfort interv				cont infusion emotional supprt repositioned	
Observe/Treatments	11:00	11:10	12:00	12:11	13:14
Alarms on				done	
Mouth care				done	
Turn				left side	
CARE PROVIDERS	LAA			LAA	

ADAMS, LESLIE A(LAA)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 15

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 ACQUIRED: 05/05/11 00:06
 OPT OUT:

Page: 16

Flowsheet	04/26				
Observ/Treatments Cont.	11:00	11:10	12:00	12:11	13:14
Side rails up				x4	
HOB > 30 deg				done	
Vascular Access	11:00	11:10	12:00	12:11	13:14
Site#1 location				forearm R	
Site#1 appear				secure	
Site#1 dressing				dry and intact	
Site#2 location				wrist L	
Site#2 appear				secure	
Site#2 dressing				dry and intact	
Site#3 location				antecubital L	
Site#3 appear				secure	
Site#3 dressing				dry and intact	
Art line loc				radial R	
Site appearance				secure	
Site dressing				dry and intact	
Safety	11:00	11:10	12:00	12:11	13:14
Hospital ID band				on	
Bed in low posit				done	
Bed brakes on				done	
Bed press relief				on	
Diab Flowsheet	11:00	11:10	12:00	12:11	13:14
Insulin multiplr		.02	.01		.005
CARE PROVIDERS		LAA	LAA	LAA	LAA

ADAMS, LESLIE A(LAA)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 17

FLWSHEET	04/26				
Neuro/SENT	13:15	14:00	14:10	14:45	16:00
Reflexes present	present				present
PERLA	WNL	WNL			WNL
GCS eyes open	3=to verbal	3=to verbal			3=to verbal
GCS best verbal	1=tube/trach	1=tube/trach			1=tube/trach
Best upper motor	5=localizes pain	5=localizes pain			5=localizes pain
GCS total score	9	9			9
Lvl of conscious		sedated			sedated
Behavior/neuro		calm			calm
Disoriented		unable to assess			unable to assess
Motor exam LUE		5=localizToPain			5=localizToPain
Motor exam RUE		5=localizToPain			5=localizToPain
Motor exam LLE		5=localizToPain			5=localizToPain
Motor exam RLE		5=localizToPain			5=localizToPain
MotorStrengthLUE					3=againstGravity
MotorStrengthRUE					3=againstGravity
MotorStrengthLLE					3=againstGravity
MotorStrengthRLE					3=againstGravity
Both pupilsShape		round			round
Both pupilsReact		brisk			brisk
Both pupils size		3mm			3mm
Cardiovascular	13:15	14:00	14:10	14:45	16:00
Rhythm		sinus tach			NSR
AllPulsesPresent		present			present
Respiratory	13:15	14:00	14:10	14:45	16:00
Placement @ lip		done			24cm
Oral suction		bloody			done
Oral secretions					bloody
Oral-consistency		thick			creamy
ETT suction		done			thick
ETT secretions		clear			done
					clear
Gastrointestinal	13:15	14:00	14:10	14:45	16:00
NGT location		right nare			right nare
NGT drainage		coffee ground			clear
NGT interv		placement check			coffee ground
					irrigated
					placement check
Comfort	13:15	14:00	14:10	14:45	16:00
Pain score		0 Wong-Baker (face)			0 Wong-Baker (face)
Comfort interv		cont infusion			cont infusion
		emotional supprt			emotional supprt
		repositioned			repositioned
CARE PROVIDERS	LAA	LAA			LAA

ADAMS, LESLIE A(LAA)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 17

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:56 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 18

FLOWSHEET		04/26				
Observe/Treatments	13:15	14:00	14:10	14:45	16:00	
Alarms on		done			done	
Mouth care		done			done	
			&			
Turn		right side			left side	
Side rails up		x3			x4	
HOB > 30 deg		done			done	
04/26/11 14:00 Mouth care(LAA): teeth brushed						
Vascular Access	13:15	14:00	14:10	14:45	16:00	
Site#1 location		forearm R			forearm R	
Site#1 appear		secure			secure	
Site#1 dressing		dry and intact			dry and intact	
Site#2 location		wrist L			wrist L	
Site#2 appear		secure			secure	
Site#2 dressing		dry and intact			dry and intact	
Site#3 location		antecubital L			antecubital L	
Site#3 appear		secure			secure	
Site#3 dressing		dry and intact			dry and intact	
Art line loc		radial R			radial R	
Site appearance		secure			secure	
Site dressing		dry and intact			dry and intact	
Waveform		dampened			dampened	
Oth art interv						
			&		&	
04/26/11 14:00 Waveform(LAA): RT and Dr Rickey aware						
04/26/11 16:00 Oth art interv(LAA): D/Ced						
Safety	13:15	14:00	14:10	14:45	16:00	
Hospital ID band		on			on	
Bed in low posit		done			done	
Bed brakes on		done			done	
Bed press relief		on			on	
Diab Flowsheet	13:15	14:00	14:10	14:45	16:00	
Insulin multrplr			.005	.02		
CARE PROVIDERS		LAA	LAA	LAA	LAA	

ADAMS, LESLIE A(LAA)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 18

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 19

FLWSHEET	04/26				
Neuro/ENT	16:05	16:38	17:10	18:00	18:01
Reflexes present					present
GCS eyes open					3=to verbal
GCS best verbal					1=no response
Best upper motor					5=localizes pain
GCS total score					9
Lvl of conscious					sedated
Behavior/neuro					calm
Disoriented					unable to assess
Motor exam LUE					5=localizToPain
Motor exam RUE					5=localizToPain
Motor exam LLE					5=localizToPain
Motor exam RLE					5=localizToPain
MotorStrengthLUE					3=againstGravity
MotorStrengthRUE					3=againstGravity
MotorStrengthLLE					3=againstGravity
MotorStrengthRLE					3=againstGravity
Both pupilsShape					round
Both pupilsReact					brisk
Both pupils size					3mm
Cardiovascular	16:05	16:38	17:10	18:00	18:01
Rhythm					NSR
AllPulsesPresent					present
Respiratory	16:05	16:38	17:10	18:00	18:01
Oral suction					done
Oral secretions					clear
Oral-consistency					thick
ETT suction					done
ETT secretions					clear
Gastrointestinal	16:05	16:38	17:10	18:00	18:01
NGT location					right nare
NGT interv					placement check
Comfort	16:05	16:38	17:10	18:00	18:01
Pain score					0 Wong-Baker(fac e)
Observe/Treatments	16:05	16:38	17:10	18:00	18:01
Alarms on					done
Mouth care					done
Turn					right side
Side rails up					x4
HOB > 30 deg					done
CARE PROVIDERS					LAA

ADAMS, LESLIE A(LAA)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 19

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/26/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/26/11 03:19
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:08
 OPT OUT:

Page: 20

FLWSHEET	04/26				
Vascular Access	16:05	16:35	17:10	18:00	18:01
Site#1 location					forearm R
Site#1 appear					secure
Site#1 dressing					dry and intact
Site#2 location					wrist L
Site#2 appear					secure
Site#2 dressing					dry and intact
Site#3 location					antecubital L
Site#3 appear					secure
Site#3 dressing					dry and intact
Art line loc		radial L			radial L
Insertion date		04/26/2011			
Site appearance		secure			secure
Site dressing		dry and intact			sutured
Waveform		crisp			dry and intact
Safety	16:05	16:35	17:10	18:00	18:01
Hospital ID band					on
Bed in low posit					done
Bed brakes on					done
Bed press relief					on
Diab Flowsheet	16:05	16:35	17:10	18:00	18:01
Insulin mulriplr	.02		.02	.02	
CARE PROVIDERS	LAA	LAA	LAA	LAA	LAA

ADAMS, LESLIE A(LAA)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 21

FLWSHEET	04/26				
Chart Review	18:47	19:18	20:00	20:01	22:23
Chart check q12h		done			
Neuro/EENT	18:47	19:18	20:00	20:01	22:23
Reflexes present			present		
Abs of drainage			WNL		
Norm closure			WNL		
Abs of swelling			WNL		
PERLA			WNL		
Patent nares			WNL		
No bleed/disch			WNL		
ImplNeuroEENTPOC			done		
GCS eyes open			3=to verbal		
GCS best verbal			1=tube/trach		
Best upper motor			6=obeysVbCommand		
GCS total score			10		
Lvl of conscious			sedated		
Behavior/neuro			calm		
Disoriented			unable to assess		
Motor exam LUE			6=obeys commands		
Motor exam RUE			6=obeys commands		
Motor exam LLE			5=localizToPain		
Motor exam RLE			5=localizToPain		
MotorStrengthLUE			3=againstGravity		
MotorStrengthRUE			3=againstGravity		
MotorStrengthLLE			3=againstGravity		
MotorStrengthRLE			3=againstGravity		
Both pupilsShape			round		
Both pupilsReact			brisk		
Both pupils size			3mm		
Cardiovascular	18:47	19:18	20:00	20:01	22:23
Norm sinusRhythm			WNL		
Rate approp/age			WNL		
S1S2			WNL		
PalpPeriphPulses			WNL		
Skin warm, dry			WNL		
Approp skinColor			for race		
Cap refill<3 sec			WNL		
Alarms on			on		
Implement CV POC			done		
Rhythm			NSR		
AllPulsesPresent			present		
CARE PROVIDERS		LAA, {JLP}	JLP		

ADAMS, LESLIE A(LAA)RN

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

PERM

Page: 21

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/26/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 22

Flowsheet	04/26				
Respiratory	18:47	19:19	20:00	20:01	22:21
Spont respers			WNL		
NonLaboredEffort			WNL		
Suction function			yes		
Ambu bag/mask			present		
Implmnt Resp POC			done		
BS all lobes			rhonchi		
ETT size			7.5		
Placement @ lip			24cm		
Vent mode			PRVC		
Rate			18bpm		
FiO2			40%		
TV			500mL		
PEEP			5cm		
Oral suction			done		
Oral secretions			tan		
Oral-consistency			thick		
ETT suction			done		
ETT secretions			clear		
Gastrointestinal	18:47	19:19	20:00	20:01	22:21
Normal dentition			WNL		
Last BM			pta		
Implement GI POC			done		
BowelSndsAllQuad			absent		
Abdomen			tender		
NGT location			right nare		
NGT suction			low continuous		
NGT drainage			green		
NGT interv			irrigated		
			placement check		
04/26/11 20:00 Abdomen(JLP): open abd					
Genitourinary	18:47	19:19	20:00	20:01	22:21
Adequate UOP			WNL		
ClearYellowUrine			WNL		
NoChgPatrn/Char			WNL		
NoAbn penile bld			WNL		
NoAbnPenileDisch			WNL		
Implement GU POC			done		
Catheter			foley		
Catheter size			16 FR		
DateCathInserted			04/26/2011		
CathInsertedPTA			yes		
CARE PROVIDERS			JLP		

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
 ROOM: *1070-A* Page: 22

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/26/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 23

FLWSHEET	04/26				
Func/Musculoskel	18:47	18:18	20:00	20:01	22:21
Impl FuncMuscle POC			done		
Weakness			generalized		
SCD site			leg L leg R		
SCD type			short leg		
SCD assess			on operational		
Speech			unable verbalize		
Skin	18:47	18:18	20:00	20:01	22:21
Skin warm			WNL		
Skin dry& intact			WNL		
Color approp			to ethnicity		
Impl Skn/Wnd POC			done		
Sens perception			2=very limited		
Moisture			3=occasionally moist		
Activity			1=bedfast		
Mobility			1=complete immobil		
Nutrition			2=prob adequate		
Friction & shear			2=poten.problem		
Total Score			11		
Implement POC if			score < 17		
SpBed DecisnTree			score 1 or 2 in any		
Other equipment			positioningWedge		
Comfort	18:47	18:18	20:00	20:01	22:21
No c/o, no s/s of			chills fever itching nausea prob sleeping spasms sweating vomiting		
Impl Comfort POC			done		
Abnorm findings			pain recvAnalgesicTx		
Pain score			0 Wong-Baker(fac e)		
Pt relief goal			0-2		
Comfort interv			cont infusion meds (see MAR) repositioned		
CARE PROVIDERS			JLP		

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 23

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 40Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 24

Flowsheet	04/26				
Observ/Treatments	18:47	19:19	20:00	20:01	22:21
Alarms on			done		done
Mouth care			done		done
Turn			left side		back
Side rails up			x3		x3
Passive ROM			done		done
HOB > 30 deg			done		done
Assess reviewed			done		done
Nutrition	18:47	19:19	20:00	20:01	22:21
Meal type			NPO		
Vascular Access	18:47	19:19	20:00	20:01	22:21
Site#1 location Insertion date Gauge Site#1 appear Site#1 dressing Interventions			forearm R 04/26/2011 #16 secure dry and intact meds (see MAR)		
Site#2 location Insertion date Gauge Site#2 appear Site#2 dressing Interventions			wrist L 04/26/2011 #18 secure dry and intact meds (see MAR)		
Site#3 location Insertion date Gauge Site#3 appear Site#3 dressing Interventions			antecubital L 04/26/2011 #16 secure dry and intact meds (see MAR)		
Art line loc Insertion date Site appearance Site dressing Waveform Leveled, zeroed			radial L 04/26/2011 secure dry and intact crisp done		secure
Safety	18:47	19:19	20:00	20:01	22:21
Hospital ID band CallSystem access Bed in low posit Bed brakes on Bed alarms on Bed press relief IV sol verified IV tbg/bag dated High alertMedsID			on done done done done on done done done		on done done done done on done done done
Imple SafetyPOC			done		done
CARE PROVIDERS			JLP		JLP

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 24

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 25

FLWSHEET	04/26				
Safety-Cont.	18:47	18:18	20:00	20:01	22:21
AspirationRisk			yes		
AspPrecautnMntr			done		
Morse Fall Risk	18:47	18:18	20:00	20:01	22:21
HxFall(w/in 3mo)			no=0		
Secondary dx			no=0		
Ambulatory aid			None Bedrest=0		
IV or IV access			yes=20		
Gait			Norm BR w/c=0		
Mental status			OvrestFrgtLmt=15		
Total score			35		
Imple Safety POC			done		
Intact Incision	18:47	18:18	20:00	20:01	22:21
Incision#1 site			abdomen		
Incision#1 type			other (specify)		
Drain			other (specify)		
Incision#1 drAmt			large		
Incise#1 drainage			sanguinous		
Surrounding skin			intact		
04/26/11 20:00 Incision#1 type(JLP): open abd					
04/26/11 20:00 Drain(JLP): vac pack					
Diab Flowheet	18:47	18:18	20:00	20:01	22:21
Insulin mulriplr	.02				
Misc Events	18:47	18:18	20:00	20:01	22:21
Misc events					
04/26/11 20:00 Misc events(JLP): assumed care. pt sedated on 30mcg/kg/h propofol gtt and 125mcg/h fentanyl gtt. pt weakly follows commands in BUE; localizes in BLE. PERRL pt remains on PRVC; see settings. NSR. cont to monitor for MAP>60. vac pack intact to wall sxn.					
04/26/11 20:01 Misc events(JLP): cont 00:00 replacement of abd output Q4 hr with LR. will cont to monitor.					
CARE PROVIDERS	LAA		JLP	JLP	

ADAMS, LESLIE A(LAA)RN

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 25

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUIREMENTS: 05/05/11 00:06
OPT OUT:

Page: 26

FLOWSHEET		04/27				
Chart Review	00:06	02:34	05:25	06:18	07:16	
Chart check q12h					done	
Neuro/EENT	00:06	02:34	05:25	06:18	07:16	
Reflexes present	present					
Abs of drainage	WNL					
Norm closure	WNL					
Abs of swelling	WNL					
PERLA	WNL					
Patent nares	WNL					
No bleed/disch	WNL					
ImplNeuroEENTPOC	done					
GCS eyes open	3=to verbal					
GCS best verbal	1=tube/trach					
Best upper motor	6=obeysVbCommand					
GCS total score	10					
Lvl of conscious	sedated					
Behavior/neuro	calm					
Disoriented	unable to assess					
Motor exam LUE	5=localizToPain					
Motor exam RUE	5=localizToPain					
Motor exam LLE	5=localizToPain					
Motor exam RLE	5=localizToPain					
MotorStrengthLUE	3=againstGravity					
MotorStrengthRUE	3=againstGravity					
MotorStrengthLLE	3=againstGravity					
MotorStrengthRLE	3=againstGravity					
Both pupilsShape	round					
Both pupilsReact	brisk					
Both pupils size	3mm					
R nare						
Special Neuro	00:06	02:34	05:25	06:18	07:16	
Sedation used						
Pain med						
Cardiovascular	00:06	02:34	05:25	06:18	07:16	
Rate approp/age						
S1S2						
Angina/chestPain						
PalpPeriphPulses						
Skin warm, dry						
Approp skinColor						
Cap refill<3 sec						
Thigh/CalfTender						
Alarms on						
Implement CV POC						
Rhythm						
CARE PROVIDERS	JLP				JLP, (BCAR)	

CARPENTER, BROOKE(BCAR)RN PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 26

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/26/11 02:56 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/26/11 03:19
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 27

FLWSHEET	04/27				
Cardiovascular Cont.	00:06	02:34	05:25	06:18	07:16
Monitor lead					
Heart sounds					
GeneralizedEdema					
R scleral edema					
L scleral edema					
AllPulsesPresent					
R radial pulse					
L radial pulse					
RdorsalisPedPuls					
LdorsalisPedPuls					
Respiratory	00:06	02:34	05:25	06:18	07:16
Suction function					
Ambu bag/mask					
Implmnt Resp POC					
RUL					
LUL					
Sputum/secretion					
Consistency					
Airway					
Oral airway					
ETT size					
Placement @ lip					
ETT interv					
Vent mode					
Rate					
FiO2					
TV					
PEEP					
PIP					
MVe					
Oral suction					
Oral secretions					
Oral-consistency					
ETT suction					
ETT secretions					
ETT-consistency					
Gastrointestinal	00:06	02:34	05:25	06:18	07:16
Normal dentition					
MucousMembIntact					
Last BM					
Implement GI POC					
BowelSndsAllQuad					
Abdomen					
NGT location					
NGT suction					
NGT drainage					

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 27

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 28

FLWSHEET	04/27				
Gastrointestinal/Cont:	00:08	02:34	05:25	06:18	07:16
NGT interv					
Genitourinary	00:08	02:34	05:25	06:18	07:16
Adequate UOP					
ClearYellowUrine					
NoChgPatrn/Char					
NoAbn penile bld					
NoAbnPenileDisch					
Implement GU POC					
Catheter					
Catheter size					
DateCathInserted					
CathInsertedPTA					
Func/Musculoskel	00:08	02:34	05:25	06:18	07:16
Impl FuncMuscPOC					
Weakness					
SCD site					
SCD type					
SCD assess					
Speech					
Skin	00:08	02:34	05:25	06:18	07:16
Skin warm					
Color approp					
Impl Skn/Wnd POC					
Sens perception					
Moisture					
Activity					
Mobility					
Nutrition					
Friction & shear					
Total Score					
Implement POC if					
SpBed DecisnTree					
Turgor					
Temperature					
Care routine					
Other equipment					
Comfort	00:08	02:34	05:25	06:18	07:16
No c/o, no s/s of					
Impl Comfort POC					
Abnorm findings					
Pain score					
Pt relief goal					
Pain onset					
Pain description					

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 28

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002857049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 29

FLWSHEET	04/27				
Comfort/Care	00:06	02:34	05:25	06:18	07:18
Pain site(s)					
Comfort interv					
Observe/Treatments	00:06	02:34	05:25	06:18	07:18
Alarms on	done	done	done	done	
Bath			done		
Skin care			done		
Mouth care	done	done	done	done	
Linen change			done		
Turn	right side	back	right side	left side	
Side rails up	x3	x3	x3	x3	
Catheter care			done		
Peri care			done		
Passive ROM	done	done	done	done	
HOB > 30 deg	done	done	done	done	
Assess reviewed	done	done	done	done	
Nutrition	00:06	02:34	05:25	06:18	07:18
Meal type					
Vascular Access	00:06	02:34	05:25	06:18	07:18
Site#1 location					
Insertion date					
Gauge					
Site#1 appear					
Site#1 dressing					
Interventions					
Site#2 location					
Insertion date					
Gauge					
Site#2 appear					
Site#2 dressing					
Interventions					
Site#3 location					
Insertion date					
Gauge					
Site#3 appear					
Site#3 dressing					
Interventions					
Art line loc					
Insertion date					
Site appearance	secure	secure		secure	
Site dressing					
Waveform					
Leveled, zeroed					
CARE PROVIDERS	JLP	JLP	JLP	JLP	

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 29

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 33Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 30

FLOWSHEET		04/27				
Safety	00:06	02:34	05:25	06:18	07:15	
Hospital ID band	on	on		on		
CallSystem access	done	done		done		
Bed in low posit	done	done		done		
Bed brakes on	done	done		done		
Bed alarms on	done	done		done		
Bed press relief	on	on		on		
IV sol verified	done	done		done		
IV tbg/bag dated	done	done		done		
High alertMedsID	done	done		done		
Imple SafetyPOC	done	done		done		
AspirationRisk						
AspPrecautnMntn						
InfectionHiRisk						
RestraintUseRisk						
ElopmntRiskLevel						
More Fall Risk	00:06	02:34	05:25	06:18	07:15	
HxFall(w/in 3mo)						
Secondary dx						
Ambulatory aid						
IV or IV access						
Gait						
Mental status						
Total score						
Imple Safety POC						
Intact Incision	00:06	02:34	05:25	06:18	07:15	
Incision#1 site						
Incision#1 type						
Drain						
Incision#1 drAmt						
Incis#1 drainage						
Surrounding skin						
Dsg chg sched						
Incision#1 dsg						
Misc Events	00:06	02:34	05:25	06:18	07:15	
Evaluated POC						
Misc events						
CARE PROVIDERS	JLP	JLP		JLP		

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A* Page: 30

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 31

FLWSHEET	04/27				
Neuro/EENT	07:30	07:40	11:30	12:00	13:00
Reflexes present	present			present	
Abs of drainage	WNL				
PERLA	WNL				
No bleed/disch	WNL				
ImplNeuroEENTPOC	done			done	
GCS eyes open	3=to verbal			3=to verbal	
GCS best verbal	1=tube/trach			1=tube/trach	
Best upper motor	5=localizes pain			4=flex/withdraw	
GCS total score	9			8	
Lvl of conscious	sedated			sedated	
Behavior/neuro	calm			calm	
Disoriented	unable to assess			unable to assess	
Motor exam LUE	5=localizToPain			4=withdraws pain	
Motor exam RUE	5=localizToPain			4=withdraws pain	
Motor exam LLE	5=localizToPain			4=withdraws pain	
Motor exam RLE	5=localizToPain			4=withdraws pain	
MotorStrengthLUE	3=againstGravity			3=againstGravity	
MotorStrengthRUE	3=againstGravity			3=againstGravity	
MotorStrengthLLE	3=againstGravity			3=againstGravity	
MotorStrengthRLE	3=againstGravity			3=againstGravity	
Both pupilsShape	round			round	
Both pupilsReact	brisk			brisk	
Both pupils size	3mm			3mm	
R nare	other (specify)				
04/27/11 07:30 R nare(BCAR): NG tube in place					
Special Neuro	07:30	07:40	11:30	12:00	13:00
Sedation used	Diprivan			Diprivan	
Pain med	Fentanyl			Fentanyl	
Cardiovascular	07:30	07:40	11:30	12:00	13:00
Rate approp/age	WNL				
S1S2	WNL				
Angina/chestPain	no complaints				
PalpPeriphPulses	WNL				
Skin warm, dry	WNL				
Approp skinColor	for race				
Cap refill<3 sec	WNL				
Thigh/CalfTender	none				
Alarms on	on				
Implement CV POC	done				
Rhythm	NSR				
	sinus tach				
CARE PROVIDERS	BCAR			BCAR	

CARPENTER, BROOKE(BCAR)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 31

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 03:00
OPT OUT:

Page: 32

FLOWSHEET		04/27				
Cardiovascular-Cont:		07:30	07:40	11:30	12:00	13:00
Monitor lead	lead I lead II V					
Heart sounds	S1 S2					
GeneralizedEdema	+2					
R scleral edema	present					
L scleral edema	present					
AllPulsesPresent	present					
R radial pulse	1+=weak					
L radial pulse	&					
RdorsalisPedPuls	1+=weak					
LdorsalisPedPuls	1+=weak					
04/27/11 07:30 L radial pulse(BCAR): arterial line in place						
Respiratory		07:30	07:40	11:30	12:00	13:00
Suction function	yes					
Ambu bag/mask	present					
Implmnt Resp POC	done					
RUL	rhonchi					
LUL	rhonchi					
Sputum/secretion	clear					
Consistency	thin					
Airway	ETT - oral					
Oral airway	@bedside					
ETT size	7.5					
Placement @ lip	24cm					
ETT interv	lip/skin care mouth care					
Vent mode	PRVC					
Rate	18bpm					
FiO2	40%					
TV	500mL					
PEEP	5cm					
PIP	24					
MVe	8.6/min					
Oral suction	done					
Oral secretions	clear					
Oral-consistency	thin					
ETT suction	done					
ETT secretions	clear					
ETT-consistency	thin					
Gastrointestinal		07:30	07:40	11:30	12:00	13:00
Normal dentition	WNL					
MucousMembIntact	WNL					
Last BM	pta					
CARE PROVIDERS	BCAR					

CARPENTER, BROOKE(BCAR)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 32

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 33

FLOWSHEET		04/27				
Gastrointestinal: Cont.		07:30	07:40	11:30	12:00	13:00
Implement GI POC	done					
BowelSndsAllQuad Abdomen	absent tender					
NGT location	right nare					
NGT suction	low continuous					
NGT drainage	green					
NGT interv	irrigated placement check					
G-tube location					LUQ	
G-tube suction					gravity	
G-tube drainage					brown	
J-tube location					green	
J-tube interv					LLQ	
Tube#1 type					JP	
Tube#1 location					LUQ	
Tube#1 drainage					bloody	
Tube#1 interv					bulb suction	
Tube#2 type					other (specify)	
Tube#2 location					LUQ	
Tube#2 drainage					bloody	
Tube#2 interv						
04/27/11 07:30 Abdomen(BCAR): open abd						
04/27/11 12:00 J-tube location(BCAR): T tube						
04/27/11 12:00 J-tube interv(BCAR): clamped per MDs						
04/27/11 12:00 Tube#1 type(BCAR): x2						
04/27/11 12:00 Tube#1 location(BCAR): and RUQ						
04/27/11 12:00 Tube#1 interv(BCAR): empty q4h						
04/27/11 12:00 Tube#2 type(BCAR): duodenostomy						
04/27/11 12:00 Tube#2 interv(BCAR): intermittent wall suction						
Genitourinary		07:30	07:40	11:30	12:00	13:00
Adequate UOP	WNL					
ClearYellowUrine	WNL					
NoChgPatrn/Char	WNL					
NoAbn penile bld	WNL					
NoAbnPenileDisch	WNL					
Implement GU POC	done					
Catheter	foley					
Catheter size	16 FR					
CARE PROVIDERS	BCAR				BCAR	

CARPENTER, BROOKE(BCAR)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
ROOM: *1070-A* Page: 33

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/26/11 02:56 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 PCQC/CTED: 05/05/11 00:06
 OPT OUT:

Page: 34

FLOWSHEET		04/27				
Genitourinary-Cont.		07:30	07:40	11:30	12:00	13:00
DateCathInserted	04/26/2011					
CathInsertedPTA	yes					
Furo/MuscOnAsket		07:30	07:40	11:30	12:00	13:00
Impl FuncMuscPOC	done					
Weakness	generalized					
SCD site	leg L leg R					
SCD type	short leg					
SCD assess	on operational					
Speech	unable verbalize					
Skin		07:30	07:40	11:30	12:00	13:00
Skin warm	WNL					
Color approp	to ethnicity					
Impl Skn/Wnd POC	done					
Sens perception	2=very limited					
Moisture	3=occasionally moist					
Activity	1=bedfast					
Mobility	3=slight limited					
Nutrition	1=very poor					
Friotion & shear	2=poten.problem					
Total Score	12					
Implement POC if	score < 17					
SpBed DecisnTree	score1or2 in any score1or2 in any					
Turgor	tight					
Temperature	&					
Care routine	BazaMoistBarrier CarrafoamCleansr					
Other equipment	positioningWedge					
04/27/11 07:30 Temperature(BCAR): warm						
Comfort		07:30	07:40	11:30	12:00	13:00
No c/o, no s/s of	chills fever itching nausea prob sleeping spasms sweating vomiting					
Impl Comfort POC	done					
Abnorm findings	pain					
Pain score	3 Wong-Baker(fac e)					
CARE PROVIDERS	BCAR					

CARPENTER, BROOKE(BCAR)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 34

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 35

FLOWSHEET		04/27				
Comfort-Care		07:30	07:40	11:30	12:00	13:00
Pt relief goal	0-2					
Pain onset	ongoing					
Pain description	unable to descr					
Pain site(s)	unable to descr					
Comfort interv	meds (see MAR) relaxation					
Observ/Treatments		07:30	07:40	11:30	12:00	13:00
Alarms on	done				done	
Skin care	done					
Mouth care	done				done	
Linen change	done				done	
Turn	back				back	
Side rails up	x4				x4	
Catheter care	done					
Peri care	done					
Passive ROM	done				done	
HOB > 30 deg	done				done	
Assess reviewed	done				done	
Nutrition		07:30	07:40	11:30	12:00	13:00
Meal type	NPO				NPO	
Vascular Access		07:30	07:40	11:30	12:00	13:00
Site#1 location	antecubital L					
Insertion date	04/26/2011					
Gauge	#18					
Site#1 appear	secure				secure	
Site#1 dressing	dry and intact				dry and intact	
Interventions	meds (see MAR)					
Site#2 location	forearm L					
Insertion date	04/26/2011					
Gauge	#16					
Site#2 appear	secure				secure	
Site#2 dressing	dry and intact				dry and intact	
Interventions	flushed(see MAR) meds (see MAR)					
Site#3 location	forearm R					
Insertion date	04/26/2011					
Gauge	#16					
Site#3 appear	secure				secure	
Site#3 dressing	dry and intact				dry and intact	
Interventions	flushed(see MAR) meds (see MAR)					
Art line loc	radial L					
Insertion date	04/26/2011					
CARE PROVIDERS	BCAR				BCAR	

CARPENTER, BROOKE(BCAR)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 36

FLOWSHEET		04/27				
Vascular Access-Cont.		07:30	07:40	11:30	12:00	13:00
Site appearance	secure					
Site dressing	dry and intact					
Waveform	crisp				dampened	
Leveled, zeroed	done				done	
Oth art interv					&	
Art line loc						
Insertion date						
Site appearance						
Site dressing						
Waveform						
Leveled, zeroed						
Oth art interv						
04/27/11 12:00 Oth art interv(BCAR): d/c'ed-not working-MDs aware-RT to place new line						
Safety		07:30	07:40	11:30	12:00	13:00
Hospital ID band	on					
Bed in low posit	done					
Bed brakes on	done					
Bed press relief	on					
IV sol verified	done					
IV tbg/bag dated	done					
High alertMedsID	done					
Imple SafetyPOC	done					
AspirationRisk	yes					
AspPrecautnMntn	done					
InfectionHiRisk	other (specify)					
RestraintUseRisk	AltrdMentalState					
ElopmntRiskLevel	3Orntd or Immob					
04/27/11 07:30 InfectionHiRisk(BCAR): ETT, foley, open abd						
Morse Fall Risk		07:30	07:40	11:30	12:00	13:00
HxFall(w/in 3mo)	no=0					
Secondary dx	yes=15					
Ambulatory aid	None Bedrest=0					
IV or IV access	yes=20					
Gait	Norm BR w/c=0					
Mental status	OvrestFrgtLmt=15					
Total score	50					
Imple Safety POC	done					
Intact Incision		07:30	07:40	11:30	12:00	13:00
Incision#1 site	abdomen				abdomen	
Incision#1 type	other (specify)				other (specify)	
Drain	other (specify)				JP	
CARE PROVIDERS	BCAR				penrose	
					wound vac	
					BCAR	

CARPENTER, BROOKE(BCAR)/RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 36

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/26/11 02:56 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 37

FLOWSHEET		04/27				
Intact Incision Cont.	07:30	07:40	11:30	12:00	12:00	
Incision#1 drAmt Incis#1 drainage Surrounding skin Dsg chg sched	large sanguinous intact &			moderate sanguinous intact twice a day &		
Incision#1 dsg	other (specify) &			other (specify) &		
04/27/11 07:30 Incision#1 site(BCAR): open abd						
04/27/11 07:30 Drain(BCAR): LCWS						
04/27/11 07:30 Dsg chg sched(BCAR): per MDs						
04/27/11 07:30 Incision#1 dsg(BCAR): abd vac pack						
04/27/11 12:00 Incision#1 type(BCAR): wet to dry dressing						
04/27/11 12:00 Drain(BCAR): JP x2, 1 wall suction, Gtube to drain, Jtube clamped						
04/27/11 12:00 Incision#1 dsg(BCAR): wet to dry dressing						
Dist Flowsheet	07:30	07:40	11:30	12:00	12:00	
Insulin multiplr			.03		.03	
Misc Events	07:30	07:40	11:30	12:00	12:00	
Evaluated POC	done					
Misc events	&	&	&		&	
04/27/11 07:30 Misc events(BCAR): report recieved, care assumed, assessment as documented. VSS at this time. pt to be taken to OR desk						
04/27/11 07:40 Misc events(BCAR): patient taken to OR desk. report given to CRNA, VSS will monitor when patient returns						
04/27/11 11:30 Misc events(BCAR): patient returned from OR. report recieved from anesthesia staff. Lundy MD at bedside. will follow postop orders and monitor.						
04/27/11 13:00 Misc events(BCAR): Lundy MD made aware of patients BP drop-MAP high 50s-see flowsheet. will follow orders and monitor.						
CARE PROVIDERS	BCAR	BCAR	BCAR	BCAR	BCAR	
CARPENTER, BROOKE(BCAR)RN						

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 37

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 38

FLOWSHEET		04/27				
Neuro/EENT	13:30	14:00	15:00	16:00	16:03	
Reflexes present				present		
ImplNeuroEENTPOC				done		
GCS eyes open				3=to verbal		
GCS best verbal				1=tube/trach		
Best upper motor				5=localizes pain		
GCS total score				9		
Lvl of conscious				sedated		
Behavior/neuro				calm		
Disoriented				unable to assess		
Motor exam LUE				5=localizToPain		
Motor exam RUE				5=localizToPain		
Motor exam LLE				4=withdraws pain		
Motor exam RLE				4=withdraws pain		
MotorStrengthLUE				3=againstGravity		
MotorStrengthRUE				3=againstGravity		
MotorStrengthLLE				3=againstGravity		
MotorStrengthRLE				3=againstGravity		
Observ/Treatments	13:30	14:00	15:00	16:00	16:03	
Alarms on		done		done		
Mouth care		done		done		
Linen change				done		
Turn		left side		right side		
Side rails up		x4		x4		
Passive ROM		done		done		
HOB > 30 deg		done		done		
Assess reviewed		done		done		
Vascular Access	13:30	14:00	15:00	16:00	16:03	
Site#1 appear		secure		secure		
Site#1 dressing		dry and intact		dry and intact		
Site#2 appear		secure		secure		
Site#2 dressing		dry and intact		dry and intact		
Site#3 appear		secure		secure		
Site#3 dressing		dry and intact		dry and intact		
Art line loc	pedal L					
Insertion date	04/27/2011					
Site appearance	secure	secure		secure		
Site dressing	dry and intact	dry and intact		dry and intact		
Waveform	crisp	crisp		crisp		
Leveled, zeroed	done					
Oth art interv		&				
04/27/11 13:30 Oth art interv(BCAR): placed at bedside per RT						
CARE PROVIDERS	BCAR	BCAR		BCAR		
CARPENTER, BROOKE(BCAR)RN						

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 38

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:19
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 39

FLWSHEET	04/27				
Diab: Flwsheet	13:30	14:00	15:00	16:00	16:01
Insulin multiplr		.03	.04	.05	
Misc Events	13:30	14:00	15:00	16:00	16:01
Misc events		&		&	&
04/27/11 14:00 Misc events(BCAR): Lundy MD made aware of patients drop in BP (MAP 60s) and 1400 hgb/hct levels. will give 1 L bolus of LR per order					
04/27/11 16:00 Misc events(BCAR): Lundy MD at bedside					
04/27/11 16:01 Misc events(BCAR): pt awakes to verbal with sedation held-localizes with uppers-withdraws lowers-vitals remain stable. will continue with poc and monitor.					
CARE PROVIDERS		BCAR	BCAR	BCAR	BCAR

CARPENTER, BROOKE(BCAR)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 39

PERM

Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 39 of 78

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/26/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 40

FLWSHEET	04/27				
Chart Review	17:00	18:00	18:20	18:00	18:15
Chart check q12h					done
Observe/Treatments	17:00	18:00	18:20	18:00	18:15
Alarms on			done		
Skin care			done		
Mouth care			done		
Linen change			done		
Turn			back		
Side rails up			x4		
Catheter care			done		
Peri care			done		
Passive ROM			done		
HOB > 30 deg			done		
Assess reviewed			done		
Vascular Access	17:00	18:00	18:20	18:00	18:15
Site#1 appear			secure		
Site#1 dressing			dry and intact		
Site#2 appear			secure		
Site#2 dressing			dry and intact		
Site#3 appear			secure		
Site#3 dressing			dry and intact		
Site appearance			secure		
Site dressing			dry and intact		
Waveform			crisp		
Diab FlowSheet	17:00	18:00	18:20	18:00	18:15
Insulin multiplr	.06	.07		.08	
CARE PROVIDERS	BCAR	BCAR	BCAR	BCAR	BCAR, {TMW1}

CARPENTER, BROOKE(BCAR)RN

WOMACK, TASSI M(TMW1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUISITED: 05/05/11 00:06
OPT OUT:

Page: 41

FLWSHEET	04/27				
Neuro/ENT	20:05	20:15	21:00	21:05	22:00
Reflexes present		present			
Abs of drainage		WNL			
Norm closure PERLA		WNL WNL			
Patent nares		WNL			
No bleed/disch		WNL			
GCS eyes open		4=spontaneously			
GCS best verbal		1=tube/trach			
Best upper motor		5=localizes pain			
GCS total score		10			
Lvl of conscious		sedated			
Behavior/neuro		calm			
Disoriented		unable to assess			
Motor exam LUE		5=localizToPain			
Motor exam RUE		5=localizToPain			
Motor exam LLE		4=withdraws pain			
Motor exam RLE		4=withdraws pain			
MotorStrengthLUE		2=gravity elimin			
MotorStrengthRUE		2=gravity elimin			
MotorStrengthLLE		2=gravity elimin			
MotorStrengthRLE		2=gravity elimin			
Both pupilsShape		round			
Both pupilsReact		brisk			
Both pupils size		3mm			
Special Neuro	20:05	20:15	21:00	21:05	22:00
Sedation used		Diprivan			
Pain med		Fentanyl			
Cardiovascular	20:05	20:15	21:00	21:05	22:00
Rate approp/age		WNL			
S1S2		WNL			
Angina/chestPain		no complaints			
PalpPeriphPulses		WNL			
Skin warm, dry		WNL			
Approp skinColor		for race			
Cap refill<3 sec		WNL			
Thigh/CalfTender		none			
Alarms on		on			
Rhythm		sinus tach			
Monitor lead		lead II			
Heart sounds		S1 S2			
GeneralizedEdema		+2			
R scleral edema		present			
L scleral edema		present			
AllPulsesPresent		present			
CARE PROVIDERS		TMW1			

WOMACK, TASSI M(TMW1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 41

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 42

FLWSHEET	04/27				
Cardiovascular-Cont.	20:05	20:15	21:00	21:05	22:00
R radial pulse		1+=weak			
L radial pulse		1+=weak			
RdorsalisPedPuls		1+=weak			
LdorsalisPedPuls		1+=weak			
Respiratory	20:05	20:15	21:00	21:05	22:00
Spont respirs		WNL			
NonLaboredEffort		WNL			
No cough,dyspnea		WNL			
Suction function		yes			
Ambu bag/mask		present			
Implmnt Resp POC		done			
BS all lobes		rhonchi			
RUL		rhonchi			
RML		rhonchi			
RLL		decreased			
		rhonchi			
LUL		rhonchi			
LLL		decreased			
		rhonchi			
Sputum/secretion		clear			
Consistency		thick			
Airway		ETT - oral			
Oral airway		@bedside			
ETT size		7.5			
Placement @ lip		24cm			
ETT interv		lip/skin care			
		mouth care			
Vent mode		PRVC			
Rate		18bpm			
FiO2		40%			
TV		500mL			
PEEP		5cm			
Oral suction		done			
Oral secretions		clear			
Oral-consistency		thin			
ETT suction		done			
ETT secretions		clear			
ETT-consistency		thin			
Gastrointestinal	20:05	20:15	21:00	21:05	22:00
Normal dentition		WNL			
MucousMembrIntact		WNL			
Last BM		pta			
Implement GI POC		done			
BowelSndsAllQuad		absent			
NGT location		right nare			
CARE PROVIDERS		TMW1			

WOMACK, TASSI M(TMW1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 42

PERM

GOODWIN, DAVON
MUSC
24-Hour Critical Care Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 43

FLWSHEET	04/27				
Gastrointestinal-Cont.	20:05	20:15	21:00	21:05	22:00
NGT suction		low continuous			
NGT drainage		brown			
NGT interv		green			
G-tube location		placement check			
G-tube suction		LUQ			
G-tube drainage		gravity			
J-tube location		brown			
Tube#1 type		green			
		LLQ			
		JP			
			&		
Tube#1 location		LUQ			
Tube#1 drainage		serosanguinous			
Tube#1 interv		bulb suction			
Tube#2 type		other (specify)			
			&		
Tube#2 location		LUQ			
Tube#2 drainage		bloody			
Tube#2 interv			&		
04/27/11 20:15 Tube#1 type(TMW1): x2					
04/27/11 20:15 Tube#2 type(TMW1): duodenostomy					
04/27/11 20:15 Tube#2 interv(TMW1): wall suction					
Genitourinary	20:05	20:15	21:00	21:05	22:00
Adequate UOP		WNL			
Clear Yellow Urine		WNL			
No Chg Pattern/Char		WNL			
No Abn penile bld		WNL			
No Abn Penile Disch		WNL			
Implement GU POC		done			
Catheter		foley			
Catheter size		18 FR			
Date Cath Inserted		04/26/2011			
Cath Inserted PTA		yes			
Func/Musculoskel	20:05	20:15	21:00	21:05	22:00
Impl Func Musc POC		done			
Weakness		generalized			
SCD site		leg L			
SCD type		leg R			
SCD assess		short leg			
		on			
		operational			
Speech		unable verbalize			
CARE PROVIDERS		TMW1			

WOMACK, TASSI M(TMW1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24-Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 43

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 44

FLWSHEET	04/27				
Skin	20:05	20:15	21:00	21:05	22:00
Skin warm		WNL			
Color approp		to ethnicity			
Impl Skn/Wnd POC		done			
Sens perception		2=very limited			
Moisture		3=occasly moist			
Activity		1=bedfast			
Mobility		3=slight limited			
Nutrition		1=very poor			
Friction & shear		2=poten.problem			
Total Score		12			
Implement POC if		score < 17			
SpBed DecisnTree		score 1or2 in any			
Turgor		tight			
Care routine		BazaMoistBarrier			
Other equipment		positioningWedge			
Comfort	20:05	20:15	21:00	21:05	22:00
No c/o, no s/s of		chills			
		fever			
		itching			
Impl Comfort POC		done			
Abnorm findings		pain			
		recvAnalgesicTx			
Pain score		0 Wong-Baker (face)			
Pt relief goal		0-2			
Pain onset		ongoing			
Pain description		unable to descr			
Pain site(s)		unable to descr			
Comfort interv		meds (see MAR)			
		relaxation			
Obsrv/Treatmnts	20:05	20:15	21:00	21:05	22:00
Alarms on		done			done
Skin care					done
Mouth care		done			done
Turn		back	left side		back &
Side rails up		x4			x4
Passive ROM		done			done
HOB > 30 deg		done			done
Assess reviewed		done			
04/27/11 22:00 Turn(TMW1): cont turn bed					
CARE PROVIDERS		TMW1	TMW1		TMW1

WOMACK, TASSI M(TMW1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 44

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 45

FLOWSHEET		04/27				
Nutrition	20:05	20:15	21:00	21:05	22:00	
Meal type		NPO				
Vascular Access	20:05	20:15	21:00	21:05	22:00	
Site#1 location		antecubital L				
Insertion date		04/26/2011				
Gauge		#18				
Site#1 appear		secure				
Site#1 dressing		dry and intact				
Interventions		meds (see MAR)				
Site#2 location		forearm L				
Insertion date		04/26/2011				
Gauge		#16				
Site#2 appear		secure				
Site#2 dressing		dry and intact				
Interventions		flushed(see MAR) meds (see MAR)				
Site#3 location		forearm R				
Insertion date		04/26/2011				
Gauge		#16				
Site#3 appear		secure				
Site#3 dressing		dry and intact				
Interventions		meds (see MAR)				
Art line loc		pedal L				
Insertion date		04/26/2011				
Site appearance		secure				
Site dressing		dry and intact				
Waveform		crisp				
Leveled, zeroed		done				
Safety	20:05	20:15	21:00	21:05	22:00	
Hospital ID band		on				
Bed in low posit		done				
Bed brakes on		done				
Bed press relief		on				
IV sol verified		done				
IV tbg/bag dated		done				
High alertMedsID		done				
Imple SafetyPOC		done				
AspirationRisk		yes				
AspPrecautnMntn		done				
InfectionHiRisk		other (specify)				
Morse Fall Risk	20:05	20:15	21:00	21:05	22:00	
HxFall(w/in 3mo)		no=0				
Secondary dx		yes=15				
Ambulatory aid		None Bedrest=0				
IV or IV access		yes=20				
Gait		Norm BR w/c=0				
Mental status		OvrestFrgtLmt=15				
CARE PROVIDERS		TMW1				

WOMACK, TASSI M(TMW1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 45

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 46

FLOWSHEET		04/27				
More Fall Risk-Cont.	20:05	20:15	21:00	21:05	22:00	
Total score		50				
Imple Safety POC		done				
Initial Incision	20:05	20:15	21:00	21:05	22:00	
Incision#1 site		abdomen				
Incision#1 type		other (specify)				
Drain		JP				
Incision#1 drAmt		other (specify)				
Incis#1 drainage		large				
Surrounding skin		serosanguinous				
Incision#1 dsg		intact				
		dry gauze				
		&				
04/27/11 20:15 Incision#1 type(TMW1): or dressing in place						
04/27/11 20:15 Incision#1 dsg(TMW1): OR dressing						
Diab Flowsheet	20:05	20:15	21:00	21:05	22:00	
Insulin multiplr	.08			.09		
Restraints	20:05	20:15	21:00	21:05	22:00	
Why restrained		intf w/dsgs intf w/sutures intf w/lines intf w/tubes cannotFollowDir				
Pt Devices/Impact		ETT peripheral IV drain urinary catheter ng tube oxygen/del devic				
Alt/Intervention		addressdNutrition addressd pain addressdHydration addressdToileting addressdPosition addressd CallBell no alt due cond wrist foam/velcro				
Restraint type		two wrists				
Restraint site		24hr(s)				
No.hrs to be res		no intf w/lines				
Criteria for D/C		no intf w/tubes				
		no intf w/dsgs				
		follows commands				
Pt notified		done				
Family notified		done				
CARE PROVIDERS	LLHU	TMW1		LLHU		

HURLEY, LORRI L(LLHU)RN

WOMACK, TASSI M(TMW1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 46

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 03:06
 OPT OUT:
 Page: 47

FLWSHEET	04/27				
Restraints Cont.	20:05	20:15	21:00	21:05	22:00
Other notified		done			
Ordered		04/28/2011 20:00			
Skin checks q1h		done	done		done
Circ checks q1h		done	done		done
Restraint rel q2		done			done
ROM q2h		done			done
Position chg q2h		done			done
Orient behav q2h		done			done
Hydra needs q2h		done			done
Feed needs q2h		done			done
Toilet needs q2h		done			done
Call bell q2		done			done
Behavior q2h		continues			
CARE PROVIDERS		TMW1	TMW1		TMW1

WOMACK, TASSI M(TMW1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A* Page: 47

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 48

FLWSHEET	04/27	04/28			
Neuro/EEG	23:00	00:00	00:05	01:00	01:05
Reflexes present			present		
Abs of drainage			WNL		
Norm closure			WNL		
PERLA			WNL		
Patent nares			WNL		
No bleed/disch			WNL		
GCS eyes open			3=to verbal		
GCS best verbal			1=tube/trach		
Best upper motor			5=localizes pain		
GCS total score			g		
Lvl of conscious			lethargic		
Behavior/neuro			sedated		
Disoriented			calm		
			unable to assess		
Motor exam LUE			5=localizToPain		
Motor exam RUE			5=localizToPain		
Motor exam LLE			4=withdraws pain		
Motor exam RLE			4=withdraws pain		
MotorStrengthLUE			3=againstGravity		
MotorStrengthRUE			3=againstGravity		
MotorStrengthLLE			2=gravity elimin		
MotorStrengthRLE			2=gravity elimin		
Both pupilsShape			round		
Both pupilsReact			brisk		
Both pupils size			4mm		
Observe/Treat/mtle	23:00	00:00	00:05	01:00	01:05
Alarms on			done		
Skin care			done		
Mouth care			done		
Turn			left side		
Side rails up			x4		
Passive ROM			done		
HOB > 30 deg			done		
Assess reviewed			done		
Diab: Flowchart	23:00	00:00	00:05	01:00	01:05
Insulin mulriplr		0.09			0.03
Restraints	23:00	00:00	00:05	01:00	01:05
Skin checks q1h	done		done	done	
Circ checks q1h	done		done	done	
Restraint rel q2			done		
ROM q2h			done		
Position chg q2h			done		
Orient behav q2h			done		
Hydra needs q2h			done		
CARE PROVIDERS	TMW1	TMW1	TMW1	TMW1	TMW1

WOMACK, TASSI M(TMW1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 49Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:
 Page: 49

FLWSHEET	04/27	04/28			
~~Restraints - Cont.~~	23:00	00:00	00:05	01:00	01:05
Feed needs q2h			done		
Toilet needs q2h			done		
Call bell q2			done		
CARE PROVIDERS			TMW1		

WOMACK, TASSI M(TMW1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A* Page: 49

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 50

Flowsheet	04/28				
Neuro/ENT	02:00	03:00	04:00	05:00	06:00
Reflexes present			present		
Abs of drainage			WNL		
Norm closure			WNL		
PERLA			WNL		
Patent nares			WNL		
No bleed/disch			WNL		
GCS eyes open			3=to verbal		
GCS best verbal			1=tube/trach		
Best upper motor			5=localizes pain		
GCS total score			9		
Lvl of conscious			lethargic		
Behavior/neuro			sedated		
Disoriented			calm		
			unable to assess		
Motor exam LUE			5=localizToPain		
Motor exam RUE			5=localizToPain		
Motor exam LLE			4=withdraws pain		
Motor exam RLE			4=withdraws pain		
MotorStrengthLUE			3=againstGravity		
MotorStrengthRUE			3=againstGravity		
MotorStrengthLLE			2=gravity elimin		
MotorStrengthRLE			2=gravity elimin		
Both pupilsShape			round		
Both pupilsReact			brisk		
Both pupils size			4mm		
Observe/Treatments	02:00	03:00	04:00	05:00	06:00
Alarms on	done		done		done
Skin care			done		done
Mouth care	done		done		done
Turn	right side		back		back
			&		
Side rails up	x4		x4		x4
Peri care			done		
Passive ROM	done		done		done
HOB > 30 deg	done		done		done
Assess reviewed			done		done
04/28/11 04:00 Turn(TMW1): cont turn bed					
Diab Flowsheet	02:00	03:00	04:00	05:00	06:00
Insulin mulriplr	0.03	0.05			
CARE PROVIDERS	TMW1	TMW1	TMW1		TMW1

WOMACK, TASSI M(TMW1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 50

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 51

FLWSHEET	04/28				
Restraints	02:00	03:00	04:00	05:00	06:00
Skin checks q1h	done	done	done	done	done
Circ checks q1h	done	done	done	done	done
Restraint rel q2	done		done		done
ROM q2h	done		done		done
Position chg q2h	done		done		done
Orient behav q2h	done		done		done
Hydra needs q2h	done		done		done
Feed needs q2h	done		done		done
Toilet needs q2h	done		done		done
Call bell q2	done		done		done
CARE PROVIDERS	TMW1	TMW1	TMW1	TMW1	TMW1

WOMACK, TASSI M(TMW1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 51

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:56 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
ACQUICETED: 05/02/11 00:00
OPT OUT:

Page: 52

FLWSHEET	04/28				
Chart Review	07:00	07:36	07:41	08:00	09:00
Chart check q12h		done			
Neuro/EENT	07:00	07:36	07:41	08:00	09:00
Reflexes present			present		
Abs of drainage			WNL		
Norm closure			WNL		
PERLA			WNL		
Patent nares			WNL		
No bleed/disch			WNL		
ImpNeuroEENTPOC			done		
GCS eyes open			4=spontaneously		
GCS best verbal			1=tube/trach		
Best upper motor			5=localizes pain		
GCS total score			10		
Lvl of conscious			sedated		
Behavior/neuro			agitated & restless		
Disoriented			unable to assess &		
Motor exam LUE			5=localizToPain		
Motor exam RUE			5=localizToPain		
Motor exam LLE			5=localizToPain		
Motor exam RLE			5=localizToPain		
MotorStrengthLUE			4=against resist		
MotorStrengthRUE			4=against resist		
MotorStrengthLLE			3=againstGravity		
MotorStrengthRLE			3=againstGravity		
Both pupilsShape			round		
Both pupilsReact			brisk		
Both pupils size			3mm		
04/28/11 07:41 Lvl of conscious(SACT): paused for exam and then titrated down					
04/28/11 07:41 Behavior/neuro(SACT): when awake					
Special Neuro	07:00	07:36	07:41	08:00	09:00
Sedation used			Diprivan		
Pain med			Fentanyl		
Cardiovascular	07:00	07:36	07:41	08:00	09:00
Norm sinusRhythm			WNL		
Rate approp/age			WNL		
S1S2			WNL		
PalpPeriphPulses			WNL		
Skin warm, dry			WNL		
Approp skinColor			for race		
Cap refill<3 sec			WNL		
Alarms on			on		
CARE PROVIDERS		TMW1	SACT		

NIREWICZ, SARAH A(SACT)RN

WOMACK, TASSI M(TMW1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 52

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 63

FLWSHEET	04/28				
Cardiovascular-Cont.	07:00	07:35	07:41	08:00	08:00
Implement CV POC			done		
Rhythm			NSR		
Monitor lead			lead II		
Heart sounds			S1 S2		
GeneralizedEdema			+2		
AllPulsesPresent			present		
R radial pulse			1+=weak		
L radial pulse			1+=weak		
RdorsalisPedPuls			1+=weak		
LdorsalisPedPuls			1+=weak		
Respiratory	07:00	07:35	07:41	08:00	08:00
Suction function			yes		
Ambu bag/mask			present		
Implmnt Resp POC			done		
BS all lobes			rhonchi		
RLL			decreased		
LLL			rhonchi		
			decreased		
			rhonchi		
Sputum/secretion			frothy		
			white		
Consistency			thin		
Airway			ETT - oral		
Oral airway			@bedside		
ETT size			7.5		
Placement @ lip			24cm		
ETT interv			lip/skin care		
Vent mode			mouth care		
Rate			PRVC		
FIO2			18bpm		
TV			40%		
PEEP			600mL		
PIP			5cm		
MVe			23		
			9.1l/min		
Oral suction			done		
Oral secretions			clear		
Oral-consistency			thin		
ETT suction			done		
ETT secretions			frothy		
			white		
ETT-consistency			thin		
CARE PROVIDERS			SACT		

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 53

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:56 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 54

FLOWSHEET		04/28			
Gastrointestinal	07:00	07:35	07:41	08:00	09:00
Normal dentition			WNL		
MucousMembIntact			WNL		
Last BM			pta		
Implement GI POC			done		
BowelSndsAllQuad Abdomen			absent distended rounded tender right nare low continuous green irrigated placement check LUQ gravity green LLQ		
NGT location					
NGT suction					
NGT drainage					
NGT interv					
G-tube location					
G-tube suction					
G-tube drainage					
J-tube location					
J-tube interv					
Tube#1 type			JP		
Tube#1 location					
Tube#1 drainage					
Tube#1 interv					
Tube#2 type			other (specify)		
Tube#2 location					
Tube#2 drainage					
Tube#2 interv					
04/28/11 07:41 J-tube interv(SACT): clamped for future feeding					
04/28/11 07:41 Tube#1 type(SACT): x2					
04/28/11 07:41 Tube#1 location(SACT): ruq and llq					
04/28/11 07:41 Tube#1 interv(SACT): drainage recorded					
04/28/11 07:41 Tube#2 type(SACT): duodenum					
Genitourinary	07:00	07:35	07:41	08:00	09:00
Adequate UOP			WNL		
NoChgPatrn/Char			WNL		
NoAbn penile bld			WNL		
NoAbnPenileDisch			WNL		
Implement GU POC			done		
Urine character			orange		
Catheter			foley		
Catheter size			16 FR		
DateCathInserted			04/26/2011		
CARE PROVIDERS			SACT		

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 54

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 65

FLWSHEET	04/28				
Gent/Urinary-Cont.	07:00	07:35	07:41	08:00	08:00
Cath/InsertedPTA			yes		
Func/Musculoskel	07:00	07:35	07:41	08:00	09:00
Impl FuncMuscPOC			done		
Weakness			generalized		
SCD site			leg L		
SCD type			leg R		
SCD assess			short leg		
			on		
			operational		
Speech			unable verbalize		
Skin	07:00	07:35	07:41	08:00	09:00
Skin warm			WNL		
Color approp			to ethnicity		
Impl Skn/Wnd POC			done		
Sens perception			2=very limited		
Moisture			3=occasionally moist		
Activity			1=bedfast		
Mobility			3=slight limited		
Nutrition			1=very poor		
Friction & shear			2=poten.problm		
Total Score			12		
Implement POC if			score < 17		
SpBed DecisnTree			score 1 or 2 in any		
			score 1 or 2 in any		
Turgor			tight		
Temperature			cool		
Care routine			BazaMoistBarrier		
			Carrafoam Cleansr		
Other equipment			positioningWedge		
Comfort	07:00	07:35	07:41	08:00	09:00
No c/o, no s/s of			chills		
			fever		
			itching		
			nausea		
			spasms		
			sweating		
			vomiting		
Impl Comfort POC			done		
Abnorm findings			pain		
			recvAnalgesicTx		
Pain score			0 Wong-Baker (face)		
Pt relief goal			0-2		
Pain onset			ongoing		
CARE PROVIDERS			SACT		

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 55

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/26/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 56

FLWSHEET	04/28				
Comfort-Care	07:00	07:35	07:41	08:00	09:00
Pain description			unable to descr		
Pain site(s)			unable to descr		
Comfort interv			cont infusion emotional supprt relaxation repositioned		
Observe/Treatments	07:00	07:35	07:41	08:00	09:00
Alarms on			done		
Mouth care			done		
Turn			right side		
Side rails up			x4		
Passive ROM			done		
HOB > 30 deg			done		
Assess reviewed			done		
Nutrition	07:00	07:35	07:41	08:00	09:00
Meal type			NPO		
Vascular Access	07:00	07:35	07:41	08:00	09:00
Site#1 location Insertion date Gauge Site#1 appear Site#1 dressing Interventions			antecubital L 04/26/2011 #18 secure dry and intact meds (see MAR)		
Site#2 location Insertion date Gauge Site#2 appear Site#2 dressing Interventions			forearm L 04/26/2011 #16 secure dry and intact meds (see MAR)		
Site#3 location Insertion date Gauge Site#3 appear Site#3 dressing Interventions			forearm R 04/26/2011 #16 secure dry and intact meds (see MAR)		
Art line loc Insertion date Site appearance Site dressing Waveform Leveled, zeroed			pedal L 04/26/2011 secure dry and intact crisp done		
CARE PROVIDERS			SACT		

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 56

PRM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 ACQUISITION: 05/05/11 00:00
 OPT OUT:

Page: 57

FLWSHEET	04/28				
Safety	07:00	07:38	07:41	08:00	09:00
Hospital ID band			on		
Bed in low posit			done		
Bed brakes on			done		
Bed press relief			on		
IV sol verified			done		
IV tbg/bag dated			done		
High alertMedsID			done		
Imple SafetyPOC			done		
AspirationRisk			yes		
AspPrecautnMntn			done		
Wound Fall Risk	07:00	07:38	07:41	08:00	09:00
HxFall(w/in 3mo)			no=0		
Secondary dx			yes=15		
Ambulatory aid			None Bedrest=0		
IV or IV access			yes=20		
Gait			Norm BR w/c=0		
Mental status			OvrestFrgtLmt=15		
Total score			50		
Imple Safety POC			done		
Intact Incision	07:00	07:38	07:41	08:00	09:00
Incision#1 site			abdomen		
Incision#1 type			other (specify)		
Drain			JP		
Incision#1 drAmt			other (specify)		
Incis#1 drainage			moderate		
Surrounding skin			serosanguinous		
Incision#1 dsg			intact		
			dry gauze		
04/28/11 07:41 Incision#1 type(SACT): or dsg intact					
04/28/11 07:41 Incision#1 dsg(SACT): or dsg intact					
Dist FlowSheet	07:00	07:38	07:41	08:00	09:00
Insulin multiplr				.05	.04
Restraints	07:00	07:38	07:41	08:00	09:00
Skin checks q1h	done		done		done
Circ checks q1h	done		done		done
Restraint rel q2			done		
ROM q2h			done		
Position chg q2h			done		
Orient behav q2h			done		
Hydra needs q2h			done		
Feed needs q2h			done		
Toilet needs q2h			done		
Call bell q2			done		
CARE PROVIDERS	TMW1		SACT	SACT	SACT

NIREWICZ, SARAH A(SACT)RN

WOMACK, TASSI M(TMW1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
 ROOM: *1070-A* Page: 57

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002857049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 58

FLWSHEET	04/28				
Restraints Cont.	07:00	07:38	07:41	08:00	08:00
Behavior q2h			continues		
Misc Events	07:00	07:38	07:41	08:00	08:00
Misc events			&		
04/28/11 07:41 Misc events(SACT): shift assessment complete. pt stable. no acute issues at this time.					
CARE PROVIDERS			SACT		

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 58

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
RECEIVED: 05/05/11 00:06
OPT OUT:

Page: 59

Flowsheet	04/28				
Neuro/EENT	10:00	10:15	11:00	12:00	12:09
GCS eyes open					4=spontaneously
GCS best verbal					5=orient/verbalz
Best upper motor					6=obeysVbCommand
GCS total score					15
Motor exam LUE					6=obeys commands
Motor exam RUE					6=obeys commands
Motor exam LLE					6=obeys commands
Motor exam RLE					6=obeys commands
MotorStrengthLUE					4=against resist
MotorStrengthRUE					4=against resist
MotorStrengthLLE					4=against resist
MotorStrengthRLE					4=against resist
Both pupilsShape					round
Both pupilsReact					brisk
Both pupils size					3mm
Cardiovascular	10:00	10:15	11:00	12:00	12:09
AllPulsesPresent		present			present
Respiratory	10:00	10:15	11:00	12:00	12:09
Extubation d/t			04/28/2011 11:00 &		
04/28/11 11:00 Extubation d/t(SACT): extubated to room air, attending montgomery present					
Observe/Treatments	10:00	10:15	11:00	12:00	12:09
Alarms on		done			done
Mouth care		done			
Linen change					done
Turn		left side			back
Side rails up		x4			x2
Passive ROM		done			
HOB > 30 deg		done			done
Assess reviewed		done			done
Vascular Access	10:00	10:15	11:00	12:00	12:09
Site#1 appear		secure			secure
Site#1 dressing		dry and intact			dry and intact
Site#2 appear		secure			secure
Site#2 dressing		dry and intact			dry and intact
Site#3 appear		secure			secure
Site#3 dressing		dry and intact			dry and intact
Oth art interv					
04/28/11 10:15 Oth art interv(SACT): removed due to loss of waveform and blood draw					
CARE PROVIDERS		SACT	SACT		SACT

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 59

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 60

FLWSHEET	04/28				
Diab. Flwsheet	10:00	10:15	11:00	12:00	12:09
Insulin multiplr	.04		.05	.06	
Restraints	10:00	10:15	11:00	12:00	12:09
Skin checks q1h		done	done		
Circ checks q1h		done	done		
Restraint rel q2		done			
ROM q2h		done			
Position chg q2h		done			
Orient behav q2h		done			
Hydra needs q2h		done			
Feed needs q2h		done			
Toilet needs q2h		done			
Call bell q2		done			
Behavior q2h		continues	terminated		
Misc Events	10:00	10:15	11:00	12:00	12:09
Rounds		&			
04/28/11 10:15 Rounds(SACT): cc team at bedside. awaiting extubation					
CARE PROVIDERS	SACT	SACT	SACT	SACT	
NIREWICZ, SARAH A(SACT)RN					

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 60

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/26/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 61

FLWSHEET	04/28				
Neuro/EENT	13:00	14:00	14:07	15:00	16:00
Reflexes present					
PERLA					
GCS eyes open					
GCS best verbal					
Best upper motor					
GCS total score					
Motor exam LUE					
Motor exam RUE					
Motor exam LLE					
Motor exam RLE					
Both pupils Shape					
Both pupils React					
Both pupils size					
Cardiovascular	13:00	14:00	14:07	15:00	16:00
All Pulses Present			present		
Observ/Treatment	13:00	14:00	14:07	15:00	16:00
Alarms on			done		
Tum			right side		
Side rails up			x2		
HOB > 30 deg			done		
Assess reviewed			done		
Vascular Access	13:00	14:00	14:07	15:00	16:00
Site#1 appear			secure		
Site#1 dressing			dry and intact		
Site#2 appear			secure		
Site#2 dressing			dry and intact		
Site#3 appear			secure		
Site#3 dressing			dry and intact		
Dial FlowSheet	13:00	14:00	14:07	15:00	16:00
Insulin multipl	.07	.08		.09	.09
CARE PROVIDERS	SACT	SACT	SACT	SACT	SACT

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
 ROOM: *1070-A* Page: 61

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 62

FLWSHEET	04/28				
Chart Review	16:05	16:50	17:00	18:00	18:00
Chart check q12h					
Neuro/EENT	16:05	16:50	17:00	18:00	18:00
Reflexes present	present				
PERLA	WNL				
GCS eyes open	4=spontaneously				
GCS best verbal	5=orient/verbalz				
Best upper motor	6=obeysVbCommand				
GCS total score	15				
Motor exam LUE	6=obeys commands				
Motor exam RUE	6=obeys commands				
Motor exam LLE	6=obeys commands				
Motor exam RLE	6=obeys commands				
Both pupilsShape	round				
Both pupilsReact	brisk				
Both pupils size	3mm				
Cardiovascular	16:05	16:50	17:00	18:00	18:00
AllPulsesPresent	present				
Observ/Treatmnts	16:05	16:50	17:00	18:00	18:00
Alarms on	done				
Turn	back				
Side rails up	x2				
HOB > 30 deg	done				
Assess reviewed	done				
Vascular Access	16:05	16:50	17:00	18:00	18:00
Site#1 appear	secure				
Site#1 dressing	dry and intact				
Site#2 appear	secure				
Site#2 dressing	dry and intact				
Site#3 appear	secure				
Site#3 dressing	dry and intact				
Intact Incision	16:05	16:50	17:00	18:00	18:00
Incision#1 site		abdomen			
Incision#1 type		staples			
Drain		JP			
		other (specify)			
		&			
Incision#1 drAmt		scant			
Incis#1 drainage		serosanguinous			
Surrounding skin		intact			
Dsg chg sched		every day			
Incision#1 dsg		open to air			
04/28/11 16:50 Drain(SACT): drain sponges around all abdominal drains. incision ota					
CARE PROVIDERS	SACT	SACT			

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 62

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 63

FLWSHEET	04/28				
Diab: Flwsheet	16:05	16:50	17:00	18:00	18:00
Insulin multiplr			.1	.09	.1
CARE PROVIDERS			SACT	SACT	SACT

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 63

PERM

Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 63 of 78

GOODWIN, DAVON
 MUSC
 24-Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002857049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 64

FLWSHEET	04/28				
Chart Review	18:18	19:55	20:18	21:00	22:00
Chart check q12h	done				
Neuro/EENT	18:18	19:55	20:18	21:00	22:00
Alert, oriented x3			WNL		
Follows commands			WNL		
Reflexes present			present		
NormHear&/orAid			WNL		
Abs of drainage			WNL		
Norm closure			WNL		
No visual impair			WNL		
PERLA			WNL		
Patent nares			WNL		
No bleed/disch			WNL		
Imp/NeuroEENT POC			done		
GCS eyes open			4=spontaneously		
GCS best verbal			5=orient/verbalz		
Best upper motor			6=obeysVbCommand		
GCS total score			15		
Behavior/neuro			calm		
Motor exam LUE			6=obeys commands		
Motor exam RUE			6=obeys commands		
Motor exam LLE			6=obeys commands		
Motor exam RLE			6=obeys commands		
MotorStrengthLUE			5=normal		
MotorStrengthRUE			5=normal		
MotorStrengthLLE			5=normal		
MotorStrengthRLE			5=normal		
Both pupilsShape			round		
Both pupilsReact			brisk		
Both pupils size			3mm		
Special Neuro	18:18	19:55	20:18	21:00	22:00
Pain med			Fentanyl		
Cardiovascular	18:18	19:55	20:18	21:00	22:00
S1S2			WNL		
Angina/chestPain			no complaints		
PalpPeriphPulses			WNL		
Skin warm, dry			WNL		
Approp skinColor			for race		
Cap refill<3 sec			WNL		
Thigh/CalfTender			none		
Alarms on			on		
Implement CV POC			done		
Rhythm			sinus tach		
Monitor lead			lead II		
Heart sounds			S1 S2		
CARE PROVIDERS	SACT, (SSPU)		SSPU		

NIREWICZ, SARAH A(SACT)RN

SPURR, SARAH(SSPU)RN

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB: - 24-Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 64

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 65

FLWSHEET	04/28				
Cardiovascular-Cont.	18:19	18:55	20:18	21:00	22:00
RUE edema			+1		
RLE edema			+1		
LUE edema			+1		
LLE edema			+1		
GeneralizedEdema			+1		
AllPulsesPresent			present		
Respiratory	18:19	18:55	20:18	21:00	22:00
Spont resps			WNL		
NonLaboredEffort			WNL		
RegClrBreathSnds			all lobes		
Natural airway			WNL		
Suction function			yes		
Ambu bag/mask			present		
Implmnt Resp POC			done		
Cough			nonproductive		
Resp interv			deepBreatheCough incent spiometr		
Oxygen L			2LPM nasal cannu la		
Gastrointestinal	18:19	18:55	20:18	21:00	22:00
No o/e N/V			WNL		
Normal dentition			WNL		
MucousMembIntact			WNL		
Last BM			pta		
Implement GI POC			done		
BowelSndsAllQuad			hypoactive		
Abdomen			distended		
Mouth			tender		
			dry		
NGT location			right nare		
NGT suction			low continuous		
NGT drainage			green		
NGT interv			irrigated		
			placement check		
G-tube location			LUQ		
G-tube suction			gravity		
G-tube drainage			green		
G-tube interv			tube site care		
J-tube location			LLQ		
J-tube interv			taped/retaped		
Tube#1 type			JP		
Tube#1 location					
Tube#1 drainage			serosanguinous		
CARE PROVIDERS			SSPU		

SPURR, SARAH(SSPU)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
ROOM: *1070-A*

PERM

Page: 65

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/26/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 66

FLWSHEET	04/28				
Gastrointestinal-Cont:	18:15	19:55	20:18	21:00	22:00
Tube#1 interv			bulb suction stripped tube site care other (specify)		
Tube#2 type					
Tube#2 location			LUQ		
Tube#2 drainage			serosanguinous		
Tube#2 interv			tube site care		
04/28/11 20:19 J-tube interv(SSPU): clamped- plan to start TF tmro					
04/28/11 20:19 Tube#1 type(SSPU): x 2					
04/28/11 20:19 Tube#1 location(SSPU): RUQ and LLQ					
04/28/11 20:19 Tube#2 type(SSPU): duodenostomy- to low intermittent wall suction					
Genitourinary	18:15	19:55	20:18	21:00	22:00
Adequate UOP			WNL		
NoChgPatrrn/Char			WNL		
NoAbn penile bld			WNL		
NoAbnPenileDisch			WNL		
Implement GU POC			done		
Urine character			amber		
Catheter			foley		
Catheter size			16 FR		
DateCathInserted			04/26/2011		
CathInsertedPTA			yes		
Func/Musculosket	18:15	19:55	20:18	21:00	22:00
ActiveROM x4 ext			WNL		
Symmetry all ext			WNL		
Strength all ext			WNL		
Speech clear			WNL		
Impl FuncMusclePOC			done		
Weakness			generalized		
SCD site			leg L leg R		
SCD type			short leg		
SCD assess			on operational		
Skin	18:15	19:55	20:18	21:00	22:00
Skin warm			WNL		
Skin dry& intact			WNL		
Color approp			to ethnicity		
Impl Skn/Wnd POC			done		
Sens perception			4=no impairmnt		
Moisture			3=occasly moist		
Activity			1=bedfast		
Mobility			3=slight limited		
CARE PROVIDERS			SSPU		

SPURR, SARAH(SSPU)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 66

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 19Y SEX M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 67

FLOWSHEET		04/28			
Skin:Cont	19:19	19:55	20:19	21:00	22:00
Nutrition			1=very poor		
Friction & shear			2=poten.problem		
Total Score			14		
Implement POC if			score < 17		
SpBed DecisnTree			score1or2 in any		
Turgor			score1or2 in any		
Care routine			tight		
Other equipment			BazaMoistBarrier		
			positioningWedge		
Comfort	19:19	19:55	20:19	21:00	22:00
No c/o, no s/s of			chills		
			fever		
			itching		
			nausea		
			prob sleeping		
			pain		
			sweating		
			vomiting		
Impl Comfort POC			done		
Abnorm findings			recvAnalgesicTx		
Pain score			0 Numeric Value		
Comfort interv			cont infusion		
			emotional supprt		
			repositioned		
Observ/Treatmnts	19:19	19:55	20:19	21:00	22:00
Alarms on			done		
Skin care			done		
Mouth care			done		
Turn			back		
Side rails up			x4		
Deep breathe			done		
IncentiveSpir			done		
			&		
HOB > 30 deg			done		
Assess reviewed			done		
04/28/11 20:19 IncentiveSpir(SSPU): 1000 x 10					
Nutrition	19:19	19:55	20:19	21:00	22:00
Meal type			NPO		
Vascular Access	19:19	19:55	20:19	21:00	22:00
Site#1 location			antecubital L		
Insertion date			04/26/2011		
Gauge			#18		
Site#1 appear			secure		
CARE PROVIDERS			SSPU		

SPURR, SARAH(SSPU)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 67

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/26/11 02:56 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 68

04/28	18:15	18:55	20:18	21:00	22:00
Flowsheet					
Vascular Access-Cont.					
Site#1 dressing Interventions			dry and intact meds (see MAR)		
Site#2 location Insertion date Gauge Site#2 appear Site#2 dressing Interventions			forearm L 04/26/2011 #16 secure dry and intact meds (see MAR)		
Site#3 location Insertion date Gauge Site#3 appear Site#3 dressing Interventions			forearm R 04/26/2011 #16 secure dry and intact meds (see MAR)		
Safety					
Hospital ID band CallSystem access Bed in low posit Bed brakes on Bed alarms on Bed press relief IV sol verified IV tbg/bag dated High alertMedsID			on done done done done on done done done		
Imple SafetyPOC			done		
AspirationRisk AspPrecautnMntn InfectionHiRisk			yes done other (specify)		
04/28/11 20:19 InfectionHiRisk(SSPU); invasive lines and tubes in place					
Moore Fall Risk					
HxFall(w/in 3mo) Secondary dx Ambulatory aid IV or IV access Gait Mental status Total score			no=0 yes=15 None Bedrest=0 yes=20 Norm BR w/c=0 Knows limits=0 35		
Imple Safety POC			done		
Intact Incision					
Incision#1 site Incision#1 type Drain Incision#1 dr/Amt Incis#1 drainage CARE PROVIDERS			abdomen staples JP other (specify) moderate serosanguinous SSPU		

SPURR, SARAH(SSPU)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 68

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 69

FLWSHEET	04/28				
Intact Incision Cont.	18:18	18:55	20:18	21:00	22:00
Surrounding skin			Intact		
Incision#1 dsg			open to air		
Dial Flowsheet	18:18	18:55	20:18	21:00	22:00
Insulin multiplr		0.1		.09	.08
CARE PROVIDERS		SSPU	SSPU	SSPU	SSPU

SPURR, SARAH(SSPU)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 69

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 70

FLWSHEET	04/28	04/29			
Diab. Flwsheet	28:10	00:10	04:00	05:10	06:10
Insulin multiplr	.07	.06	.04	.04	.03
CARE PROVIDERS	SSPU	SSPU	SSPU	SSPU	SSPU

SPURR, SARAH(SSPU)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 71

FLWSHEET	04/29				
Chart Review	07:00	07:22	08:00	09:00	10:00
Chart check q12h		done			
Neuro/EENT	07:00	07:22	08:00	09:00	10:00
Alert, oriented x3			WNL		
Follows commands			WNL		
Reflexes present			present		
NormHear&/orAid			WNL		
Abs of drainage			WNL		
Norm closure			WNL		
No visual impair			WNL		
PERLA			WNL		
Patent nares			WNL		
No bleed/disch			WNL		
GCS eyes open			4=spontaneously		
GCS best verbal			5=orient/verbalz		
Best upper motor			6=obeysVbCommand		
GCS total score			15		
Behavior/neuro			calm		
Motor exam LUE			6=obeys commands		
Motor exam RUE			6=obeys commands		
Motor exam LLE			6=obeys commands		
Motor exam RLE			6=obeys commands		
MotorStrengthLUE			5=normal		
MotorStrengthRUE			5=normal		
MotorStrengthLLE			5=normal		
MotorStrengthRLE			5=normal		
Both pupilsShape			round		
Both pupilsReact			brisk		
Both pupils size			3mm		
Special Neuro	07:00	07:22	08:00	09:00	10:00
Pain med			Fentanyl		
Cardiovascular	07:00	07:22	08:00	09:00	10:00
S1S2			WNL		
Angina/chestPain			no complaints		
PalpPeriphPulses			WNL		
Skin warm, dry			WNL		
Approp skinColor			for race		
Cap refill<3 sec			WNL		
Thigh/CalfTender			none		
Alarms on			on		
Implement CV POC			done		
Rhythm			sinus tach		
Monitor lead			lead II		
Heart sounds			S1 S2		
GeneralizedEdema			+3		
CARE PROVIDERS		SSPU, {SACT}	SACT		

NIREWICZ, SARAH A(SACT)RN

SPURR, SARAH(SSPU)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 71

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 72

FLWSHEET	04/29				
Cardiovascular-Cont.	07:00	07:22	08:00	08:00	10:00
RperiorbitEdema			+1		
LperiorbitEdema			+1		
AllPulsesPresent			present		present
Respiratory	07:00	07:22	08:00	08:00	10:00
Spont respers			WNL		
NonLaboredEffort			WNL		
RegClrBreathSnds			all lobes		
No cough,dyspnea			WNL		
Natural airway			WNL		
Suction function			yes		
Ambu bag/mask			present		
Implmnt Resp POC			done		
BS all lobes			decreased		
Cough			productive		
Sputum/secretion			clear		
Consistency			thin		
Oxygen L			2LPM nasal cannu la		
Gastrointestinal	07:00	07:22	08:00	08:00	10:00
No c/o N/V			WNL		
Normal dentition			WNL		
MucousMembIntact			WNL		
Last BM			pta		
Implement GI POC			done		
BowelSndsAllQuad			absent		
Abdomen			distended		
G-tube location			tender		
G-tube suction			LUQ		
G-tube drainage			gravity		
G-tube interv			green		
J-tube location			irrigated		
J-tube interv			placement check		
Tube#1 type			LLQ		
Tube#1 location			irrigated		
Tube#1 drainage			JP		
Tube#1 interv			& &		
Tube#2 type			serosanguinous		
Tube#2 location			bulb suction		
Tube#2 drainage			stripped		
Tube#2 interv			other (specify)		
			&		
			LUQ		
			bloody		
			&		
CARE PROVIDERS			SACT		SACT

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 72

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/29/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUIRETD 05/05/11 00:00
OPT OUT:

Page: 73

FLOWSHEET		04/29			
Gastrointestinal/Conl	07:00	07:22	08:00	09:00	10:00
04/29/11 08:00 Tube#1 type(SACT): x2					
04/29/11 08:00 Tube#1 location(SACT): ruq and luq					
04/29/11 08:00 Tube#2 type(SACT): duodenal drain					
04/29/11 08:00 Tube#2 interv(SACT): to low wall suction					
Genitourinary	07:00	07:22	08:00	09:00	10:00
Adequate UOP			WNL		
NoChgPattn/Char			WNL		
NoAbn penile bld			WNL		
NoAbnPenileDisch			WNL		
Implement GU POC			done		
Urine character			orange		
Catheter			foley		
Catheter size			16 FR		
Func/Musculoskel	07:00	07:22	08:00	09:00	10:00
ActiveROM x4 ext			WNL		
Symmetry all ext			WNL		
Speech clear			WNL		
Impl FuncMuscPOC			done		
Weakness			generalized		
SCD site			leg L leg R		
SCD type			short leg		
SCD assess			on operational		
Skin	07:00	07:22	08:00	09:00	10:00
Skin warm			WNL		
Skin dry& intact			WNL		
Color approp			to ethnicity		
Impl Skn/Wnd POC			done		
Sens perception			4=no impairmnt		
Moisture			4=rarely moist		
Activity			1=bedfast		
Mobility			3=slight limited		
Nutrition			1=very poor		
Friction & shear			3=no app.probl		
Total Score			16		
Implement POC If			score < 17		
SpBed DecisnTree			score 1or2 in any score 1or2 in any		
Turgor			tight		
Temperature			cool		
Care routine			BazaMoistBarrier CarrafoamCleansr		
Other equipment			positioningWedge		
CARE PROVIDERS			SACT		

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 73

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 48Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 74

Flowsheet	04/29				
Comfort	07:00	07:22	08:00	08:00	10:00
No c/o, no s/s of			chills fever itching nausea spasms sweating vomiting		
Impl Comfort POC			done		
Abnorm findings			pain recvAnalgesicTx		
Pain score			5 Numeric Value		
Pt relief goal			0-2		
Pain onset			ongoing		
Pain description			throbbing		
Pain site(s)			incisional abdomen		
Comfort interv			cont infusion emotional support relaxation repositioned		
Observ/Treatmnts	07:00	07:22	08:00	08:00	10:00
Alarms on			done		done
Mouth care			done		
Turn			back self		left side
Side rails up			x3		x3
Deep breathe			done		done
IncentiveSpir			done		done
HOE > 30 deg			done		done
Assess reviewed					done
Nutrition	07:00	07:22	08:00	08:00	10:00
Meal type			NPO		
Vascular Access	07:00	07:22	08:00	08:00	10:00
Site#1 location Insertion date Gauge Site#1 appear Site#1 dressing Interventions			antecubital L 04/26/2011 #18 secure dry and intact saline lock		secure dry and intact
Site#2 location Insertion date Gauge Site#2 appear CARE PROVIDERS			forearm L 04/26/2011 #18 secure SACT		secure SACT

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 74

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/29/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 76

FLOWSHEET		04/29			
Vascular Access-Cont.	07:00	07:22	08:00	09:00	10:00
Site#2 dressing Interventions			dry and intact meds (see MAR)		dry and intact
Safety	07:00	07:22	08:00	09:00	10:00
Hospital ID band			on		
CallSystem access			done		
Bed in low posit			done		
Bed brakes on			done		
Bed alarms on			done		
Bed press relief			on		
IV sol verified			done		
IV tbg/bag dated			done		
High alertMedsID			done		
Imple SafetyPOC			done		
AspirationRisk			yes		
AspPrecautnMntn			done		
More Fall Risk	07:00	07:22	08:00	09:00	10:00
HxFall(w/in 3mo)			no=0		
Secondary dx			yes=15		
Ambulatory aid			None Bedrest=0		
IV or IV access			yes=20		
Gait			Norm BR w/c=0		
Mental status			Knows limits=0		
Total score			35		
Intact Incision	07:00	07:22	08:00	09:00	10:00
Incision#1 site			abdomen		
Incision#1 type			staples		
Drain			JP		
			other (specify)		
			&		
Incision#1 dRAmt			none		
Surrounding skin			intact		
Dsg chg sched			every day		
Incision#1 dsg			other (specify)		
			&		
04/29/11 08:00 Drain(SACT): jp x2, duodenal drain, gtube, jtube					
04/29/11 08:00 Incision#1 dsg(SACT): incision ota and drain sponges around drains					
Diet Flowsheet	07:00	07:22	08:00	09:00	10:00
Insulin multiplr	.03		.03	.04	.05
Misc Events	07:00	07:22	08:00	09:00	10:00
Misc events				&	
04/29/11 08:00 Misc events(SACT): shift assessment complete, pt stable.					
CARE PROVIDERS	SSPU		SACT	SACT	SACT
NIREWICZ, SARAH A(SACT)RN		SPURR, SARAH(SSPU)RN			

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 76

Flowsheet	04/29				
Neuro/EENT	11:00	12:00	12:45	13:00	14:00
Alert, oriented x3			WNL		
Follows commands			WNL		
Reflexes present			present		
PERLA			WNL		
GCS eyes open			4=spontaneously		
GCS best verbal			5=orient/verbalz		
Best upper motor			6=obeys VbCommand		
GCS total score			15		
Motor exam LUE			6=obeys commands		
Motor exam RUE			6=obeys commands		
Motor exam LLE			6=obeys commands		
Motor exam RLE			6=obeys commands		
Both pupils Shape			round		
Both pupils React			brisk		
Both pupils size			3mm		
Cardiovascular	11:00	12:00	12:45	13:00	14:00
All Pulses Present			present		
Observe/Treatments	11:00	12:00	12:45	13:00	14:00
Alarms on			done		
Linen change			done		
Turn			back		
Deep breathe			done		
Incentive Spir			done		
HOB > 30 deg			done		
Assess reviewed			done		
04/29/11 12:45 Turn(SACT): up in chair					
Vascular Access	11:00	12:00	12:45	13:00	14:00
Site#1 appear			secure		
Site#1 dressing			dry and intact		
Site#2 appear			secure		
Site#2 dressing			dry and intact		
Diab Flowsheet	11:00	12:00	12:45	13:00	14:00
Insulin multiplr	.06	.07		.07	.07
CARE PROVIDERS	SACT	SACT	SACT	SACT	SACT

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
ROOM: *1070-A*

Page: 76

PERM

GOODWIN, DAVON
MUSC
24Hour Critical Care Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 77

FLOWSHEET		04/29				
Neuro/BBT		14:15	15:00	16:00	16:30	16:54
Alert, oriented x3					WNL	
Reflexes present					present	
PERLA					WNL	
GCS eyes open					4=spontaneously	
GCS best verbal					5=orient/verbal	
Best upper motor					6=obeys Vb Command	
GCS total score					15	
Motor exam LUE					6=obeys commands	
Motor exam RUE					6=obeys commands	
Motor exam LLE					6=obeys commands	
Motor exam RLE					6=obeys commands	
Both pupils Shape					round	
Both pupils React					brisk	
Both pupils size					3mm	
Cardiovascular		14:15	15:00	16:00	16:30	16:54
All Pulses Present	present				present	
Observ/Treatments		14:15	15:00	16:00	16:30	16:54
Alarms on	done				done	
Turn	back				self	
	&					
Side rails up					x3	
Deep breathe	done				done	
Incentive Spir	done				done	
HOB > 30 deg	done				done	
Assess reviewed	done				done	
04/29/11 14:15 Turn(SACT): back to bed from chair						
Vascular Access		14:15	15:00	16:00	16:30	16:54
Site#1 appear	secure				secure	
Site#1 dressing	dry and intact				dry and intact	
Site#2 appear	secure				secure	
Site#2 dressing	dry and intact				dry and intact	
Intact Incision		14:15	16:00	16:00	16:30	16:54
Drain						&
04/29/11 16:54 Drain(SACT): drain sponges changed						
Diab Flowsheet		14:15	15:00	16:00	16:30	16:54
Insulin multipl		.06		.06		
CARE PROVIDERS	SACT	SACT	SACT	SACT	SACT	SACT

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Critical Care Patient Record
ROOM: *1070-A* Page: 77

PERM

GOODWIN, DAVON
 MUSC
 24Hour Critical Care Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 78

FLWSHEET	04/29		
Diab: Flwsheet	17:00	18:25	19:00
Insulin muliplr	.07	.06	.05
CARE PROVIDERS	SACT	SACT	SACT

NIREWICZ, SARAH A(SACT)RN

LAST PAGE

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Critical Care Patient Record
 ROOM: *1070-A*

Page: 78

PERM

Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 78 of 78

GOODWIN, DAVON
 MUSC
 MUHA mod/inact
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 49Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:
 Page: 1

I & O**Wound drn #1**

(O) Perform Date: 04/26/11 19:40 Chart Date: 04/26/11 19:45 Chart Inits.: JLP
 Value: 100

(M) Perform Date: 04/26/11 19:40 Chart Date: 04/26/11 19:45 Chart Inits.: JLP
 Value: (500)

InvasiveLineFlash

(O) Perform Date: 04/29/11 06:10 Chart Date: 04/29/11 06:15 Chart Inits.: SSPU
 Value: 3

(I) Inact Date: 04/29/11 06:35 Inact Inits.: SSPU

Lactated Ringers

(O) Perform Date: 04/29/11 06:10 Chart Date: 04/29/11 06:15 Chart Inits.: SSPU
 Value: 30

(I) Inact Date: 04/29/11 06:35 Inact Inits.: SSPU

Foley cath

(O) Perform Date: 04/29/11 06:10 Chart Date: 04/29/11 06:15 Chart Inits.: SSPU
 Value: 70

(I) Inact Date: 04/29/11 06:35 Inact Inits.: SSPU

Insulin

(O) Perform Date: 04/29/11 06:10 Chart Date: 04/29/11 06:15 Chart Inits.: SSPU
 Value: 4.4

(I) Inact Date: 04/29/11 06:35 Inact Inits.: SSPU

Foley cath

(O) Perform Date: 04/29/11 19:45 Chart Date: 04/29/11 19:49 Chart Inits.: DERO
 Value: 650

(I) Inact Date: 04/29/11 19:52 Inact Inits.: DERO

NG tube

(O) Perform Date: 05/01/11 02:00 Chart Date: 05/01/11 02:58 Chart Inits.: MGAL
 Value: 150

(I) Inact Date: 05/01/11 06:44 Inact Inits.: MGAL

Urine

(O) Perform Date: 05/01/11 19:30 Chart Date: 05/01/11 19:30 Chart Inits.: BM2
 Value: 1

(I) Inact Date: 05/01/11 19:30 Inact Inits.: BM2

TF residual

(O) Perform Date: 05/01/11 21:47 Chart Date: 05/01/11 22:20 Chart Inits.: ET72
 Value: 15

(I) Inact Date: 05/02/11 00:38 Inact Inits.: ET72

Foley cath

(O) Perform Date: 05/02/11 22:29 Chart Date: 05/02/11 22:39 Chart Inits.: BRB5
 Value: 700

(I) Inact Date: 05/03/11 04:27 Inact Inits.: BRB5

Urine(O)

(O) Perform Date: 05/04/11 01:52 Chart Date: 05/04/11 01:55 Chart Inits.: PA35
 Value: 1

(I) Inact Date: 05/04/11 03:12 Inact Inits.: PA35

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - MUHA mod/inact
 ROOM: *1070-A*

Page: 1

PERM

GOODWIN, DAVON
 MUSC
 MUHA mod/inact
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 RECQULG1LD: 05/05/11 00:00
 OPT OUT:
 Page: 2

I & OG-tube

(O) Perform Date: 05/04/11 01:52 Chart Date: 05/04/11 01:55 Chart Inits.: PA35
 Value: 0

(I) Inact Date: 05/04/11 03:12 Inact Inits.: PA35

JP #1

(O) Perform Date: 05/04/11 01:52 Chart Date: 05/04/11 01:55 Chart Inits.: PA35
 Value: 0

(I) Inact Date: 05/04/11 03:12 Inact Inits.: PA35

JP #2

(O) Perform Date: 05/04/11 01:52 Chart Date: 05/04/11 01:55 Chart Inits.: PA35
 Value: 0

(I) Inact Date: 05/04/11 03:12 Inact Inits.: PA35

Stool(O)

(O) Perform Date: 05/04/11 01:52 Chart Date: 05/04/11 01:55 Chart Inits.: PA35
 Value: 10
 Annotation: cc's

(I) Inact Date: 05/04/11 03:12 Inact Inits.: PA35

Legend ChartingTemp in C Do Not Delete

(O) Perform Date: 04/26/11 07:00 Chart Date: 04/26/11 07:38 Chart Inits.: SMT5
 Value: 35.9C

(M) Perform Date: 04/26/11 07:00 Chart Date: 04/26/11 07:44 Chart Inits.: SMT5
 Value: 35.9C
 Annotation: (Bear hugger placed on pt.)

Report Do Not Delete

(O) Perform Date: 04/26/11 07:15 Chart Date: 04/26/11 07:59 Chart Inits.: SMT5
 Value:

Annotation: Report given to Leslie RN; Transfer of pt. care

(M) Perform Date: 04/26/11 07:15 Chart Date: 04/26/11 08:00 Chart Inits.: SMT5
 Value:

Annotation: (Report given to Leslie RN; Notified Leslie that 2 more units of FFP still needed to be given; Transfer of pt. care)

Site appearance Do Not Delete

(O) Perform Date: 04/26/11 10:00 Chart Date: 04/26/11 11:51 Chart Inits.: LAA
 Value: SecureSutur

(M) Perform Date: 04/26/11 10:00 Chart Date: 04/26/11 12:14 Chart Inits.: LAA
 Value: (Secure)

Temp in C Do Not Delete

(O) Perform Date: 04/28/11 13:23 Chart Date: 04/28/11 13:23 Chart Inits.: SACT
 Value: 36.7C

(I) Inact Date: 04/28/11 13:23 Inact Inits.: SACT

PULSE Do Not Delete

(O) Perform Date: 04/28/11 13:23 Chart Date: 04/28/11 13:23 Chart Inits.: SACT
 Value: 104

(I) Inact Date: 04/28/11 13:23 Inact Inits.: SACT

RESPIRATIONS Do Not Delete

(O) Perform Date: 04/28/11 13:23 Chart Date: 04/28/11 13:23 Chart Inits.: SACT
 Value: 21

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - MUHA mod/inact
 ROOM: *1070-A*

Page: 2

PRM

GOODWIN, DAVON
MUSC
MUHA mod/inact
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:
Page: 3

Legend Charting**RESPIRATIONS Do Not Delete**

(I)

Inact Date: 04/28/11 13:24 Inact Inits.: SACT

O2 SAT % Do Not Delete

(O) Perform Date: 04/28/11 13:23

Value: 99%

Chart Date: 04/28/11 13:23 Chart Inits.: SACT

(I)

Inact Date: 04/28/11 13:24 Inact Inits.: SACT

O2 SAT % Do Not Delete

(O) Perform Date: 04/29/11 06:10

Value: 97%

Chart Date: 04/29/11 06:15 Chart Inits.: SSPU

(I)

Inact Date: 04/29/11 06:35 Inact Inits.: SSPU

BP mean (NIBP) Do Not Delete

(O) Perform Date: 04/29/11 06:10

Value: 91

Chart Date: 04/29/11 06:15 Chart Inits.: SSPU

(I)

Inact Date: 04/29/11 06:35 Inact Inits.: SSPU

PULSE Do Not Delete

(O) Perform Date: 04/29/11 06:10

Value: 112

Chart Date: 04/29/11 06:15 Chart Inits.: SSPU

(I)

Inact Date: 04/29/11 06:35 Inact Inits.: SSPU

Insulin Do Not Delete

(O) Perform Date: 04/29/11 06:10

Value: 4.4units/hr

Chart Date: 04/29/11 06:15 Chart Inits.: SSPU

(I)

Inact Date: 04/29/11 06:35 Inact Inits.: SSPU

Insulin mulriplr Do Not Delete

(O) Perform Date: 04/29/11 06:10

Value: 0.1

Chart Date: 04/29/11 06:15 Chart Inits.: SSPU

(I)

Inact Date: 04/29/11 06:35 Inact Inits.: SSPU

RESPIRATIONS Do Not Delete

(O) Perform Date: 04/29/11 06:10

Value: 23

Chart Date: 04/29/11 06:15 Chart Inits.: SSPU

(I)

Inact Date: 04/29/11 06:35 Inact Inits.: SSPU

BP (NIBP) Do Not Delete

(O) Perform Date: 04/29/11 06:10

Value: 154/71

Chart Date: 04/29/11 06:15 Chart Inits.: SSPU

(I)

Inact Date: 04/29/11 06:35 Inact Inits.: SSPU

Temp in C Do Not Delete

(O) Perform Date: 04/29/11 06:10

Value: 37C

Chart Date: 04/29/11 06:15 Chart Inits.: SSPU

(I)

Inact Date: 04/29/11 06:35 Inact Inits.: SSPU

Glucose fa Do Not Delete

(O) Perform Date: 04/29/11 06:10

Value: 104mg/dL

Chart Date: 04/29/11 06:15 Chart Inits.: SSPU

(I)

Inact Date: 04/29/11 06:35 Inact Inits.: SSPU

PAIN LEVEL Do Not Delete

(O) Perform Date: 04/29/11 06:10

Value: 0 Wongbk

Chart Date: 04/29/11 06:15 Chart Inits.: SSPU

(I)

Inact Date: 04/29/11 06:35 Inact Inits.: SSPU

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - MUHA mod/inact
ROOM: *1070-A*

Page: 3

PERM

GOODWIN, DAVON
 MUSC
 MUHA mod/inact
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002857049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 4

Legend Charting**Glucose fs Do Not Delete**

(O) Perform Date: 04/30/11 02:00
 Value: 128mg/dL

Chart Date: 04/30/11 02:19 Chart Inits.: MGAL

(I)

Inact Date: 04/30/11 02:21 Inact Inits.: MGAL

Insulin Multiplr Do Not Delete

(O) Perform Date: 04/29/11 20:00
 Value: .04

Chart Date: 04/30/11 03:21 Chart Inits.: JAC1

(O) Perform Date: 04/29/11 20:00
 Value: .04

Chart Date: 04/30/11 03:21 Chart Inits.: JAC1

Insulin Multiplr Do Not Delete

(O) Perform Date: 04/29/11 21:00
 Value: .04

Chart Date: 04/30/11 03:21 Chart Inits.: JAC1

(O) Perform Date: 04/29/11 21:00
 Value: .04

Chart Date: 04/30/11 03:21 Chart Inits.: JAC1

Insulin Multiplr Do Not Delete

(O) Perform Date: 04/29/11 22:00
 Value: .05

Chart Date: 04/30/11 03:22 Chart Inits.: JAC1

(O) Perform Date: 04/29/11 22:00
 Value: .05

Chart Date: 04/30/11 03:22 Chart Inits.: JAC1

Insulin Multiplr Do Not Delete

(O) Perform Date: 04/29/11 23:00
 Value: .04

Chart Date: 04/30/11 03:22 Chart Inits.: JAC1

(O) Perform Date: 04/29/11 23:00
 Value: .04

Chart Date: 04/30/11 03:22 Chart Inits.: JAC1

Insulin Multiplr Do Not Delete

(O) Perform Date: 04/30/11 00:00
 Value: .03

Chart Date: 04/30/11 03:22 Chart Inits.: JAC1

(O) Perform Date: 04/30/11 00:00
 Value: .03

Chart Date: 04/30/11 03:22 Chart Inits.: JAC1

Insulin Multiplr Do Not Delete

(O) Perform Date: 04/30/11 01:00
 Value: .03

Chart Date: 04/30/11 03:23 Chart Inits.: JAC1

(O) Perform Date: 04/30/11 01:00
 Value: .03

Chart Date: 04/30/11 03:23 Chart Inits.: JAC1

Insulin Multiplr Do Not Delete

(O) Perform Date: 04/30/11 02:00
 Value: .04

Chart Date: 04/30/11 03:23 Chart Inits.: JAC1

(O) Perform Date: 04/30/11 02:00
 Value: .04

Chart Date: 04/30/11 03:23 Chart Inits.: JAC1

Insulin Multiplr Do Not Delete

(O) Perform Date: 04/30/11 03:00
 Value: .05

Chart Date: 04/30/11 03:23 Chart Inits.: JAC1

(O) Perform Date: 04/30/11 03:00
 Value: .05

Chart Date: 04/30/11 03:23 Chart Inits.: JAC1

Insulin Multiplr Do Not Delete

(O) Perform Date: 04/30/11 04:00
 Value: .06

Chart Date: 04/30/11 04:41 Chart Inits.: JAC1

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB: - MUHA mod/inact
 ROOM: *1070-A*

Page: 4

PERM

GOODWIN, DAVON
MUSC
MUHA mod/inact
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:
Page: 5

Legend Charting**Insulin Multiplr Do Not Delete**

(O) Perform Date: 04/30/11 04:00
Value: .06

Chart Date: 04/30/11 04:41 Chart Inits.: JAC1

Insulin Do Not Delete

(O) Perform Date: 04/30/11 03:00
Value: 2.7units/hr

Chart Date: 04/30/11 03:20 Chart Inits.: JAC1

(I)

Inact Date: 04/30/11 04:42 Inact Inits.: JAC1

Insulin Do Not Delete

(O) Perform Date: 04/30/11 02:00
Value: 1.4units/hr

Chart Date: 04/30/11 03:20 Chart Inits.: JAC1

(I)

Inact Date: 04/30/11 04:42 Inact Inits.: JAC1

Insulin Do Not Delete

(O) Perform Date: 04/30/11 01:00
Value: 1units/hr

Chart Date: 04/30/11 03:20 Chart Inits.: JAC1

(I)

Inact Date: 04/30/11 04:42 Inact Inits.: JAC1

Insulin Do Not Delete

(O) Perform Date: 04/30/11 00:00
Value: 1.2units/hr

Chart Date: 04/30/11 03:20 Chart Inits.: JAC1

(I)

Inact Date: 04/30/11 04:43 Inact Inits.: JAC1

Insulin Do Not Delete

(O) Perform Date: 04/29/11 23:00
Value: 7.4units/hr

Chart Date: 04/30/11 03:19 Chart Inits.: JAC1

(I)

Inact Date: 04/30/11 04:43 Inact Inits.: JAC1

Insulin Do Not Delete

(O) Perform Date: 04/29/11 22:00
Value: 1.9units/hr

Chart Date: 04/29/11 22:12 Chart Inits.: JAC1

(I)

Inact Date: 04/30/11 04:43 Inact Inits.: JAC1

Insulin Do Not Delete

(O) Perform Date: 04/29/11 21:00
Value: 1.4units/hr

Chart Date: 04/29/11 22:12 Chart Inits.: JAC1

(I)

Inact Date: 04/30/11 04:43 Inact Inits.: JAC1

Insulin Do Not Delete

(O) Perform Date: 04/29/11 20:00
Value: 1.6units/hr

Chart Date: 04/29/11 22:12 Chart Inits.: JAC1

(I)

Inact Date: 04/30/11 04:43 Inact Inits.: JAC1

Insulin Multiplr Do Not Delete

(O) Perform Date: 04/30/11 05:00
Value: .07

Chart Date: 04/30/11 05:01 Chart Inits.: JAC1

(O) Perform Date: 04/30/11 05:00
Value: .07

Chart Date: 04/30/11 05:01 Chart Inits.: JAC1

Last BM Do Not Delete

(O) Perform Date: 04/30/11 07:57
Value: pta

Chart Date: 04/30/11 09:57 Chart Inits.: JLNO

(I)

Inact Date: 04/30/11 10:01 Inact Inits.: JLNO

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - MUHA mod/inact
ROOM: *1070-A*

Page: 5

PERM

GOODWIN, DAVON
 MUSC
 MUHA mod/inact
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 6

Legend Charting**Tube#3 interv Do Not Delete**

(O) Perform Date: 05/01/11 19:20 Chart Date: 05/01/11 20:16 Chart Inits.: JAC1
 Value:

Annotation: LCWS, moderate amount green drainage

(I) Inact Date: 05/01/11 22:20 Inact Inits.: JAC1

Tube#3 type Do Not Delete

(O) Perform Date: 05/01/11 19:20 Chart Date: 05/01/11 20:16 Chart Inits.: JAC1

Value: Othdrn

Annotation: duodenal

(I) Inact Date: 05/01/11 22:21 Inact Inits.: JAC1

BP (NIBP) Do Not Delete

(O) Perform Date: 05/02/11 02:04 Chart Date: 05/02/11 02:11 Chart Inits.: ET72
 Value: 161/95 Rarmly

(M) Perform Date: 05/02/11 02:04 Chart Date: 05/02/11 02:17 Chart Inits.: ET72

Value: 161/95 Rarmly

Annotation: (rn notified)

Pain score Do Not Delete

(O) Perform Date: 05/02/11 04:23 Chart Date: 05/02/11 04:25 Chart Inits.: JAC1
 Value: 7 Numvlu

(I) Inact Date: 05/02/11 04:31 Inact Inits.: JAC1

Chart check q12h Do Not Delete

(O) Perform Date: 05/02/11 07:05 Chart Date: 05/02/11 07:05 Chart Inits.: JAC1
 Value: Done

(O) Perform Date: 05/02/11 07:05 Chart Date: 05/02/11 07:05 Chart Inits.: JAC1
 Value: Done

Drain Do Not Delete

(O) Perform Date: 05/02/11 08:05 Chart Date: 05/02/11 11:54 Chart Inits.: MP04
 Value:

Annotation: miltpile drains

(M) Perform Date: 05/02/11 08:05 Chart Date: 05/02/11 11:58 Chart Inits.: MP04
 Value:

Annotation: (miltpile drains; ruq jp; luq jp; g tbe to foley bag, j tube with feeding; duodenal drain.)

PainReassessScor Do Not Delete

(O) Perform Date: 05/02/11 11:40 Chart Date: 05/02/11 12:00 Chart Inits.: MP04
 Value: 7 Numvlu

(M) Perform Date: 05/02/11 11:40 Chart Date: 05/02/11 12:16 Chart Inits.: MP04
 Value: (6 Numvlu)

Chart check q12h Do Not Delete

(O) Perform Date: 05/02/11 19:21 Chart Date: 05/02/11 19:21 Chart Inits.: MP04
 Value: Done

(O) Perform Date: 05/02/11 19:21 Chart Date: 05/02/11 19:21 Chart Inits.: MP04
 Value: Done

Chart check q12h Do Not Delete

(O) Perform Date: 05/03/11 06:48 Chart Date: 05/03/11 06:48 Chart Inits.: DLRO
 Value: Done

(O) Perform Date: 05/03/11 06:48 Chart Date: 05/03/11 06:48 Chart Inits.: DLRO
 Value: Done

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - MUHA mod/inact
 ROOM: *1070-A*

Page: 6

PERM

GOODWIN, DAVON
MUSC
MUHA mod/inact
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:
Page: 7

Legend Charting**G-tube drainage Do Not Delete**

(O) Perform Date: 05/03/11 08:43 Chart Date: 05/03/11 09:39 Chart Inits.: COS0
Value: Green
(M) Perform Date: 05/03/11 08:43 Chart Date: 05/03/11 10:00 Chart Inits.: COS0
Value: (BrownGreen)

Tube#1 drainage Do Not Delete

(O) Perform Date: 05/03/11 08:43 Chart Date: 05/03/11 09:39 Chart Inits.: COS0
Value: Ptccol
Annotation: clear/pale yellow
(I) Inact Date: 05/03/11 10:00 Inact Inits.: COS0

Tube#2 drainage Do Not Delete

(O) Perform Date: 05/03/11 08:43 Chart Date: 05/03/11 09:39 Chart Inits.: COS0
Value: Ptccol
Annotation: yellow/clear
(I) Inact Date: 05/03/11 10:01 Inact Inits.: COS0

PainReassessScor Do Not Delete

(O) Perform Date: 05/03/11 09:42 Chart Date: 05/03/11 09:43 Chart Inits.: COS0
Value: - Sleepy
(I) Inact Date: 05/03/11 10:22 Inact Inits.: COS0

ReassessComf Int Do Not Delete

(O) Perform Date: 05/03/11 12:04 Chart Date: 05/03/11 12:07 Chart Inits.: COS0
Value: EmtsupRelaxReposi
(M) Perform Date: 05/03/11 12:04 Chart Date: 05/03/11 12:15 Chart Inits.: COS0
Value: (EmtsupHeatRelaxReposi)

Chart check q12h Do Not Delete

(O) Perform Date: 05/03/11 18:54 Chart Date: 05/03/11 18:54 Chart Inits.: COS0
Value: Done
(O) Perform Date: 05/03/11 18:54 Chart Date: 05/03/11 18:54 Chart Inits.: COS0
Value: Done

Chart check q12h Do Not Delete

(O) Perform Date: 05/04/11 07:02 Chart Date: 05/04/11 07:02 Chart Inits.: TMM2
Value: Done
(O) Perform Date: 05/04/11 07:02 Chart Date: 05/04/11 07:02 Chart Inits.: TMM2
Value: Done

Misc events Do Not Delete

(O) Perform Date: 05/04/11 08:06 Chart Date: 05/04/11 08:06 Chart Inits.: MKWI
Value:
Annotation: pt up to chair
(M) Perform Date: 05/04/11 08:06 Chart Date: 05/04/11 09:20 Chart Inits.: MKWI
Value:
Annotation: (pt up to chair, Oxygen at 2l n/c started. Heat packs to neck and shoulder r/t c/o muscle pain and discomfort.)

PULSE Do Not Delete

(O) Perform Date: 05/04/11 08:06 Chart Date: 05/04/11 09:45 Chart Inits.: JTC
Value: 115
Annotation: manual pulse, the patient was sitting up in the wheelchair
(M) Perform Date: 05/04/11 08:06 Chart Date: 05/04/11 09:47 Chart Inits.: JTC
Value: 115
Annotation: (The patient was sitting up in the wheelchair when I did a manual pulse. The patient was verbal and complaining of pain. RN Marianne notified.)

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - MUHA mod/inact
ROOM: *1070-A*

Page: 7



GOODWIN, DAVON
 MUSC
 MUHA mod/inact
 FROM: 04/26/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 8

Medication Administration**FAMOTIDINE**

(O) Perform Date: 04/26/11 19:36 Chart Date: 04/26/11 19:37 Chart Inits.: JLP
 Value: 20 MG
 (M) Perform Date: 04/26/11 19:36 Chart Date: 04/26/11 19:37 Chart Inits.: JLP
 Value: (0 MG)
 Annotation: (s)

PROTEIN SUPP

(O) Perform Date: 04/30/11 13:08 Chart Date: 04/30/11 13:09 Chart Inits.: JLN0
 Value: 30 ML JT
 (I) Inact Date: 04/30/11 13:09 Inact Inits.: JLN0

OXYCODONE

(O) Perform Date: 05/03/11 22:06 Chart Date: 05/03/11 22:06 Chart Inits.: MEGS
 Value: 15 MG JT
 (I) Inact Date: 05/03/11 22:06 Inact Inits.: MEGS

OXYCODONE

(O) Perform Date: 05/04/11 00:26 Chart Date: 05/04/11 00:26 Chart Inits.: MEGS
 Value: 5 MG JT
 (I) Inact Date: 05/04/11 00:26 Inact Inits.: MEGS

Care Providers:

BM2 MILLS, BRETT, PCT
 BRB5 BAKER, BRIAN, PCT
 COS0 SLUSARSKI, COREY, RN
 DLRO ROBERTS, DUSTI L, RN
 ET72 TRIA, EMMANUEL, PCT
 JAC1 COTTLE, JEAN A, RN
 JLN0 NORMAN, JILL L, RN
 JLP PETERSON, JENNIFER L, RN
 JTC CARSON, JOHN T, CA
 LAA ADAMS, LESLIE A, RN
 MEGS STONE, MEGAN E, RN
 MGAL GALAMITON, MAXIMO, CA
 MRWI WITTENMEYER, MARIANNE K, RN
 MP04 PASCARELLA, MELISSA, RN
 PA35 ALLS, PHINETHA, PCT
 SACT NIREWICZ, SARAH A, RN
 SMT5 MCGLYNN, STEPHANIE, RN
 SSPU SPURR, SARAH, RN
 TMM2 MARTUCCI, TIFFANY, RN

LAST PAGE

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - MUHA mod/inact
 ROOM: *1070-A*

Page: 8

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 1

FLWSHEET	04/29				
Neuro/EENT	20:00	20:00	21:00	22:00	23:00
Alert, oriented x3	WNL				
Follows commands	WNL				
No Sensory Deficit	WNL				
Norm Hear &/or Aid	WNL				
Abs of drainage	WNL				
Norm closure	WNL				
Abs of swelling	WNL				
No visual impair	WNL				
PERRLA	WNL				
Patent nares	WNL				
No bleed/disch	WNL				
04/29/11 20:00 No Sensory Deficit (JAC1): denies tingling/numbness bil upper/lower extremities					
Special Neuro	20:00	20:00	21:00	22:00	23:00
GCS eyes open	4=spontaneously				
GCS best verbal	5=orient/verbalz				
Best upper motor	6=obeys Vb Command				
GCS total sco	15				
Motor exam LUE	6=obeys commands				
Motor exam LLE	6=obeys commands				
Motor exam RUE	6=obeys commands				
Motor exam RLE	6=obeys commands				
Motor Strength LUE	5=normal				
Motor Strength LLE	5=normal				
Motor Strength RUE	5=normal				
Motor Strength RLE	5=normal				
Cardiovascular	20:00	20:00	21:00	22:00	23:00
S1S2	WNL				
Angina/chest Pain	none				
Palp/PeripPulses	WNL				
Cap refill <3 sec	WNL				
Thigh/Calf Tender	none				
Implement Cv POC	done				
Rhythm	sinus tach				
RUE edema	+2				
RLE edema	+2				
LUE edema	+3				
LLE edema	+2				
Generalized Edema	+2				
R radial pulse	normal				
L radial pulse	normal				
R pedal pulse	normal				
L pedal pulse	normal				
CARE PROVIDERS	JAC1				

COTTLE, JEAN A (JAC1) RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 1

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:56 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 2

FLOWSHEET		04/29				
Respiratory		20:00	20:00	21:00	22:00	23:00
Spont respers	WNL					
NonLaboredEffort	WNL					
RegCirBreathSnds	all lobes					
No cough,dyspnea	WNL					
Natural airway	WNL					
No O2 requiremnt	WNL					
&						
04/29/11 20:00 No O2 requiremnt(JAC1): I.S. at bedside, watched pt. demonstrate use. CPO in use showing 95%						
Gastrointestinal		20:00	20:00	21:00	22:00	23:00
Continent	WNL					
No c/o N/V	WNL					
Normal dentition	WNL					
MucousMembIntact	WNL					
Last BM	pta					
Implement GI POC	done					
BowelSndsAllQuad	hypoactive					
Abdomen	distended					
	firm					
Mouth	tender					
	dry					
Tube feeding	see MAR					
Tube type	j-tube					
Tube interv	placement check					
TF interv	&					
G-tube location	LUQ					
G-tube suction	gravity					
G-tube drainage	green					
J-tube location	LUQ					
Tube#1 type	JP					
Tube#1 location	RUC					
Tube#1 drainage	bloody					
Tube#1 interv	bulb suction					
Tube#2 type	JP					
Tube#2 location	LUQ					
Tube#2 drainage	clear					
	scant					
Tube#2 interv	bulb suction					
Tube#3 type	other (specify)					
	&					
Tube#3 location	LUQ					
Tube#3 drainage	none					
Tube#3 interv	&					
04/29/11 20:00 TF interv(JAC1): Promote increased per orders to 35cc/hr						
04/29/11 20:00 Tube#3 type(JAC1): Duodenal drain						
04/29/11 20:00 Tube#3 interv(JAC1): to LCWS						
CARE PROVIDERS	JAC1					

COTTLE, JEAN A(JAC1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 2

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:19
AGE 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 3

FLOWSHEET		04/29				
		20:00	20:05	21:00	22:00	23:00
Genitourinary						
Adequate UOP	WNL					
NoAbn penile bld	WNL					
NoAbnPenileDisch	WNL					
Implement GU POC	done					
Urine character	amber					
Catheter	foley					
Catheter size	16 FR					
Func/Musculoskeletal						
ActiveROM x4 ext	WNL					
Symmetry all ext	WNL					
No act restrict	WNL					
No use of device	no devices					
Speech clear	WNL					
Impl FuncMusclePOC	done					
Weakness	generalized					
SCD site	leg L leg R					
SCD type	short leg					
SCD assess	on operational					
Skin						
Skin warm	WNL					
Color approp	to ethnicity					
Impl Skn/Wnd POC	done					
Sens perception	4=no impairmnt					
Moisture	4=rarely moist					
Activity	3=walks occasion &					
Mobility	3=slight limited					
Nutrition	2=prob adequate					
Friction & shear	3=no app.probl					
Total Score	19					
Bruise site(s)	arm R					
04/29/11 20:00 Activity(JAC1): orders for OOB ad lib						
Psychological						
Memory intact	WNL					
Approp affect	&					
Approp behavior	to situation					
Approp verbaliz	to situation					
Implemnt PSE POC	done					
Abnorm findings	maladj illness					
04/29/11 20:00 Approp affect(JAC1): flat affect						
CARE PROVIDERS	JAC1					

COTTLE, JEAN A(JAC1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 3

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 00:19
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002857049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 4

Flowsheet	04/29				
Comfort	20:00	20:09	21:00	22:00	23:00
No c/o, no s/s of	chills fever itching nausea prob sleeping spasms sweating vomiting				
Impl Comfort POC	done				
Abnorm findings	pain recvAnalgesicTx	pain recvAnalgesicTx			
Pain score	6 Numeric Value	6 Numeric Value			
Pt relief goal	0-4	0-4			
Pain onset	ongoing	ongoing			
Pain description	constant	constant			
Pain site(s)	incisional abdomen	incisional abdomen			
Comfort interv	distraction diversion relaxation repositioned &	meds (see MAR) &			
Pain Reassess Scor				4 Numeric Value	
Pt Goal Reassess				0-4	
Reassess Comf Int				distraction diversion repositioned	

04/29/11 20:00 Comfort interv(JAC1): to be medicated for pain

04/29/11 20:09 Comfort interv(JAC1): oxycodone

Nutrition	20:00	20:09	21:00	22:00	23:00
Meal type	NPO				
Enteral feed	Promote				
Schedule	continuous				
CurrtRate mL/hr	20 &				
Goal rate mL/hr	95				

04/29/11 20:00 CurrtRate mL/hr(JAC1): increased at present time to 35cc per orders

Vascular Access	20:00	20:09	21:00	22:00	23:00
Site#1 location	antecubital L				
Insertion date	04/26/2011				
Gauge	#18				
Site#1 appear	secure				
Site#1 dressing	dry and intact				
Interventions	saline lock				
CARE PROVIDERS	JAC1	JAC1		JAC1	

COTTLE, JEAN A(JAC1)RN

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 4

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/29/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUISITD: 05/05/11 00:06
CPT OUT:

Page: 5

FLOWSHEET		04/29				
Vascular Access-Cont.		20:00	20:08	21:00	22:00	23:00
Site#2 location	forearm L					
Insertion date	04/26/2011					
Gauge	#16					
Site#2 appear	secure					
Site#2 dressing	dry and intact					
Interventions	meds (see MAR)					
Safety		20:00	20:08	21:00	22:00	23:00
Hospital ID band	on					
CallSystem access	done					
Bed in low posit	done					
Bed brakes on	done					
Bed alarms on	done					
IV sol verified	done					
IV tbg/bag dated	done					
High alertMedsID	done					
Imple SafetyPOC	done					
04/29/11 20:00 High alertMedsID(JAC1): insulin drip						
Fall Risk		20:00	20:08	21:00	22:00	23:00
HxFall(w/in 3mo)	no=0					
Secondary dx	no=0					
Ambulatory aid	w/c NrsAssist=0					
IV or IV access	yes=20					
Gait	WeakUsesTouch=10					
Mental status	Knows limits=0					
Total score	30					
Imple SafetyPOC	done					
Intact Incision		20:00	20:08	21:00	22:00	23:00
Incision#1 site	abdomen					
Incision#1 type	staples					
Drain	other (specify)					
Incision#1 drAmt	none					
Surrounding skin	intact					
Incision#1 dsg	open to air					
04/29/11 20:00 Drain(JAC1): multiple drains charted above						
Diab Flowsheet		20:00	20:08	21:00	22:00	23:00
Insulin Multiplr	.04			.04	.05	.04
IV rate/mL	1.4			1.9	7.4	1.2
CARE PROVIDERS	JAC1, {DLRO} JAC1			JAC1 JAC1, {DLRO}	JAC1 JAC1, {DLRO}	JAC1 JAC1, {DLRO}

COTTLE, JEAN A(JAC1)RN

ROBERTS, DUSTI L(DLRO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 5

TERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE 19Y SEX M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 6

FLWSHEET	04/29				
Miso Events	20:00	20:00	21:00	22:00	23:00
Evaluated POC	done				
Miso events		&			
04/29/11 20:00 Miso events(JAC1): Received pt. from STICU @1930, assessment completed at present time. Instructed use call bell/bed controls. Placed in reach.					
CARE PROVIDERS	JAC1				

COTTLE, JEAN A(JAC1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 6

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 7

FLWSHEET	04/30				
Comfort	00:00	00:04	01:00	02:00	03:00
Abnorm findings		pain recvAnalgesicTx			
Pain score		7 Numeric Value			
Pt relief goal		0-4			
Pain onset		ongoing			
Pain description		constant			
Pain site(s)		incisional abdomen			
Comfort interv		meds (see MAR) &			
PainReassessScor			- sleeping		

04/30/11 00:04 Comfort interv(JAC1): oxycodone

Diab Flwsheet	00:00	00:04	01:00	02:00	03:00
Insulin Multiplr	.03		.03	.04	.05
IV rate/mL	1		1.4	2.7	3.3
CARE PROVIDERS	JAC1 JAC1, (DLRO)	JAC1	JAC1, (DLRO) JAC1	JAC1 JAC1, (DLRO)	JAC1 JAC1, (DLRO)

COTTLE, JEAN A(JAC1)RN

ROBERTS, DUSTI L(DLRO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 7

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 8

FLWSHEET	04/30				
Gastrointestinal	04:00	04:05	04:06	05:00	06:00
Stool amount			small		
Stool color			bloody		
Stool consistency			liquid		
Comfort	04:00	04:05	04:06	05:00	06:00
Abnorm findings		pain recvAnalgesicTx			
Pain score		6 Numeric Value			
Pt relief goal		0-4			
Pain onset		ongoing			
Pain description		constant			
Pain site(s)		incisional abdomen			
Comfort interv		meds (see MAR) &			
PainReassessScor				- sleeping	
04/30/11 04:06 Comfort interv(JAC1): oxycodone					
Diab Flowsheet	04:00	04:05	04:06	05:00	06:00
Insulin Multiplr	.06			.07	.07
IV rate/mL	3.4			4.5	2.9
CARE PROVIDERS	JAC1 JAC1, (DLRO)	JAC1	JAC1	JAC1, (DLRO) JAC1	JAC1

COTTLE, JEAN A(JAC1)RN

ROBERTS, DUSTI L(DLRO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
 ROOM: *1070-A* Page: 8

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 9

FLWSHEET	04/30				
Chart Review	06:54	07:08	07:57	08:10	08:24
Chart check q12h		done			
Neuro/EENT	06:54	07:08	07:57	08:10	08:24
Alert, oriented x3			WNL		
Follows commands			WNL		
Norm Hear &/or Aid			WNL		
Abs of drainage			WNL		
Norm closure			WNL		
Abs of swelling			WNL		
No visual impair			WNL		
PERRLA			WNL		
Patent nares			WNL		
No bleed/disch			WNL		
Impl Neuro EENT POC			done		
Tingling				&	
Numbness			foot L		
04/30/11 07:57 Tingling(JLNO): pt. denies					
Special Neuro	06:54	07:08	07:57	08:10	08:24
GCS eyes open			4=spontaneously		
GCS best verbal			5=orient/verbalz		
Best upper motor			6=obeys Vb Command		
GCS total sco			15		
Motor exam LUE			6=obeys commands		
Motor exam LLE			6=obeys commands		
Motor exam RUE			6=obeys commands		
Motor exam RLE			6=obeys commands		
Motor Strength LUE			5=normal		
Motor Strength LLE			5=normal		
Motor Strength RUE			5=normal		
Motor Strength RLE			5=normal		
Cardiovascular	06:54	07:08	07:57	08:10	08:24
S1S2			WNL		
Angina/chest Pain			none		
Palp Per hip Pulses			WNL		
Cap refill <3 sec			WNL		
Thigh/Calf Tender			none		
Implement Cv POC			done		
RUE edema			+2		
RLE edema			+2		
LUE edema			+2		
LLE edema			+2		
Generalized Edema			+2		
R radial pulse			3+=bounding		
L radial pulse			3+=bounding		
R pedal pulse			normal		
CARE PROVIDERS		JLNO, {JAC1}	JLNO		

COTTLE, JEAN A(JAC1)RN

NORMAN, JILL L(JLNO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 9

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:56 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:19
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002857049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 10

FLOWSHEET		04/30			
Cardiovascular-Cont.	06:54	07:08	07:57	08:10	08:24
L pedal pulse			normal		
Respiratory	06:54	07:08	07:57	08:10	08:24
Sport respers NonLaboredEffort RegClrBreathSnds			WNL WNL all lobes		
No cough,dyspnea Natural airway No O2 requiremnt			WNL WNL WNL		
04/30/11 07:57 RegClrBreathSnds(JLNO): pt. using IS, CPO in place					
Gastrointestinal	06:54	07:08	07:57	08:10	08:24
Continent No c/o N/V Normal dentition MucousMembIntact			WNL WNL WNL WNL		
Last BM			04/30/2011		
Implement GI POC			done		
Tube feeding Tube type Tube interv			see MAR j-tube placement check tube site care		
TF interv					
G-tube location G-tube suction G-tube drainage			LUQ gravity green		
J-tube location			LUQ		
Tube#1 type Tube#1 location Tube#1 drainage Tube#1 interv Tube#2 type Tube#2 location Tube#2 drainage Tube#2 interv Tube#3 type			JP RUQ bloody bulb suction JP LUQ clear scant bulb suction other (specify)		
Tube#3 location Tube#3 drainage Tube#3 interv			LUQ none		
04/30/11 07:57 Tube interv(JLNO): 0 residual					
04/30/11 07:57 TF interv(JLNO): promote increased to 65 cc/hour					
04/30/11 07:57 G-tube drainage(JLNO): cloudy, sediment					
CARE PROVIDERS			JLNO		

NORMAN, JILL L(JLNO)RN

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 10

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
PCQCCTED: 05/05/11 00:00
OPT OUT:

Page: 11

FLOWSHEET		04/30			
Gastrointestinal/Cont.	06:54	07:08	07:57	08:10	08:24
04/30/11 07:57 Tube#3 type(JLNO): duodenal drain					
04/30/11 07:57 Tube#3 interv(JLNO): to lows					
Genitourinary	06:54	07:08	07:57	08:10	08:24
Adequate UOP			WNL		
NoAbn penile bld			WNL		
NoAbnPenileDisch			WNL		
Implement GU POC			done		
Urine character			amber		
Catheter			foley	foley	
Catheter size			16 FR	16 FR	
Date Cath D/C'd				04/30/2011	&
04/30/11 08:10 Date Cath D/C'd(JLNO): foley dc'd					
Func/Musculoskel	06:54	07:08	07:57	08:10	08:24
ActiveROM x4 ext			WNL		
Symmetry all ext			WNL		
No act restrict			WNL		
No use of device			no devices		
Speech clear			WNL		
Impl FuncMuscPOC			done		
Weakness			generalized		
SCD site			leg L		
SCD type			leg R		
SCD assess			short leg		
			on		
			operational		
Skin	06:54	07:08	07:57	08:10	08:24
Skin warm			WNL		
Color approp			to ethnicity		
Impl Skn/Wnd POC			done		
Sens perception			2=very limited		
Moisture			4=rarely moist		
Activity			3=walks occasion		
Mobility			3=slight limited		
Nutrition			2=prob adequate		
Friction & shear			3=no app.probl		
Total Score			17		
Bruise site(s)			arm R		
Psychological	06:54	07:08	07:57	08:10	08:24
Memory intact			WNL		
Approp affect				&	
Approp behavior			to situation		
Approp verbaliz			to situation		
CARE PROVIDERS			JLNO	JLNO	

NORMAN, JILL L(JLNO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 11

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 12

FLOWSHEET		04/30			
Psychological-Cont.	06:54	07:08	07:57	08:10	08:24
Implement PSE POC			done		
04/30/11 07:57 Approp affect(JLNO): flat					
Comfort	06:54	07:08	07:57	08:10	08:24
No c/o, no s/s of			chills fever itching nausea prob sleeping spasms sweating vomiting		
Impl Comfort POC			done		
Abnorm findings			pain recvAnalgesicTx		
Pain score			7 Numeric Value		
Pt relief goal			<5		
Pain onset			ongoing		
Pain description			constant		
Pain site(s)			generalized abdomen		
Comfort Interv			emotional supprt meds (see MAR)		
Pain Reassess Scor					
Vascular Access	06:54	07:08	07:57	08:10	08:24
Site#1 location			antecubital R		
Insertion date			04/26/2011		
Gauge			#18		
Site#1 appear			secure		
Site#1 dressing			dry and intact		
Interventions			saline lock		
Site#2 location			forearm L		
Insertion date			04/26/2011		
Gauge			#16		
Site#2 appear			secure		
Site#2 dressing			dry and intact		
Interventions			meds (see MAR)		
Safety	06:54	07:08	07:57	08:10	08:24
Hospital ID band			on		
CallSystem access			done		
Bed in low posit			done		
Bed brakes on			done		
Bed alarms on			done		
IV set verified			done		
IV tbg/bag dated			done		
CARE PROVIDERS			JLNO		

NORMAN, JILL L (JLNO) RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 12

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 13

FLWSHEET	04/30				
Safety-Cont:	06:54	07:09	07:57	08:10	08:24
High alertMedsID			done		
Imple SafetyPOC			done		
04/30/11 07:57 High alertMedsID(JLNO): insulin gtt					
Fall Risk	06:54	07:09	07:57	08:10	08:24
HxFall(w/in 3mo)			no=0		
Secondary dx			no=0		
Ambulatory aid			w/c NrsAssist=0		
IV or IV access			yes=20		
Gait			WeakUsesTouch=10		
Mental status			Knows limits=0		
Total score			30		
Imple SafetyPOC			done		
Intact Incision	06:54	07:09	07:57	08:10	08:24
Incision#1 site			abdomen		
Incision#1 type			staples		
Drain			other (specify)		
Incision#1 drAmt			none		
Surrounding skin			intact		
Incision#1 dsg			open to air		
04/30/11 07:57 Drain(JLNO): multiple drains					
Diab Flowsheet	06:54	07:09	07:57	08:10	08:24
Insulin Multiplr	.06				.07
IV rate/mL	2.2				4.1
Misc Events	06:54	07:09	07:57	08:10	08:24
Evaluated POC			done		
Misc events					
04/30/11 07:57 Misc events(JLNO): assessment complete, pt resting in bed, brother at bedside, pt using IS, pt. states pain 7 of 10, denies other needs, bed low, rails up x 3, call bell within reach					
CARE PROVIDERS	JAC1		JLNO		JLNO
COTTLE, JEAN A(JAC1)RN NORMAN, JILL L(JLNO)RN					

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 13

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 49Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 14

FLWSHEET	04/30				
Comfort	08:55	09:00	09:06	09:49	10:00
Abnorm findings			nausea		
Comfort interv			meds (see MAR)		
Pain Reassess Score	6 Numeric Value				
Nutrition	08:55	09:00	09:06	09:49	10:00
Meal type					diab clear liq
Breakfast amt					0%
Diab Flowsheet	08:55	09:00	09:06	09:49	10:00
Insulin Multiplr		.08			
IV rate/mL		4.8			
Misc Events	08:55	09:00	09:06	09:49	10:00
Misc events					&
04/30/11 09:49 Misc events (JLNO): Dr. Rickey notified of pt's emesis					
CARE PROVIDERS	JLNO	JLNO	JLNO	JLNO	WCW
NORMAN, JILL L (JLNO) RN		WILLIAMS, WENDY C (WCW) CA			

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 14

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
ACQUIRED: 05/05/11 00:00
OPT OUT:

Page: 15

FLWSHEET	04/30				
Comfort	10:14	10:15	10:37	11:00	11:28
Abnorm findings					pain recvAnalgesicTx
Pain score					7 Numeric Value
Pt relief goal					<5
Pain onset					ongoing
Pain description					constant stabbing
Pain site(s)					abdomen
Comfort interv					emotional supprt meds (see MAR)
Act Daily Living	10:14	10:15	10:37	11:00	11:28
Bath					
Mouth care					
Amb w/assistance					
OOB-chair					
ROM joints					
Peri care					
Deep breathe					
Cough					
Diet: Flowsheet	10:14	10:15	10:37	11:00	11:28
Insulin Multiplr			.09	.08	
IV rate/mL			9.8	3.0	
Misc Events	10:14	10:15	10:37	11:00	11:28
Misc events		&	&	&	
04/30/11 10:14 Misc events(JLNO): pt. re questing breakthrough medications, Dr. Rickey notified.					
04/30/11 10:15 Misc events(JLNO): Dr. Smith aware of BP 195/95					
04/30/11 10:37 Misc events(JLNO): pt requesting breakthrough pain medicine, Dr. Smith notified.					
CARE PROVIDERS	JLNO	JLNO	JLNO	JLNO	JLNO

NORMAN, JILL L(JLNO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 15

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 16

FLOWSHEET		04/30				
Comfort		11:30	11:45	12:00	12:15	12:30
Pain Reassess Score						6 Numeric Value
Nutrition		11:30	11:45	12:00	12:15	12:30
Lunch amt						
Act Daily Living		11:30	11:45	12:00	12:15	12:30
Bath	dependent					
Mouth care	independent					
Amb w/assistance	assist					
OOB-chair	assist					
ROM joints	independent					
Peri care	dependent					
Deep breathe	independent					
Cough	independent					
Vascular Access		11:30	11:45	12:00	12:15	12:30
Site#2 location					forearm L	
Gauge					#16	
Site#2 appear					dislodged	
Site#2 dressing					loose	
Interventions					dc'd/RN	
Diab. Flowsheet		11:30	11:45	12:00	12:15	12:30
Insulin Multiplr				.9		
IV rate/mL				4.8		
Misc Events		11:30	11:45	12:00	12:15	12:30
Misc events			&			
04/30/11 11:46 Misc events(JLNO): 0.04 IV dialudid administered, pt vomited again, TF stopped, Dr. Smith notified.						
CARE PROVIDERS	WCW	JLNO	JLNO	JLNO	JLNO	JLNO
NORMAN, JILL L(JLNO)RN WILLIAMS, WENDY C(WCW)CA						

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 16

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/26/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE 18Y SEX M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 03:06
 OPT OUT:

Page: 17

FLWSHEET	04/30				
Comfort	12:35	13:00	13:08	14:00	14:35
Abnorm findings			pain recvAnalgesicTx		pain recvAnalgesicTx
Pain score			5 Numeric Value		5 Numeric Value
Pt relief goal			<5		<5
Pain onset			ongoing		ongoing
Pain description			constant stabbing		constant stabbing
Pain site(s)			abdomen		abdomen
Comfort interv			emotional supprt meds (see MAR)		emotional supprt meds (see MAR)
PainReassessScor				5 Numeric Value	
Nutrition	12:35	13:00	13:08	14:00	14:35
Lunch amt	0%				
Diab: Flowsheet	12:35	13:00	13:08	14:00	14:35
Insulin Multiplr		.08		.07	
IV rate/mL		0.2		2.6	
CARE PROVIDERS	WCW	JLNO	JLNO	JLNO	JLNO

NORMAN, JILL L(JLNO)RN

WILLIAMS, WENDY C(WCW)CA

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 17

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/26/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 18

FLWSHEET	04/30				
Comfort	15:00	15:30	15:35	16:00	16:31
PainReassessScor	4 Numeric Value				
Vascular Access	15:00	15:30	15:35	16:00	16:31
Site#2 location					
Insertion date					
Gauge					
Site#2 appear					
Site#2 dressing					
Interventions					
Diab FlowSheet	15:00	15:30	15:35	16:00	16:31
Insulin Multiplr	.07			.07	
IV rate/mL	2.8			2.9	
Misc Events	15:00	15:30	15:35	16:00	16:31
Misc events			&		&
04/30/11 15:35 Misc events(JLNO): Pt. Goodwin in 1070 has 80 cc bloody residual from J-Tube, frank blood in stool x 2, BP 190 over 80, HR 104, 99% on 2L, 18 respirations, Dr. Smith notified.					
04/30/11 16:31 Misc events(JLNO): decongestant requested from dr. m. smith					
CARE PROVIDERS	JLNO	JLNO	JLNO	JLNO	JLNO

NORMAN, JILL L(JLNO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 18

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 ACQUIRED: 05/05/11 00:06
 OPT OUT:

Page: 19

FLWSHEET	04/30				
Chart Review	17:00	18:00	19:00	19:04	19:12
Chart check q12h					done
Comfort	17:00	18:00	19:00	19:04	19:12
Abnorm findings				pain recvAnalgesicTx	
Pain score				4 Numeric Value	
Pt relief goal				<5	
Pain onset				ongoing	
Pain description				constant	
Pain site(s)				abdomen	
Comfort interv				emotional supprt meds (see MAR)	
PainReassessScor					
Vascular Access	17:00	18:00	19:00	19:04	19:12
Site#2 location	forearm L				
Insertion date	04/30/2011				
Gauge	#20				
Site#2 appear	secure				
Site#2 dressing	dry and intact				
Interventions	IV inserted				
Diat. Flowsheet	17:00	18:00	19:00	19:04	19:12
Insulin Multiplr	.07	.03	.05		
IV rate/mL	3.2	1.6	1.4		
CARE PROVIDERS	JLNO	JLNO	JLNO	JLNO	JAC1, {JLNO}

COTTLE, JEAN A(JAC1)RN

NORMAN, JILL L(JLNO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 19

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 PCQUELTD: 05/05/11 00:06
 OPT OUT:

Page: 20

FLOWSHEET		04/30			
Neuro/EENT	20:00	20:20	21:00	22:00	23:00
Alert,orientedx3 Follows commands NoSensoryDeficit		WNL WNL WNL &			
NormHear&/orAid Abs of drainage		WNL WNL			
Norm closure Abs of swelling No visual impair PERRLA		WNL WNL WNL WNL			
Patent nares No bleed/disch		WNL WNL			
ImplNeuroEENTPOC		done			
Tingling		&			
Numbness		&			
04/30/11 20:20 NoSensoryDeficit(JAC1): denies tingling/numbness bil upper/lower extremities					
04/30/11 20:20 Tingling(JAC1): denies					
04/30/11 20:20 Numbness(JAC1): denies					
Special Neuro	20:00	20:20	21:00	22:00	23:00
GCS eyes open GCS best verbal Best upper motor GCS total sco		4=spontaneously 5=orient/verbaliz 6=obeysVbCommand 15			
Motor exam LUE Motor exam LLE Motor exam RUE Motor exam RLE		6=obeys commands 6=obeys commands 6=obeys commands 6=obeys commands			
MotorStrengthLUE MotorStrengthLLE MotorStrengthRUE		5=normal 5=normal 5=normal			
MotorStrengthRLE		5=normal			
Cardiovascular	20:00	20:20	21:00	22:00	23:00
S1S2 Angina/chestPain PalpPerhipPulses Cap refill<3 sec Thigh/CalfTender Implement Cv POC		WNL none WNL WNL none done			
RUE edema RLE edema LUE edema LLE edema GeneralizedEdema		+2 +2 +2 +2 +2			
R radial pulse		normal			
CARE PROVIDERS		normal JAC1			

COTTLE, JEAN A(JAC1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 20

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 21

FLWSHEET	04/30				
Cardiovascular-Cont.	20:00	20:30	21:00	22:00	23:00
L radial pulse		normal			
R pedal pulse		normal			
L pedal pulse		normal			
Respiratory	20:00	20:30	21:00	22:00	23:00
Spont resps		WNL			
NonLaboredEffort		WNL			
RegClrBreathSnds		all lobes			
Natural airway		WNL			
No O2 requiremnt		WNL			
Implmnt Resp POC		done			
Cough		nonproductive			
Oxygen L		2LPM nasal cannula			

04/30/11 20:20 Oxygen L(JAC1): CPO in use sats @98%, IS @ BS

Gastrointestinal	20:00	20:30	21:00	22:00	23:00
Continent		WNL			
No c/o N/V		WNL			
Normal dentition		WNL			
MucousMembIntact		WNL			
Last BM		04/30/2011			
Implement GI POC		done			
BowelSndsAllQuad		hypoactive			
Tube type		j-tube			
Tube interv		placement check			
TF interv		TF held			
G-tube location		LUQ			
G-tube suction		gravity			
G-tube drainage		green			
J-tube location		LUQ			
Tube#1 type		JP			
Tube#1 location		RUQ			
Tube#1 drainage		bloody			
Tube#1 interv		scant			
Tube#2 type		bulb suction			
Tube#2 location		JP			
Tube#2 drainage		LUQ			
Tube#2 interv		clear			
Tube#3 type		scant			
Tube#3 location		bulb suction			
Tube#3 drainage		other (specify)			
CARE PROVIDERS		JAC1			

COTTLE, JEAN A(JAC1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 21

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:19
AGE 18Y SEX M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 22

FLOWSHEET		04/30			
Gastrointestinal/Conl	20:00	20:20	21:00	22:00	23:00
Tube#3 interv			&		
04/30/11 20:20 Last BM(JAC1): assisted pt. to BR					
04/30/11 20:20 Tube#3 type(JAC1): duodenal drain					
04/30/11 20:20 Tube#3 interv(JAC1): to LCWS					
Genitourinary	20:00	20:20	21:00	22:00	23:00
Continent		WNL			
NoAbn penile bld		WNL			
NoAbnPenileDisch		WNL			
Implement GU POC		done			
Urine character		amber			
04/30/11 20:20 Continent(JAC1): denies pain/burning on urination since foley dc'd					
Func/Musculoskel	20:00	20:20	21:00	22:00	23:00
ActiveROM x4 ext		WNL			
Symmetry all ext		WNL			
No act restrict		WNL			
No use of device		no devices			
Speech clear		WNL			
Impl FuncMuscPOC		done			
Weakness		generalized			
SCD site		leg L			
SCD type		leg R			
SCD assess		short leg			
		on			
		operational			
Skin	20:00	20:20	21:00	22:00	23:00
Skin warm		WNL			
Color approp		to ethnicity			
Impl Skn/Wnd POC		done			
Sens perception		4=no impairmnt			
Moisture		4=rarely moist			
Activity		3=walks occasion			
Mobility		3=slight limited			
Nutrition		2=prob adequate			
Friction & shear		3=no app.probl			
Total Score		19			
Bruise site(s)		arm R			
Psychological	20:00	20:20	21:00	22:00	23:00
Memory intact		WNL			
Approp affect					
Approp behavior		to situation			
Approp verbaliz		to situation			
Implemnt PSE POC		done			
CARE PROVIDERS		JAC1			

COTTLE, JEAN A(JAC1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 22

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 23

FLOWSHEET		04/30				
Psychological/Conc.	20:00	20:20	21:00	22:00	23:00	
Abnorm findings		maladj illness	&			
04/30/11 20:20 Approp affect(JAC1): flat						
04/30/11 20:20 Abnorm findings(JAC1): appears to be more motivated tonight than last night						
Comfort	20:00	20:20	21:00	22:00	23:00	
No c/o, no s/s of		chills fever itching nausea prob sleeping pain spasms sweating vomiting				
Impl Comfort POC		done				
Pain score		2 Numeric Value				
Pain Reassess Scor	2 Numeric Value					
Vascular Access	20:00	20:20	21:00	22:00	23:00	
Site#1 location		antecubital L				
Gauge		#18				
Site#1 appear		dislodged				
Interventions		do'ed/RN				
Site#2 location		forearm L				
Insertion date		04/30/2011				
Gauge		#20				
Site#2 appear		secure				
Site#2 dressing		dry and intact				
Interventions		meds (see MAR)				
Safety	20:00	20:20	21:00	22:00	23:00	
Hospital ID band		on				
CallSystem access		done				
Bed in low posit		done				
Bed brakes on		done				
Bed alarms on		done				
IV sol verified		done				
IV tbg/bag dated		done				
High alertMedsID		done				
Impl SafetyPOC		done				
04/30/11 20:20 High alertMedsID(JAC1): insulin drip						
Fall Risk	20:00	20:20	21:00	22:00	23:00	
HxFall(w/in 3mo)		no=0				
Secondary dx		no=0				
Ambulatory aid		w/c NrsAssist=0				
IV or IV access		yes=20				
CARE PROVIDERS	JAC1	JAC1				

COTTLE, JEAN A(JAC1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 23

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
ACQUISITION: 05/05/11 00:00
OPTOUT:

Page: 24

Flowsheet	04/30				
Full Risk Cont.	20:00	20:20	21:00	22:00	23:00
Gait		WeakUsesTouch=10			
Mental status		Knows limits=0			
Total score		30			
Imple SafetyPOC		done			
Intact Incision	20:00	20:20	21:00	22:00	23:00
Incision#1 site		abdomen			
Incision#1 type		staples			
Drain		other (specify) &			
Incision#1 drAmt		none			
Surrounding skin		intact			
Incision#1 dsg		open to air			
04/30/11 20:20 Drain(JAC1): multiple drains already charted					
Dis Flowchart	20:00	20:20	21:00	22:00	23:00
Insulin Multiplr	.05		.04	.03	.03
IV rate/mL	2.1		1.6	1.1	1.4
Misc Events	20:00	20:20	21:00	22:00	23:00
Evaluated POC		done			
Misc events		&			
04/30/11 20:20 Misc events(JAC1): Received report, assumed care. Assessment complete. denies needs. Call bell in reach.					
CARE PROVIDERS	JAC1	JAC1	JAC1	JAC1	JAC1

COTTLE, JEAN A(JAC1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 24

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/26/11 02:56 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 25

FLWSHEET	05/01				
Comfort	00:00	01:00	01:20	02:00	03:00
Abnorm findings			pain recvAnalgesicTx		
Pain score			6 Numeric Value		
Pt relief goal			0-4		
Pain onset			ongoing		
Pain description			constant		
Pain site(s)			incisional abdomen		
Comfort interv			meds (see MAR) &		
PainReassessScor				3 Numeric Value	

05/01/11 01:20 Comfort interv(JAC1): dilaudid

Diab FlowSheet	00:00	01:00	01:20	02:00	03:00
Insulin Multiplr	.03	.02		.01	.01
IV rate/mL	1.3	.7		.2	.5
CARE PROVIDERS	JAC1	JAC1	JAC1	JAC1	JAC1

COTTLE, JEAN A(JAC1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 25

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 26

FLWSHEET	05/01				
Comfort	04:00	05:00	06:00	06:20	06:59
Abnorm findings				pain recvAnalgesicTx	
Pain score				6 Numeric Value	
Pt relief goal				D-4	
Pain onset				ongoing	
Pain description				constant	
Pain site(s)				incisional abdomen	
Comfort interv				meds (see MAR) &	
05/01/11 06:20 Comfort interv(JAC1): oxycodone					
Diab Flowsheet	04:00	05:00	06:00	06:20	06:59
Insulin Multiplr	.02	.03	.03		.03
IV rate/mL	1.1	1.6	1.3		1.3
CARE PROVIDERS	JAC1	JAC1	JAC1	JAC1	JAC1

COTTLE, JEAN A(JAC1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
 ROOM: *1070-A* Page: 26

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 27

FLOWSHEET		05/01				
Chart Review	07:02	07:45	07:55	08:00	08:22	
Chart check q12h		done				
Neuro/EENT	07:02	07:45	07:55	08:00	08:22	
Alert, oriented x3					WNL	
Follows commands					WNL	
No Sensory Deficit					WNL	
Norm Hear &/or Aid					WNL	
Abs of drainage					WNL	
Norm closure					WNL	
Abs of swelling					WNL	
No visual impair					WNL	
PERRLA					WNL	
Patent nares					WNL	
No bleed/disch					WNL	
Impl Neuro EENT POC					done	
Tingling					&	
Numbness					&	
05/01/11 08:22 Tingling (SPS1): denies						
05/01/11 08:22 Numbness (SPS1): denies						
Special Neuro	07:02	07:45	07:55	08:00	08:22	
GCS eyes open					4=spontaneously	
GCS best verbal					5=orient/verbalz	
Best upper motor					6=obeys Vb Command	
GCS total sco					15	
Motor exam LUE					6=obeys commands	
Motor exam LLE					6=obeys commands	
Motor exam RUE					6=obeys commands	
Motor exam RLE					6=obeys commands	
Motor Strength LUE					5=normal	
Motor Strength LLE					5=normal	
Motor Strength RUE					5=normal	
Motor Strength RLE					5=normal	
Cardiovascular	07:02	07:45	07:55	08:00	08:22	
S1S2					WNL	
Angina/chest Pain					none	
Palp Periph Pulses					WNL	
Cap refill <3 sec					WNL	
Thigh/Calf Tender					none	
Implement Cv POC					done	
RUE edema					+2	
RLE edema					+2	
LUE edema					+2	
LLE edema					+2	
Generalized Edema					+2	
R radial pulse					normal	
CARE PROVIDERS		SPS1, {JAC1}			SPS1	

COTTLE, JEAN A (JAC1) RN

SHEFTON, SENACA P (SPS1) RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 27

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 28

FLWSHEET	05/01				
Cardiovascular-Cont.	07:02	07:45	07:55	08:00	08:22
L radial pulse					normal
R pedal pulse					normal
L pedal pulse					normal
Respiratory	07:02	07:45	07:55	08:00	08:22
Sport respers					WNL
NonLaboredEffort					WNL
RegClrBreathSnds					all lobes
Natural airway					WNL
No O2 requiremnt					WNL
Impimnt Resp POC					done
Cough					nonproductive
Gastrointestinal	07:02	07:45	07:55	08:00	08:22
Continent					WNL
No c/o N/V					WNL
Normal dentition					WNL
MucousMembIntact					WNL
Last BM					04/30/2011
Implement GI POC					done
BowelSndsAllQuad					hypoactive
Tube feeding					see MAR
Tube type					j-tube
Tube interv					irrigated
TF interv					TF bag changed
					TF restarted
G-tube location					LUQ
G-tube suction					gravity
G-tube drainage					green
J-tube location					LUQ
Tube#1 type					JP
Tube#1 location					RUQ
Tube#1 drainage					bloody
					scant
Tube#1 interv					bulb suction
Tube#2 type					JP
Tube#2 location					LUQ
Tube#2 drainage					clear
					scant
Tube#2 interv					bulb suction
Tube#3 type					other (specify)
					&
Tube#3 location					LUQ
Tube#3 drainage					purulent
Tube#3 interv					&
05/01/11 08:22 Tube#3 type(SPS1): duodenal drain					
05/01/11 08:22 Tube#3 interv(SPS1): LCWS					
CARE PROVIDERS					SPS1

SHEFTON, SENACA P(SPS1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 28

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/26/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 29

Flowsheet	05/01				
Genitourinary	07:02	07:45	07:55	08:00	08:22
Continent					WNL
NoAbn penile bld					WNL
NoAbnPenileDisch					WNL
Implement GU POC					done
Urine character					amber
Func/Musculoskel	07:02	07:45	07:55	08:00	08:22
ActiveROM x4 ext					WNL
Symmetry all ext					WNL
No act restrict					WNL
No use of device					no devices
Speech clear					WNL
Impl FuncMuscPOC					done
Weakness					generalized
SCD site					leg L leg R
SCD type					short leg
SCD assess					on operational
Skin	07:02	07:45	07:55	08:00	08:22
Skin warm					WNL
Color approp					to ethnicity
Impl Skn/Wnd POC					done
Sens perception					4=no impairmnt 4=rarely moist 3=walks occasion 3=slight limited 2=prob adequate 3=no app.probl 19
Moisture					arm R
Activity					
Mobility					
Nutrition					
Friction & shear					
Total Score					
Bruise site(s)					
Psychological	07:02	07:45	07:55	08:00	08:22
Memory intact					WNL
Approp affect					&
Approp behavior					to situation
Approp verbaliz					to situation
Implemnt PSE POC					done
Abnorm findings					maladj illness
05/01/11 08:22 Approp affect(SPS1): flat					
Comfort	07:02	07:45	07:55	08:00	08:22
No c/o, no s/s of					chills fever itching nausea prob sleeping SPS1
CARE PROVIDERS					

SHEFTON, SENACA P(SPS1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A* Page: 29

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:19
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 03:06
OPT OUT:

Page: 30

FLOWSHEET		05/01				
Comfort-Carl	07:02	07:45	07:55	08:00	08:22	
					spasms sweating vomiting	
Impl Comfort POC					done	
Abnorm findings	nausea pain recvAnalgesicTx vomiting				pain	
Pain score	6 Numeric Value				6 Numeric Value	
Pt relief goal	0-4				<4	
Pain onset	ongoing				ongoing	
Pain description	constant				constant	
Pain site(s)	incisional abdomen				incisional abdomen	
Comfort interv	meds (see MAR) &				emotional supprt	
PainReassessScor			- sleeping			
05/01/11 07:02 Comfort interv(JAC1): dilauid & zofran						
Nutrition	07:02	07:45	07:55	08:00	08:22	
Meal type					clear liquid	
Vascular Access	07:02	07:45	07:55	08:00	08:22	
Site#1 location					forearm L	
Insertion date					04/30/2011	
Gauge					#20	
Site#1 appear					secure	
Site#1 dressing					dry and intact	
Interventions					meds (see MAR)	
Safety	07:02	07:45	07:55	08:00	08:22	
Hospital ID band					on	
CallSystem access					done	
Bed in low posit					done	
Bed brakes on					done	
Bed alarms on					done	
IV sol verified					done	
IV tbg/bag dated					done	
High alertMedsID					done	
Imple SafetyPOC					done &	
05/01/11 08:22 High alertMedsID(SPS1): Insulin						
Fall Risk	07:02	07:45	07:55	08:00	08:22	
HxFall(w/in 3mo)					no=0	
Secondary dx					no=0	
Ambulatory aid					w/c NrsAssist=0	
IV or IV access					yes=20	
CARE PROVIDERS	JAC1		SPS1		SPS1	

COTTLE, JEAN A(JAC1)RN

SHEFTON, SENACA P(SPS1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 30

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MFI: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 31

FLWSHEET	05/01				
Fall Risk Cont	07:02	07:45	07:55	08:00	08:22
Gait					WeakUsesTouch=10
Mental status					Knows limits=0
Total score					30
Imple SafetyPOC					done
Intact Incision	07:02	07:45	07:55	08:00	08:22
Incision#1 site					abdomen
Incision#1 type					staples
Drain					other (specify) &
Incision#1 drAmt					none
Surrounding skin					intact
Incision#1 dsg					open to air
05/01/11 08:22 Drain(SPS1): multiple drains already charted above					
Diab Flowchart	07:02	07:45	07:55	08:00	08:22
Insulin Multiplr				0.02	
IV rate/mL				0.9	
Misc Events	07:02	07:45	07:55	08:00	08:22
Evaluated POC					done
Misc events					&
05/01/11 08:22 Misc events(SPS1): Received report and assumed care of pt. Assessment complete and will continue to monitor.					
CARE PROVIDERS				SPS1	SPS1

SHEFTON, SENACA P(SPS1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
 ROOM: *1070-A* Page: 31

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:19
 AGE: 49Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 32

FLWSHEET	05/01				
Chart Review	09:00	15:21	16:15	17:45	18:43
Chart check q12h					
Comfort	09:00	15:21	16:15	17:45	18:43
Abnorm findings		pain recvAnalgesicTx		pain recvAnalgesicTx	
Pain score		5 Numeric Value		5 Numeric Value	
Pt relief goal		<4		<4	
Pain onset		ongoing		ongoing	
Pain description		constant		constant	
Pain site(s)		incisional abdomen		incisional abdomen	
Comfort interv		emotional supprt meds (see MAR)		emotional supprt meds (see MAR)	
PainReassessScor Pt Goal Reassess			- sleeping		3 Numeric Value <4
ReassessComf Int					emotional supprt
Diab Flwsheet	09:00	15:21	16:15	17:45	18:43
Insulin Multiplr	0.02				
IV rate/mL	1.1				
CARE PROVIDERS	SPS1	SPS1	SPS1	SPS1	SPS1

SHEFTON, SENACA P(SPS1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 32

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 33

FLWSHEET	05/01	05/02
Chart Review	19:00	19:20 23:18 23:52 00:45
Chart check q12h	done	
Neuro/EENT	19:00	19:20 23:18 23:52 00:45
Alert, oriented x3		WNL
Follows commands		WNL
No Sensory Deficit		WNL
Norm Hear &/or Aid		WNL
Abs of drainage		WNL
Norm closure		WNL
Abs of swelling		WNL
No visual impair		WNL
PERRLA		WNL
Patent nares		WNL
No bleed/disch		WNL
Impl Neuro EENT POC		done
Tingling		&
Numbness		&
05/01/11 19:20 No visual impair(JAC1): sclera yellow		
05/01/11 19:20 Tingling(JAC1): denies		
05/01/11 19:20 Numbness(JAC1): denies		
Cardiovascular	19:00	19:20 23:18 23:52 00:45
Reg rate, rhythm		WNL
S1S2		WNL
Angina/chest Pain		none
Palp Periph Pulses		WNL
Cap refill <3 sec		WNL
Thigh/Calf Tender		none
Implement Cv POC		done
RUE edema		+2
RLE edema		+2
LUE edema		+2
LLE edema		+2
Generalized Edema		+2
Respiratory	19:00	19:20 23:18 23:52 00:45
Sport respers		WNL
Non Labored Effort		WNL
Natural airway		WNL
No O2 requiremnt		WNL
Implmnt Resp POC		done
Abnorm findings		tachypneic
Cough		productive
Sputum/Secretion		clear
CARE PROVIDERS	SPS1, {JAC1}	JAC1

COTTLE, JEAN A(JAC1)RN

SHEFTON, SENACA P(SPS1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 33

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 34

FLWSHEET	05/01				05/02
Gastrointestinal	19:00	19:20	23:18	23:52	00:45
Continent		WNL			
No c/o N/V		WNL			
Normal dentition		WNL			
MucousMembIntact		WNL			
Last BM		05/01/2011			
Implement GI POC		done			
BowelSndsAllQuad		hypoactive			
Tube feeding		see MAR			
Tube type		J-tube			
Tube interv		irrigated			
G-tube location		placement check			
G-tube suction		LUQ			
G-tube drainage		gravity			
J-tube location		green			
Tube#1 type		LUQ			
Tube#1 location		JP			
Tube#1 drainage		RUQ			
Tube#1 interv		none			
Tube#2 type		bulb suction			
Tube#2 location		JP			
Tube#2 drainage		LUQ			
Tube#2 interv		none			
Tube#3 type		bulb suction			
Tube#3 location		other (specify)			
Tube#3 drainage		LUQ			
		amber			
05/01/11 19:20 Tube#3 type(JAC1): duodenal, LCWS					
Genitourinary	19:00	19:20	23:18	23:52	00:45
Continent		WNL			
Adequate UOP		WNL			
NoAbn penile b/d		WNL			
NoAbnPenileDisch		WNL			
Urine character		amber			
Func/Musculoskel	19:00	19:20	23:18	23:52	00:45
ActiveROM x4 ext		WNL			
Symmetry all ext		WNL			
No act restrict		WNL			
No use of device		no devices			
Speech clear		WNL			
Impl FuncMuscPOC		done			
Weakness		generalized			
SCD site		leg L			
SCD type		leg R			
		short leg			
CARE PROVIDERS		JAC1			

COTTLE, JEAN A(JAC1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 34

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/26/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 35

FLWSHEET	05/01				05/02
Func/Musculoskel Cont.	19:00	19:20	23:18	23:52	00:45
SCD assess		on operational			
Skin	19:00	19:20	23:18	23:52	00:45
Skin warm		WNL			
Color approp		to ethnicity			
Impl Skn/Wnd POC		done			
Sens perception		4=no impairmnt			
Moisture		4=rarely moist			
Activity		3=walks occasion			
Mobility		3=slight limited			
Nutrition		2=prob adequate			
Friction & shear		3=no app. probl			
Total Score		19			
Bruise site(s)		arm R			
Psychological	19:00	19:20	23:18	23:52	00:45
Memory intact		WNL			
Approp affect		&			
Approp behavior		to situation			
Approp verbaliz		to situation			
05/01/11 19:20 Approp affect(JAC1): flat					
Comfort	19:00	19:20	23:18	23:52	00:45
No c/c, no s/s of		chills fever itching nausea prob sleeping spasms sweating vomiting			
Impl Comfort POC		done			
Abnorm findings		pain recvAnalgesicTx	pain recvAnalgesicTx	pain recvAnalgesicTx	
Pain score		3 Numeric Value	7 Numeric Value	5 Numeric Value	
Pt relief goal		0-4	0-4	0-4	
Pain onset		ongoing		ongoing	
Pain description		constant	constant	constant cramping	
Pain site(s)		incisional abdomen	incisional abdomen	incisional abdomen	
Comfort interv		distraction diversion repositioned	meds (see MAR) &	meds (see MAR) &	
PainReassessScor					- sleeping
05/01/11 23:18 Comfort interv(JAC1): oxycodone					
CARE PROVIDERS		JAC1	JAC1	JAC1	JAC1

COTTLE, JEAN A(JAC1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 35

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002857049
ACQUIRED: 05/05/11 00:00
OPT OUT:

Page: 36

FLWSHEET	05/01	05/02
Comfort Care	18:00	19:20 23:18 23:52 00:45
05/01/11 23:52 Comfort interv(JAC1): dilaudid, pre medicate with zofran, pt. advises dilaudid makes him nauseated.		
Nutrition	18:00	19:20 23:18 23:52 00:45
Meal type		clear liquid
Vascular Access	18:00	19:20 23:18 23:52 00:45
Site#1 location		forearm L
Insertion date		04/30/2011
Gauge		#20
Site#1 appear		secure
Site#1 dressing		dry and intact
Interventions		meds (see MAR)
Safety	18:00	19:20 23:18 23:52 00:45
Hospital ID band		on
CallSystem access		done
Bed in low posit		done
Bed brakes on		done
Bed alarms on		done
IV sol verified		done
IV tbg/bag dated		done
Imple SafetyPOC		done
Fall Risk	18:00	19:20 23:18 23:52 00:45
HxFall(w/in 3mo)		no=0
Secondary dx		no=0
Ambulatory aid		w/c NrsAssist=0
IV or IV access		yes=20
Gait		WeakUsesTouch=10
Mental status		Knows limits=0
Total score		30
Imple SafetyPOC		done
Intact Incision	18:00	19:20 23:18 23:52 00:45
Incision#1 site		abdomen
Incision#1 type		staples
Drain		other (specify) &
Incision#1 drAmt		none
Surrounding skin		intact
Incision#1 dsg		open to air
05/01/11 19:20 Drain(JAC1): multiple drains already charted		
Misc Events	18:00	19:20 23:18 23:52 00:45
Evaluated POC		done
Misc events		&
05/01/11 19:20 Misc events(JAC1): Received report, assumed care. Assessment complete. Denies needs. Call bell in reach.		
CARE PROVIDERS		JAC1

COTTLE, JEAN A(JAC1)RN

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 36

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 37

FLWSHEET	05/02				
Chart Review	02:02	02:55	04:23	05:00	07:05
Chart check q12h					done
Neuro/EENT	02:02	02:55	04:23	05:00	07:05
Alert, oriented x3					
Follows commands					
No Sensory Deficit					
Norm Hear &/or Aid					
Abs of drainage					
Norm closure					
Abs of swelling					
No visual impair					
PERRLA					
Patent nares					
No bleed/disch					
Impl Neuro EENT POC					
Tingling					
Numbness					
Cardiovascular	02:02	02:55	04:23	05:00	07:05
Reg rate, rhythm					
S1S2					
Angina/chest Pain					
Palp Perip Pulses					
Cap refill <3 sec					
Thigh/Calf Tender					
Implement Cv POC					
RUE edema					
RLE edema					
LUE edema					
LLE edema					
Generalized Edema					
R radial pulse					
L radial pulse					
R pedal pulse					
L pedal pulse					
Respiratory	02:02	02:55	04:23	05:00	07:05
Spont resps					
Non Labored Effort					
Natural airway					
No O2 requirement					
Implmnt Resp POC					
Abnorm findings					
Cough					
Sputum/Secretion					
CARE PROVIDERS					JAC1, (MP04)

COTTLE, JEAN A (JAC1) RN

PASCARELLA, MELISSA (MP04) RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 37

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REGISTRATION: 05/05/11 00:06
 OPT OUT:

Page: 38

Flowsheet	05/02				
	02:02	02:55	04:23	05:00	07:05
Gastrointestinal					
Continent					
No c/o N/V					
Normal dentition					
Mucous Membr Intact					
Last BM					
Implement GI POC					
Bowel Snds All Quad					
Tube feeding					
Tube type					
Tube interv					
G-tube location					
G-tube suction					
G-tube drainage					
J-tube location					
Tube#1 type					
Tube#1 location					
Tube#1 drainage					
Tube#1 interv					
Tube#2 type					
Tube#2 location					
Tube#2 drainage					
Tube#2 Interv					
Tube#3 type					
Tube#3 location					
Tube#3 drainage					
Genitourinary					
Continent					
Adequate UOP					
No Abn penile bld					
No Abn Penile Disch					
Urine character					
Func/Musculoskel					
Active ROM x4 ext					
Symmetry all ext					
No act restrict					
No use of device					
Speech clear					
Impl Func Musc POC					
Weakness					
SCD site					
SCD type					
SCD assess					
Skin					
Skin warm					
Color approp					
Impl Skn/Wnd POC					
Sens perception					

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 38

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 39

FLWSHEET	05/02				
Skin:Cont	02:02	02:55	04:23	05:00	07:05
Moisture					
Activity					
Mobility					
Nutrition					
Friction & shear					
Total Score					
Bruise site(s)					
Psychological	02:02	02:55	04:23	05:00	07:05
Memory intact					
Approp affect					
Approp behavior					
Approp verbaliz					
Comfort	02:02	02:55	04:23	05:00	07:05
No c/o, no s/s of					
Impl Comfort POC					
Abnorm findings	pain recvAnalgesicTx		pain recvAnalgesicTx		
Pain score	5 Numeric Value		4 Numeric Value		
Pt relief goal	0-4		0-4		
Pain onset	ongoing		ongoing		
Pain description	constant		constant		
Pain site(s)	incisional abdomen		incisional abdomen		
Comfort interv	meds (see MAR) &		meds (see MAR) &		
PainReassessScor		- sleeping		- sleeping	
05/02/11 02:02 Comfort interv(JAC1): dilaudid					
05/02/11 04:23 Comfort interv(JAC1): oxycodone					
Vascular Access	02:02	02:55	04:23	05:00	07:05
Site#1 location					
Insertion date					
Gauge					
Site#1 appear					
Site#1 dressing					
Interventions					
Safety	02:02	02:55	04:23	05:00	07:05
Hospital ID band					
CallSystem access					
Bed in low posit					
Bed brakes on					
Bed alarms on					
CARE PROVIDERS	JAC1	JAC1	JAC1	JAC1	

COTTLE, JEAN A(JAC1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 39

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/26/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 40

FLWSHEET	05/02				
Fall Risk	02:02	02:55	04:23	05:00	07:05
HxFall(w/in 3mo)					
Secondary dx					
Ambulatory aid					
IV or IV access					
Gait					
Mental status					
Total score					
Intact Incision	02:02	02:55	04:23	05:00	07:05
Incision#1 site					
Incision#1 type					
Drain					
Incision#1 drAmt					
Surrounding skin					
Incision#1 dsg					
Misc Events	02:02	02:55	04:23	05:00	07:05
Evaluated POC					

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 40

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:56 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 41

FLWSHEET	05/02				
Neuro/EENT	08:05	08:25	08:20	10:40	11:40
Alert, oriented x3	WNL				
Follows commands	WNL				
No Sensory Deficit	WNL				
Norm Hear &/or Aid	WNL				
Abs of drainage	WNL				
Norm closure	WNL				
Abs of swelling	WNL				
No visual impair	WNL				
PERRLA	WNL				
Patent nares	WNL				
No bleed/disch	WNL				
Impl Neuro EENT POC	done				
Tingling	&				
Numbness	&				

05/02/11 08:05 Tingling(MP04): denies

05/02/11 08:05 Numbness(MP04): denies

Cardiovascular	08:05	08:25	08:20	10:40	11:40
Reg rate, rhythm	WNL				
S1S2	WNL				
Angina/chest Pain	none				
Palp Periph Pulses	WNL				
Cap refill <3 sec	WNL				
Thigh/Calf Tender	none				
Implement Cv POC	done				
RUE edema	+2				
RLE edema	+2				
LUE edema	+2				
LLE edema	+2				
Generalized Edema	+2				
R radial pulse	normal				
L radial pulse	normal				
R pedal pulse	normal				
L pedal pulse	normal				

Respiratory	08:05	08:25	08:20	10:40	11:40
Sport respers	WNL				
Non Labored Effort	WNL				
Natural airway	WNL				
No O2 requiremnt	WNL				
Implmnt Resp POC	done				
Abnorm findings	tachypneic				
Cough	nonproductive				
Sputum/Secretion	clear				
CARE PROVIDERS	MP04				

PASCARELLA, MELISSA(MP04)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 41

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 03:06
 OPT OUT:

Page: 42

FLOWSHEET		05/02				
Gastrointestinal		08:05	08:25	09:20	10:40	11:40
Continent	WNL					
No c/o N/V	WNL					
Normal dentition	WNL					
Mucous Membr intact	WNL					
Last BM	5/1/11					
Implement GI POC	done					
Bowel Snds All Quad	hypoactive					
Tube feeding	see MAR					
Tube type	J-tube					
Tube interv	irrigated					
G-tube location	tube site care					
G-tube suction	LUQ					
G-tube drainage	gravity					
J-tube location	green					
J-tube drainage	LUQ					
Tube#1 type	JP					
Tube#1 location	RUQ					
Tube#1 drainage	none					
Tube#1 interv	bulb suction					
Tube#2 type	JP					
Tube#2 location	LUQ					
Tube#2 drainage	none					
Tube#2 interv	bulb suction					
Tube#3 type						
Tube#3 location	LUQ					
Tube#3 drainage	amber					
05/02/11 08:05 Tube#3 type(MP04): duodenal bulb						
Genitourinary		08:05	08:25	09:20	10:40	11:40
Continent	WNL					
Adequate UOP	WNL					
No Abn penile bld	WNL					
No Abn Penile Disch	WNL					
Urine character	amber					
Func/Musculoskel		08:05	08:25	09:20	10:40	11:40
Active ROM x4 ext	WNL					
Symmetry all ext	WNL					
No act restrict	WNL					
No use of device	no devices					
Speech clear	WNL					
Impl Func Musc POC	done					
Weakness	generalized					
SCD site	leg L					
	leg R					
SCD type	short leg					
CARE PROVIDERS	MP04					

PASCARELLA, MELISSA(MP04)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 42

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 49Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 43

FLOWSHEET		05/02				
Fund/Musculoskeletal Cont.		08:05	08:25	08:20	10:40	11:40
SCD assess	on operational					
Skin		08:05	08:25	08:20	10:40	11:40
Skin warm	WNL					
Color approp	to ethnicity					
Impl Skn/Wnd POC	done					
Sens perception	4=no impairmnt					
Moisture	4=rarely moist					
Activity	3=walks occasion					
Mobility	3=slight limited					
Nutrition	3=adequate					
Friction & shear	3=no app.probl					
Total Score	20					
Bruise site(s)	arm R					
Psychological		08:05	08:25	08:20	10:40	11:40
Memory intact	WNL					
Approp affect	to situation					
Approp behavior	to situation					
Approp verbaliz	to situation					
Comfort		08:05	08:25	08:20	10:40	11:40
No c/o, no s/s of	chills fever itching prob sleeping spasms					
Impl Comfort POC	done					
Abnorm findings	nausea	pain		pain		
Pain score	6 Numeric Value	5 Numeric Value		5 Numeric Value		
Pain onset	ongoing					
Pain site(s)	back					
Comfort interv	relaxation repositioned other (specify)					
PainReassessScor			- sleeping		6 Numeric Value (modified)	
Vascular Access		08:05	08:25	08:20	10:40	11:40
Site#1 location	forearm L					
Insertion date	04/30/2011					
Gauge	#20					
Site#1 appear	secure					
Site#1 dressing	dry and intact					
Interventions	meds (see MAR)					
CARE PROVIDERS	MP04	MP04	MP04	MP04	MP04	MP04

PASCARELLA, MELISSA(MP04)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 43

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 44

FLOWSHEET		05/02				
Safety		08:05	08:25	08:20	10:40	11:40
Hospital ID band	on					
CallSystem access	done					
Bed in low posit	done					
Bed brakes on	done					
Bed alarms on	done					
Fall Risk		08:05	08:25	08:20	10:40	11:40
HxFall(w/in 3mo)	no=0					
Secondary dx	no=0					
Ambulatory aid	w/s NrsAssist=0					
IV or IV access	yes=20					
Gait	WeakUsesTouch=10					
Mental status	Knows limits=0					
Total score	30					
Intact Incision		08:05	08:25	08:20	10:40	11:40
Incision#1 site	abdomen					
Incision#1 type	staples					
Drain	(modified)					
Incision#1 drAmt	none					
Surrounding skin	intact					
Incision#1 dsq	open to air					
05/02/11 08:05 Drain(MP04): miltpile drains; ruq jp; luq jp; g tbe to foley bag, j tube with feeding; duedonal drain.						
Misc Events		08:05	08:25	08:20	10:40	11:40
Evaluated POC	done					
CARE PROVIDERS	MP04					

PASCARELLA, MELISSA(MP04)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 44

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE 18Y SEX M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 45

FLWSHEET	05/02				
Comfort	12:15	13:15	14:10	15:30	16:09
Abnorm findings	pain			pain	
Pain score	6 Numeric Value			4 Numeric Value	
PainReassessSoor		6 Numeric Value			
Nutrition	12:15	13:15	14:10	15:30	16:09
Meal type			clear liquid		
Breakfast amt			0%		
Lunch amt			0%		
Adt Daily Living	12:15	13:15	14:10	15:30	16:09
Bath					assist &
Mouth care					assist
Amb w/assistance					assist
OOB-chair					assist
ROM joints					assist
Peri care					independent
Deep breathe					independent
Cough					independent
05/02/11 16:09 Bath(ALB3): Pt. requested bath both day and night shift.					
CARE PROVIDERS	MP04	MP04	ALB3	MP04	ALB3
BOSTER, ALIX(ALB3)PCT		PASCARELLA, MELISSA(MP04)RN			

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 45

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 46

FLWSHEET	05/02				
Chart Review	16:15	17:08	17:48	18:08	18:21
Chart check q12h					done
Comfort	16:15	17:08	17:48	18:08	18:21
Abnorm findings		pain		pain	
Pain score		7 Numeric Value		9 Numeric Value	
Pain description				burning sharp stabbing throbbing	
Pain site(s)				abdomen	
Pain Reassess Score	8 Numeric Value		- sleeping		
Nutrition	16:15	17:08	17:48	18:08	18:21
Dinner amt					
CARE PROVIDERS	MP04	MP04	MP04	MP04	MP04, (DLRO)

PASCARELLA, MELISSA(MP04)RN

ROBERTS, DUSTI L(DLRO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 46

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 47

FLWSHEET	05/02				
Neuro/EENT	18:29	20:08	21:01	22:01	23:02
Alert, oriented x3		WNL			
Follows commands		WNL			
No Sensory Deficit		WNL			
Norm Hear &/or Aid		WNL			
Abs of drainage		WNL			
Norm closure		WNL			
Abs of swelling		WNL			
No visual impair		WNL			
PERRLA		WNL			
Patent nares		WNL			
No bleed/disch		WNL			
Tingling			&		
Numbness			&		
05/02/11 20:08 No visual impair(DLRO): yellow sclera					
05/02/11 20:08 Tingling(DLRO): Denies					
05/02/11 20:08 Numbness(DLRO): Denies					
Special Neuro	18:29	20:08	21:01	22:01	23:02
GCS eyes open		4=spontaneously			
GCS best verbal		5=orient/verbalz			
Best upper motor		6=obeys Vb Command			
GCS total sco		15			
Motor exam LUE		6=obeys commands			
Motor exam LLE		6=obeys commands			
Motor exam RUE		6=obeys commands			
Motor exam RLE		6=obeys commands			
Motor Strength LUE		5=normal			
Motor Strength LLE		5=normal			
Motor Strength RUE		5=normal			
Motor Strength RLE		5=normal			
Cardiovascular	18:29	20:08	21:01	22:01	23:02
Reg rate, rhythm		WNL			
S1S2		WNL			
Angina/chest Pain		none			
Palp/PeripPulses		WNL			
Cap refill <3 sec		WNL			
Thigh/Calf Tender		none			
Implement Cv POC		done			
RUE edema		+1			
RLE edema		+1			
LUE edema		+2			
LLE edema		+1			
Generalized Edema		+1			
R radial pulse		normal			
L radial pulse		normal			
CARE PROVIDERS		DLRO			

ROBERTS, DUSTI L (DLRO) RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 47

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:56 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 48

FLWSHEET	05/02				
Cardiovascular-Cont.	19:29	20:08	21:01	22:01	23:02
R pedal pulse		normal			
L pedal pulse		normal			
Respiratory	19:29	20:08	21:01	22:01	23:02
Sport respers		WNL			
NonLaboredEffort		WNL			
RegClrBreathSnds		all lobes			
No cough,dyspnea		WNL			
Natural airway		WNL			
No O2 requiremnt		WNL			
Implmnt Resp POC		done			
Abnorm findings		shallow			
Cough		nonproductive			

05/02/11 20:08 Cough(DLRO): per pt report.

Gastrointestinal	19:29	20:08	21:01	22:01	23:02
Continent		WNL			
No c/o N/V		WNL			
Normal dentition		WNL			
MucousMembIntact		WNL			
Last BM		05/01/2011			
Implement GI POC		done			
BowelSndsAllQuad		hypoactive			
Abdomen		muscle guarding			
Tube feeding		tender			
Tube type		see MAR			
Tube interv		j-tube			
G-tube location		irrigated			
G-tube suction		tube site care			
G-tube drainage		LUQ			
J-tube location		gravity			
Tube#1 type		green			
Tube#1 location		LUQ			
Tube#1 drainage		JP			
Tube#1 interv		RUQ			
Tube#2 type		scant			
Tube#2 location		bulb suction			
Tube#2 drainage		JP			
Tube#2 interv		LUQ			
Tube#3 type		none			
Tube#3 location		bulb suction			
Tube#3 drainage		LUQ			
		other (specify)			

05/02/11 20:08 Tube#3 type(DLRO): Duodenal drain

CARE PROVIDERS	DLRO			

ROBERTS, DUSTI L(DLRO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 48

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
ACQUIRED: 05/05/11 03:06
OPT OUT:

Page: 49

FLOWSHEET		05/02				
Gastrointestinal-Cont.		19:29	20:05	21:01	22:01	23:02
05/02/11 20:08 Tube#3 drainage(DLRO): yellow						
Genitourinary		19:29	20:05	21:01	22:01	23:02
Continent		WNL				
Adequate UOP		WNL				
NoAbn penile bld		WNL				
NoAbnPenileDisch		WNL				
Urine character		amber				
Func/Musculoskel		19:29	20:05	21:01	22:01	23:02
ActiveROM x4 ext		WNL				
Symmetry all ext		WNL				
No act restrict		WNL				
No use of device		no devices				
Speech clear		WNL				
Impl FuncMuscPOC		done				
Weakness		generalized				
SCD site		leg L leg R				
SCD type		short leg				
SCD assess		on operational				
Skin		19:29	20:05	21:01	22:01	23:02
Skin warm		WNL				
Color approp		to ethnicity				
Impl Skn/Wnd POC		done				
Sens perception		4=no impairmnt				
Moisture		4=rarely moist				
Activity		3=walks occasion				
Mobility		3=slight limited				
Nutrition		3=adequate				
Friction & shear		3=no app. probl				
Total Score		20				
Psychologist		19:29	20:05	21:01	22:01	23:02
Memory intact		WNL				
Approp affect		to situation				
Approp behavior		to situation				
Approp verbaliz		to situation				
Abnorm findings		excess anxiety maladj illness				
Comfort		19:29	20:05	21:01	22:01	23:02
Abnorm findings			pain recvAnalgesicTx		pain recvAnalgesicTx	
Pain score			7 Numeric Value		5 Numeric Value	
Pt relief goal			0		0	
CARE PROVIDERS		DLRO	DLRO		DLRO	

ROBERTS, DUSTI L(DLRO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 49

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 49Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 50

FLWSHEET	05/02				
Comfort-Cant.	19:29	20:08	21:01	22:01	23:02
Pain onset			ongoing		ongoing
Pain description			constant		constant
Pain site(s)			abdomen		abdomen
Comfort interv			meds (see MAR)		meds (see MAR)
PainReassessScor		6 Numeric Value		- sleeping	
Pt Goal Reassess		0			
ReassessConf Int		emotional supprt			
Nutrition	19:29	20:08	21:01	22:01	23:02
Dinner amt	0%				
Vascular Access	19:29	20:08	21:01	22:01	23:02
Site#1 location		forearm L			
Insertion date		04/30/2011			
Gauge		#20			
Site#1 appear		secure			
Site#1 dressing		dry and intact			
Interventions		meds (see MAR)			
Safety	19:29	20:08	21:01	22:01	23:02
Hospital ID band		on			
CallSystem access		done			
Bed in low posit		done			
Bed brakes on		done			
Bed alarms on		done			
IV sol verified		done			
IV tbg/bag dated		done			
High alertMedsID		done			
		&			
Imple SafetyPOC		done			
05/02/11 20:08 High alertMedsID(DLRO): FSBS Q4hr					
Fall Risk	19:29	20:08	21:01	22:01	23:02
HxFall(w/in 3mo)		no=0			
Secondary dx		no=0			
Ambulatory aid		None Bedrest=0			
IV or IV access		yes=20			
Gait		WeakUsesTouch=10			
Mental status		Knows limits=0			
Total score		30			
Imple SafetyPOC		done			
Intact Incision	19:29	20:08	21:01	22:01	23:02
Incision#1 site		abdomen			
Incision#1 type		staples			
Drain		&			
Incision#1 drAmt		none			
Surrounding skin		intact			
Incision#1 dsq		open to air			
CARE PROVIDERS	ALB3	DLRO	DLRO	DLRO	DLRO

BOSTER, ALIX(ALB3)PCT

ROBERTS, DUSTI L(DLRO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 50

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:19
 AGE 18Y SEX M : LEON, STUART M
 DOB [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 51

FLWSHEET	05/02				
Intact Incision Cont.	19:29	20:05	21:01	22:01	23:02
05/02/11 20:08 Drain(DLRO): Multiple drains. See previous charting.					
Misc Events	19:29	20:05	21:01	22:01	23:02
Evaluated POC		done			
Misc events		&			
05/02/11 20:08 Misc events(DLRO): Assessment complete. Patient alert and oriented x 3 rating pain 6/10. Family at bedside. Call bell within reach. Will continue to monitor.					
CARE PROVIDERS		DLRO			

ROBERTS, DUSTI L(DLRO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 51

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 52

FLWSHEET	05/02	05/03			
Comfort	23:58	00:50	01:50	03:00	03:35
Abnorm findings		pain recvAnalgesicTx		pain recvAnalgesicTx	
Pain score		5 Numeric Value		8 Numeric Value	
Pt relief goal		0		0	
Pain onset		ongoing		ongoing	
Pain description		constant		constant	
Pain site(s)		abdomen		abdomen	
Comfort interv		meds (see MAR)		meds (see MAR)	
PainReassessScor	- sleeping		8 Numeric Value &		- sleeping
Pt Goal Reassess			0		
ReassessComf Int			emotional supprt		
05/03/11 01:50 PainReassessScor(DLRO): New family member arrived.					
CARE PROVIDERS	DLRO	DLRO	DLRO	DLRO	DLRO
ROBERTS, DUSTI L(DLRO)RN					

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
 ROOM: *1070-A* Page: 52



GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 ACQUIRED: 05/05/11 00:00
 OPT OUT:

Page: 53

FLWSHEET	05/03				
Chart Review	04:54	05:54	06:47	06:48	07:47
Chart check q12h				done	
Neuro/EENT	04:54	05:54	06:47	06:48	07:47
Alert, oriented x3					
Follows commands					
No Sensory Deficit					
Norm Hear &/or Aid					
Abs of drainage					
Norm closure					
Abs of swelling					
No visual impair					
PERRLA					
Patent nares					
No bleed/disch					
Imp Neuro EENT POC					
Tingling					
Numbness					
Special Neuro	04:54	05:54	06:47	06:48	07:47
GCS eyes open					
GCS best verbal					
Best upper motor					
GCS total sco					
Motor exam LUE					
Motor exam LLE					
Motor exam RUE					
Motor exam RLE					
Motor Strength LUE					
Motor Strength LLE					
Motor Strength RUE					
Motor Strength RLE					
Cardiovascular	04:54	05:54	06:47	06:48	07:47
Reg rate, rhythm					
S1S2					
Angina/chest Pain					
Palp Periph Pulses					
Cap refill <3 sec					
Thigh/Calf Tender					
Absence of edema					
Implement Cv POC					
Respiratory	04:54	05:54	06:47	06:48	07:47
Spont respirs					
Non Labored Effort					
Reg Clr Breath Snds					
No cough, dyspnea					
Natural airway					
CARE PROVIDERS				DLRO, {COS0}	

ROBERTS, DUSTI L (DLRO) RN

SLUSARSKI, COREY (COS0) RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 53

FIRM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 54

FLWSHEET	05/03				
Respiratory-Cont.	04:54	05:54	06:47	06:48	07:47
No O2 requiremnt					
Gastrointestinal	04:54	05:54	06:47	06:48	07:47
Continent					
No c/o N/V					
Normal dentition					
Last BM					
Implement GI POC					
BowelSndsAllQuad					
Abdomen					
Tube feeding					
Tube type					
Tube interv					
G-tube location					
G-tube suction					
G-tube drainage					
J-tube location					
Tube#1 type					
Tube#1 location					
Tube#1 drainage					
Tube#1 interv					
Tube#2 type					
Tube#2 location					
Tube#2 drainage					
Tube#2 interv					
Tube#3 type					
Tube#3 location					
Tube#3 drainage					
Genitourinary	04:54	05:54	06:47	06:48	07:47
Continent					
Adequate UOP					
NoAbn penile bld					
NoAbnPenileDisch					
Implement GU POC					
Urine character					
Func/Musculoskel	04:54	05:54	06:47	06:48	07:47
ActiveROM x4 ext					
Symmetry all ext					
No act restrict					
No use of device					
Speech clear					
Impl FuncMuscPOC					
Weakness					
SCD site					
SCD type					
SCD assess					

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 54

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE 49Y SEX M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 55

FLWSHEET	05/03				
Skin	04:54	05:54	06:47	06:48	07:47
Skin warm					
Color approp					
Impl Skn/Wnd POC					
Sens perception					
Moisture					
Activity					
Mobility					
Nutrition					
Friction & shear					
Total Score					
Color					
Psychological	04:54	05:54	06:47	06:48	07:47
Memory intact					
Approp behavior					
Approp verbaliz					
Implemnt PSE POC					
Abnorm findings					
Comfort	04:54	05:54	06:47	06:48	07:47
No c/o, no s/s of					
Impl Comfort POC					
Abnorm findings	pain recvAnalgesicTx		pain recvAnalgesicTx		
Pain score	5 Numeric Value		4 Numeric Value		
Pt relief goal	0		0		
Pain onset	ongoing		ongoing		
Pain description	constant		constant		
Pain site(s)	abdomen		abdomen		
Comfort interv	meds (see MAR)		meds (see MAR)		
PainReassessScor		- sleeping			- sleeping
Vascular Access	04:54	05:54	06:47	06:48	07:47
Site#1 location					
Insertion date					
Gauge					
Site#1 appear					
Site#1 dressing					
Interventions					
Safety	04:54	05:54	06:47	06:48	07:47
Hospital ID band					
CallSystem access					
Bed in low posit					
Bed brakes on					
Bed alarms on					
IV sol verified					
IV tbg/bag dated					
CARE PROVIDERS	DLRO	DLRO	DLRO		COSO

ROBERTS, DUSTI L(DLRO)RN

SLUSARSKI, COREY(COSO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 55

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 48Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 56

FLWSHEET	05/03				
Safety Cont.	04:54	05:54	06:47	06:48	07:47
High alertMedsID					
Imple SafetyPOC					
Fall Risk	04:54	05:54	06:47	06:48	07:47
HxFall(w/in 3mo)					
Secondary dx					
Ambulatory aid					
IV or IV access					
Gait					
Mental status					
Total score					
Imple SafetyPOC					
Intact Incision	04:54	05:54	06:47	06:48	07:47
Incision#1 site					
Incision#1 type					
Drain					
Incision#1 drAmt					
Surrounding skin					
Incision#1 dsq					
Misc Events	04:54	05:54	06:47	06:48	07:47
Evaluated POC					
Miso events					

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 56

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 48Y SEX: M :: LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 57

FLWSHEET	05/03				
Neuro/EENT	08:43	09:45	10:34	11:04	12:04
Alert, oriented x3	WNL				
Follows commands	WNL				
No Sensory Deficit	WNL				
Norm Hear &/or Aid	WNL				
Abs of drainage	WNL				
Norm closure	WNL				
Abs of swelling	WNL				
No visual impair	WNL				
PERRLA	WNL				
Patent nares	WNL				
No bleed/disch	WNL				
Imp Neuro EENT POC	done				
Tingling	&				
Numbness	&				
05/03/11 08:43 Tingling(COS0): denies					
05/03/11 08:43 Numbness(COS0): denies					
Special Neuro	08:43	09:45	10:34	11:04	12:04
GCS eyes open	4=spontaneously				
GCS best verbal	5=orient/verbalz				
Best upper motor	6=obeys Vb Command				
GCS total sco	15				
Motor exam LUE	6=obeys commands				
Motor exam LLE	6=obeys commands				
Motor exam RUE	6=obeys commands				
Motor exam RLE	6=obeys commands				
Motor Strength LUE	5=normal				
Motor Strength LLE	5=normal				
Motor Strength RUE	5=normal				
Motor Strength RLE	5=normal				
Cardiovascular	08:43	09:45	10:34	11:04	12:04
Reg rate, rhythm	WNL				
S1S2	WNL				
Angina/chest Pain	none				
Palp Periph Pulses	WNL				
Cap refill <3 sec	WNL				
Thigh/Calf Tender	none				
Absence of edema	WNL				
Implement Cv POC	done				
Respiratory	08:43	09:45	10:34	11:04	12:04
Spont respirs	WNL				
Non Labored Effort	WNL				
Reg Clr Breath Snds	all lobes				
No cough, dyspnea	WNL				
Natural airway	WNL				
CARE PROVIDERS	COS0				

SLUSARSKI, COREY(COS0)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 57

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 49Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 58

FLOWSHEET		05/03				
Respiratory Cont.		08:43	09:45	10:34	11:04	12:04
No O2 requiremnt	WNL					
Gastrointestinal		08:43	09:45	10:34	11:04	12:04
Continent	WNL					
No c/o N/V	WNL					
Normal dentition	WNL					
Last BM	5/1/11					
Implement GI POC	done					
BowelSndsAllQuad	hypoactive					
Abdomen	muscle guarding					
	tender					
Tube feeding	see MAR					
Tube type	j-tube					
Tube interv	irrigated					
G-tube location	tube site care					
G-tube suction	LUQ					
G-tube drainage	gravity					
	brown					
	green					
	(modified)					
J-tube location	LUQ					
Tube#1 type	JP					
Tube#1 location	RUQ					
Tube#1 drainage	purulent					
Tube#1 interv	bulb suction					
Tube#2 type	JP					
Tube#2 location	LUQ					
Tube#2 drainage	green					
Tube#2 interv	bulb suction					
Tube#3 type	other (specify)					
Tube#3 location	LUQ					
Tube#3 drainage	other (specify)					
05/03/11 08:43 Abdomen(COS0): +Flatus						
05/03/11 08:43 Tube feeding(COS0): promote @95ml/hr						
05/03/11 08:43 Tube#3 type(COS0): duodenal drain						
05/03/11 08:43 Tube#3 drainage(COS0): yellow						
Genitourinary		08:43	09:45	10:34	11:04	12:04
Continent	WNL					
Adequate UOP	WNL					
NoAbn penile bld	WNL					
NoAbnPenileDisch	WNL					
Implement GU POC	done					
Urine character	amber					
CARE PROVIDERS	COS0					

SLUSARSKI, COREY(COS0)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 58

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/26/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 59

FLOWSHEET		05/03				
Func/Musculoskel		08:43	09:45	10:34	11:04	12:04
ActiveROM x4 ext	WNL					
Symmetry all ext	WNL					
No act restrict	WNL					
No use of device	no devices					
Speech clear	WNL					
Impl FuncMusPoc	done					
Weakness	generalized					
SCD site	leg L					
SCD type	leg R					
SCD assess	short leg					
	on					
	operational					
Skin		08:43	09:45	10:34	11:04	12:04
Skin warm	WNL					
Color approp	to ethnicity					
Impl Skn/Wnd POC	done					
Sens perception	4=no impairmnt					
Moisture	4=rarely moist					
Activity	3=walks occasion					
Mobility	3=slight limited					
Nutrition	3=adequate					
Friction & shear	3=no app.probl					
Total Score	20					
Color	jaundice					
	&					
05/03/11 08:43 Color(COS0): eyes						
Psychological		08:43	09:45	10:34	11:04	12:04
Memory intact	WNL					
Approp behavior	to situation					
Approp verbaliz	to situation					
Implemnt PSE POC	done					
Abnorm findings	excess anxiety					
Comfort		08:43	09:45	10:34	11:04	12:04
No c/o, no s/s of	chills					
	fever					
	itching					
	nausea					
	prob sleeping					
	spasms					
	sweating					
	vomiting					
Impl Comfort POC	done					
Abnorm findings	pain				pain	
	recvAnalgesicTx				recvAnalgesicTx	
CARE PROVIDERS	COS0				COS0	

SLUSARSKI, COREY(COS0)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 59

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT: .

Page: 60

FLOWSHEET		05/03				
Comfort Care	08:43	09:43	10:34	11:04	12:04	
Pain score	10 Numeric Value			6 Numeric Value		
Pt relief goal	0-3			0-3		
Pain onset	ongoing			ongoing		
Pain description	constant			constant		
Pain site(s)	incisional abdomen			abdomen		
Comfort interv	emotional supprt meds (see MAR) relaxation			emotional supprt meds (see MAR) relaxation		
PainReassessScore		4 Numeric Value			5 Numeric Value	
Pt Goal Reassess		0-3			0-3	
ReassessComf Int		relaxation			emotional supprt heat relaxation repositioned (modified)	
Nutrition		08:43	09:43	10:34	11:04	12:04
Meal type				clear liquid		
Breakfast amt				0%		
Act Daily Living		08:43	09:43	10:34	11:04	12:04
Bath				assist		
Mouth care				independent		
Amb w/assistance				assist		
OOB-chair				assist		
ROM joints				independent		
Peri care				independent		
Deep breathe				independent		
Cough				independent		
Vascular Access		08:43	09:43	10:34	11:04	12:04
Site#1 location	forearm L					
Insertion date	04/30/2011					
Gauge	#20					
Site#1 appear	secure					
Site#1 dressing	dry and intact					
Interventions	meds (see MAR)					
Safety		08:43	09:43	10:34	11:04	12:04
Hospital ID band	on					
CallSystem access	done					
Bed in low posit	done					
Bed brakes on	done					
Bed alarms on	done					
IV sol verified	done					
IV tbg/bag dated	done					
CARE PROVIDERS	COS0	COS0	JTC	COS0	COS0	

CARSON, JOHN T(JTC)CA

SLUSARSKI, COREY(COS0)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 60

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 61

FLOWSHEET		05/03				
Safety Cont.		08:43	09:45	10:34	11:04	12:04
High alertMedsID	done					
Imple SafetyPOC	done					
05/03/11 08:43 High alertMedsID(COS0): insulin						
Fall Risk		08:43	09:45	10:34	11:04	12:04
HxFall(w/in 3mo)	no=0					
Secondary dx	no=0					
Ambulatory aid	w/c NrsAssist=0					
IV or IV access	yes=20					
Gait	WeakUsesTouch=10					
Mental status	Knows limits=0					
Total score	30					
Imple SafetyPOC	done					
Intact Incision		08:43	09:45	10:34	11:04	12:04
Incision#1 site	abdomen					
Incision#1 type	staples					
Drain	other (specify)					
Incision#1 drAmt	none					
Surrounding skin	intact					
Incision#1 dsg	open to air					
05/03/11 08:43 Drain(COS0): x4						
Misc Events		08:43	09:45	10:34	11:04	12:04
Evaluated POC	done					
Misc events						
05/03/11 08:43 Misc events(COS0): pt A/Ox3, assessment complete. Pt c/o pain to abd meds given as ordered. Denies nausea, Staples OTA, hypo BS +Flatus. Family at bedside call bell w/in reach will cont to monitor						
CARE PROVIDERS	COS0					

SLUSARSKI, COREY(COS0)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 61

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 62

FLWSHEET	05/03				
Gastrointestinal	12:45	13:45	14:09	15:33	16:09
Last BM					5/3
Stool amount					small
Stool color					&
Stool consistency					liquid
05/03/11 16:09 Stool color(COS0): cream colored					
Comfort	12:45	13:45	14:09	15:33	16:09
Abnorm findings	pain recvAnalgesicTx			pain recvAnalgesicTx	
Pain score	7 Numeric Value			6 Numeric Value	
Pt relief goal	0-3			0-3	
Pain onset	ongoing			ongoing	
Pain description	constant			constant	
Pain site(s)	abdomen			incisional abdomen	
Comfort interv	emotional supprt meds (see MAR) relaxation			emotional supprt meds (see MAR) relaxation	
PainReassessScor		- sleeping			
Nutrition	12:45	13:45	14:09	15:33	16:09
Lunch amt			10%		
Misc Events	12:45	13:45	14:09	15:33	16:09
Misc events			&		
05/03/11 14:09 Misc events(COS0): M.Mosteller aware of pt BP. No new orders at this time will cont to monitor					
CARE PROVIDERS	COS0	COS0	COS0 JTC	COS0	COS0
CARSON, JOHN T(JTC)CA SLUSARSKI, COREY(COS0)RN					

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 62

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 18Y 65X M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 63

FLWSHEET	05/03				
Chart Review	16:33	18:15	18:54	18:59	19:20
Chart check q12h			done		
Neuro/EENT	16:33	18:15	18:54	18:59	19:20
Alert, oriented x3					WNL
Follows commands					WNL
No Sensory Deficit					WNL
Norm Hear &/or Aid					WNL
Abs of drainage					WNL
Norm closure					WNL
Abs of swelling					WNL
No visual impair					WNL
PERRLA					WNL
Patent nares					WNL
No bleed/disch					WNL
Behavior/neuro					calm
Orientation					situation
					to person
					to place
					to time
Special Neuro	16:33	18:15	18:54	18:59	19:20
GCS eyes open					4=spontaneously
GCS best verbal					5=orient/verbalz
Best upper motor					6=obeys Vb Command
GCS total sco					15
Motor exam LUE					6=obeys commands
Motor exam LLE					6=obeys commands
Motor exam RUE					6=obeys commands
Motor exam RLE					6=obeys commands
Motor Strength LUE					5=normal
Motor Strength LLE					5=normal
Motor Strength RUE					5=normal
Motor Strength RLE					5=normal
Cardiovascular	16:33	18:15	18:54	18:59	19:20
Reg rate, rhythm					WNL
S1S2					WNL
Angina/chest Pain					WNL
Palp Periph Pulses					WNL
Cap refill <3 sec					WNL
Thigh/Calf Tender					WNL
Absence of edema					WNL
Implement Cv POC					done
R pedal pulse					normal
L pedal pulse					normal
05/03/11 19:20 Reg rate, rhythm (TMM2): 120					
CARE PROVIDERS			COS0, (TMM2)	TMM2	
MARTUCCI, TIFFANY(TMM2)RN SLUSARSKI, COREY(COS0)RN					

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 63

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/03/11 00:00
 OPT OUT:

Page: 64

Flowsheet	05/03				
Respiratory	18:33	18:45	18:54	18:59	18:20
Spont respers					WNL
NonLaboredEffort					WNL
RegClrBreathSnds					all lobes
No cough,dyspnea					WNL
Natural airway					WNL
No O2 requiremnt					WNL
Gastrointestinal	18:33	18:45	18:54	18:59	18:20
Continent					WNL
No c/o N/V					WNL
Normal dentition					WNL
MucousMembIntact					
Last BM					5/3/2011
Implement GI POC					done
BowelSndsAllQuad					hypoactive
Abdomen					distended
					muscle guarding
					tender
Tube feeding					see MAR
Tube type					j-tube
Tube interv					irrigated
TF interv					
G-tube location					LUQ
G-tube suction					gravity
G-tube drainage					clear
J-tube location					green
					LLQ
Tube#1 type					JP
Tube#1 location					RUQ
Tube#1 drainage					
Tube#1 interv					bulb suction
Tube#2 type					JP
Tube#2 location					LUQ
Tube#2 drainage					clear
Tube#2 interv					bulb suction
Tube#3 type					other (specify)
Tube#3 location					
Tube#3 drainage					LUQ
					clear
					green
Tube#3 interv					
05/03/11 19:20 Continent(TMM2): continent at times.					
05/03/11 19:20 TF interv(TMM2): tube feed replenished.					
05/03/11 19:20 Tube#1 drainage(TMM2): greyish liquid					
05/03/11 19:20 Tube#3 type(TMM2): Duodenal tube					
05/03/11 19:20 Tube#3 drainage(TMM2): yellowish					
CARE PROVIDERS					TMM2

MARTUCCI, TIFFANY(TMM2)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 64

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 65

FLOWSHEET		05/03				
Gastrointestinal-Cont:	16:33	18:15	18:54	18:59	19:20	
05/03/11 19:20 Tube#3 interv(TMM2): continous low wall suction						
Genitourinary	16:33	18:15	18:54	18:59	19:20	
Continent					WNL	
Adequate UOP					WNL	
NoAbn penile bld					WNL	
NoAbnPenileDisch					WNL	
Urine character					&	
05/03/11 19:20 Urine character(TMM2): clear amber						
Func/Musculoskel	16:33	18:15	18:54	18:59	19:20	
ActiveROM x4 ext					WNL	
Symmetry all ext					WNL	
No act restrict					WNL	
No use of device					WNL	
Speech clear					&	
Impl FuncMusPOC					done	
Weakness					generalized	
SCD site					leg L leg R	
SCD type					short leg	
SCD assess					on operational	
05/03/11 19:20 No use of device(TMM2): trapeze						
Skin	16:33	18:15	18:54	18:59	19:20	
Skin warm					WNL	
Color approp					to ethnicity	
Impl Skn/Wnd POC					done	
Sens perception					4=no impairmnt	
Moisture					4=rarely moist	
Activity					3=walks occasion	
Mobility					3=slight limited	
Nutrition					3=adequate	
Friction & shear					3=no app.probl	
Total Score					20	
SpBed DecisnTree					score1or2 in any	
Color					jaundice	
Other equipment					trapeze	
Psychological	16:33	18:15	18:54	18:59	19:20	
Memory intact					WNL	
Approp verbaliz					to situation	
Abnorm findings					excess anxiety maladj illness	
CARE PROVIDERS					TMM2	

MARTUCCI, TIFFANY(TMM2)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 65

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 66

FLOWSHEET		05/03				
Comfort	16:33	18:18	18:54	18:59	19:20	
No c/o, no s/s of					chills fever itching nausea prob sleeping spasms sweating vomiting	
Impl Comfort POC		done			done	
Abnorm findings		pain recvAnalgesicTx			pain	
Pain score		8 Numeric Value			6 Numeric Value	
Pt relief goal		0-3			0-3	
Pain onset		ongoing			ongoing	
Pain description		constant			constant	
Pain site(s)		abdomen			abdomen &	
Comfort interv		meds (see MAR)			diversion emotional supprt relaxation repositioned	
Pain Reassess Scor	- sleeping		7 Numeric Value			
Pt Goal Reassess			0-3			
Reassess Comf Int			relaxation			
05/03/11 19:20 Pain site(s) (TMM2): posterior neck						
Nutrition	16:33	18:18	18:54	18:59	19:20	
Meal type					clear liquid	
Dinner amt				0%		
Vascular Access	16:33	18:18	18:54	18:59	19:20	
Site#1 location					forearm L	
Insertion date					04/30/2011	
Gauge					#20	
Site#1 appear					secure	
Site#1 dressing					dry and intact	
Interventions					meds (see MAR)	
Safety	16:33	18:18	18:54	18:59	19:20	
Hospital ID band					on	
Call System access					done	
Bed in low posit					done	
Bed brakes on					done	
Bed alarms on					done	
Bed press relief					on	
CARE PROVIDERS	COSO	COSO	COSO	JTC	RPGO TMM2	

CARSON, JOHN T(JTC)CA
 SLUSARSKI, COREY(COSO)RN

GOLUB, RACHEL P(RPGO)RN

MARTUCCI, TIFFANY(TMM2)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
 ROOM: *1070-A*

Page: 66

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 67

Flowsheet	05/03				
Safety Cont.	16:33	18:15	18:54	18:59	19:20
IV sol verified					done
IV tbg/bag dated					done
High alertMedsID					done
Imple SafetyPOC					done
Fall Risk	16:33	18:15	18:54	18:59	19:20
HxFall(w/in 3mo)					no=0
Secondary dx					no=0
Ambulatory aid					w/c NrsAssist=0
IV or IV access					yes=20
Gait					WeakUsesTouch=10
Mental status					OvrestFrgtLmt=15
Total score					45
Imple SafetyPOC					done
Intact Incision	16:33	18:15	18:54	18:59	19:20
Incision#1 site					abdomen
Incision#1 type					staples
Incision#1 drAmt					none
Surrounding skin					intact
Incision#1 dsg					open to air
Misc Events	16:33	18:15	18:54	18:59	19:20
Evaluated POC					done
Misc events					&
Misc events					&
05/03/11 19:20 Misc events(TMM2): Assumed patient care, assessment as noted. Patient showing signs of anxiety, states 6/10 pain rating. POC and medication dosing schedule explained to patient. Patient stated understanding, Call bell in reach, will continue to monitor.					
05/03/11 19:20 Misc events(RPGO): agree with above assessment. call light in reach. will continue to monitor for comfort and safety.					
CARE PROVIDERS					RPGO TMM2
GOLUB, RACHEL P(RPGO)RN MARTUCCI, TIFFANY(TMM2)RN					

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 67

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/29/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 68

FLWSHEET	05/03				
Misc Events	21:30	21:45	21:50	22:06	23:45
Misc events	&	&	&	&	&
Misc events		&	&		&
05/03/11 21:30 Misc events(TMM2): Patient showing signs of pain, states painful, medication for pain offered and patient refused at this time. States he will call if medication needed.					
05/03/11 21:45 Misc events(RPGO): Pt mother came to RN station stating that no one had come to room when pt called for temperature to be changed. Secretary attempted to explain that she had called control room for temp to be changed, however mother yelling at secretary "I wasnt					
05/03/11 21:45 Misc events(RPGO): talking to you!" Charge RN Megan S. states will come to room to try to help change temperature. Megan to room with pt mother.					
05/03/11 21:50 Misc events(MEGS): I followed pt. mother to room, where she closed the door, to try and adjust temp from thermostat, mother continued to approach and speak to me in a threatening manner, She continued in this manner for approximately 5 min.					
05/03/11 21:50 Misc events(MEGS): I tried to answer her questions and calm her down but she continued to raise her voice in a threatening tone, addressed pt. neck pain and explained that to mother that he denied pain meds, I then fixed his SCDs and exited the room.					
05/03/11 22:06 Misc events(MEGS): pt. states that he wants pain meds that go through his J tube, when offered 15mg Oxycodone elixir he stated that he only wanted 5mg, 5mg Oxycodone administered per J tube, will continue to monitor for safety					
05/03/11 23:45 Misc events(RPGO): Pt mother entered floor states going to pt room. Charge RN Megan Stone asked if mother aware of visiting policy as now 2 guests in room past visiting hours. Mother very rudely addressed Charge stating that she already knows the policy and continued					
05/03/11 23:45 Misc events(RPGO): to pt room. Charge RN on phone w/ HSC at the time, HSC aware of escalating tone.					
CARE PROVIDERS	TMM2	RPGO	MEGS	MEGS	RPGO
GOLUB, RACHEL P(RPGO)RN		MARTUCCI, TIFFANY(TMM2)RN		STONE, MEGAN E(MEGS)RN	

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 68

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 69

FLWSHEET	05/03	05/04			
Comfort	23:50	00:00	00:15	01:07	02:05
Abnorm findings				pain recvAnalgesicTx	
Pain score				5 Numeric Value	
Pt relief goal				<4	
Pain onset				ongoing	
Pain description				constant cramping	
Pain site(s)				abdomen	
Comfort interv				emotional supprt meds (see MAR) relaxation repositioned	
Misc Events	23:50	00:00	00:15	01:07	02:05
Misc events		&	&	&	&
Misc events			&	&	
05/03/11 23:50 Misc events(RPGO): Mother called desk for assistance in room, when entered room mother upset that it took staff over 1 min to enter room. Mother speaking very loudly to staff and states family will be bringing pillow for pt and that she will spend night w/ pt.					
05/04/11 00:00 Misc events(RPGO): HSC made aware of mother spending night with pt and threatening tone she has taken w/ staff, HSC advised for security to come to floor for implementation of safety plan for staff and pt. Security arrived to floor and asked for HSC presence. HSC Angela on					
05/04/11 00:00 Misc events(RPGO): floor to discuss w/ family safety policy.					
05/04/11 00:15 Misc events(RPGO): HSC angela, security and Charge RN Megan S. to room addressing mother about threatening tone toward staff and verbal aggression. Angela HSC explained to mother that she may not speak to staff in this manner and if it continues she will be escorted off th					
05/04/11 00:15 Misc events(RPGO): the floor. Mother continued to argu w/ HSC but states understanding.					
05/04/11 01:07 Misc events(RPGO): Pt requesting pain medication, asked pt if he would like 5mg again or up to 15mg. Pt requesting 5mg only at this time. admistered med per pt request. will continue to monitor					
05/04/11 02:05 Misc events(TMM2): patient requesting to get OOB to wheelchair. States "I want to be wheeled around in the halls" Staff assisted patient OOB, disconnected tube feeding, IV fluids and helped into the wheel chair. Patients mother pushed him down hall.					
CARE PROVIDERS	RPGO	RPGO	RPGO	RPGO	TMM2
GOLUB, RACHEL P(RPGO)RN		MARTUCCI, TIFFANY(TMM2)RN			

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 69

PERM

GOODWIN, DAVON
MUSC
24Hour Adult Patient Record
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 19Y - SEX M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 70

FLOWSHEET		05/04			
Chart Review	02:08	04:04	04:50	06:38	08:42
Chart check q12h					
Comfort	02:08	04:04	04:50	06:38	08:42
Pain score		8 Numerio Value			8 Numerio Value
Pt relief goal		0-4			
Pain onset		ongoing			
Pain description		constant cramping			throbbing
Pain site(s)		&			neck shoulder R
Comfort Interv		emotional supprt meds (see MAR) relaxation			meds (see MAR)
PainReassessScor			- sleeping		
05/04/11 04:04 Pain site(s)(TMM2): abdomen					
Misc Events	02:08	04:04	04:50	06:38	08:42
Misc events		&			&
Misc events		&			
05/04/11 02:08 Misc events(TMM2): Patient rang call bell minutes later stating he wanted to be put back to bed and staff responded. Patient was in bed as staff entered the room. IV fluids, tube feed and drains were reconnected, bed in low position, call bell in reach, will continue					
05/04/11 02:08 Misc events(TMM2): to monitor per MUSC policy.					
05/04/11 06:38 Misc events(TMM2): Patient requesting muscle relaxers as promised by MD. No orders pending at this time, will page MD to inquire.					
CARE PROVIDERS	TMM2	TMM2	TMM2	TMM2	TMM2

MARTUCCI, TIFFANY(TMM2)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
ROOM: *1070-A*

Page: 70

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 71

FLWSHEET	05/04				
Chart Review	07:02	08:08	08:16	08:20	08:25
Chart check q12h	done				
Misc Events	07:02	08:08	08:16	08:20	08:25
Mayday					seeMaydayRecord
Misc events		(modified)	&	&	&
Misc events					
05/04/11 08:06 Misc events(MKW): pt up to chair, Oxygen at 2l n/c started. Heat packs to neck and shoulder r/t c/o muscle pain and discomfort.					
05/04/11 08:16 Misc events(MKW): pt called to get back in bed.					
05/04/11 08:20 Misc events(MKW): PCT John in room, pt not responsive, nurse called.					
05/04/11 08:25 Misc events(MKW): pt unresponsive, called charge nurse and mayday, lifted pt back to bed, started CPR.					
CARE PROVIDERS	TMM2, {MKWI}	MKW	MKW	MKW	MKW
MARTUCCI, TIFFANY(TMM2)RN		WITTENMEYER, MARIANNE K(MKW)RN			

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A* Page: 71

PERM

GOODWIN, DAVON
 MUSC
 24Hour Adult Patient Record
 FROM: 04/28/11 02:56 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 72

FLWSHEET	05/04				
Misc Events	08:26	09:20	09:21	09:50	11:45
Critical lab res			&	&	
Lifepoint called					done
Date/time call					05/04/2011 11:45
Call made by					&
LifepointContact					&
Misc events	&	&			
Misc events	&				
05/04/11 08:26 Misc events(MKW): Mayday team at bedside, labs drawn, Femoral IV access started.					
05/04/11 08:26 Misc events(MKW): Mayday team took over, paper charting started					
05/04/11 09:20 Misc events(MKW): dr. Montgomery at bedside with family					
05/04/11 09:21 Critical lab res(JSTO): Sharon Dean from lab called for a critical Potassium of 8.5.					
05/04/11 09:50 Critical lab res(MKW): Trinidad from lab called, platelet count clumps in lab smear, lab cancelled					
05/04/11 11:45 Call made by(JSTO): Jessica Stout, RN					
05/04/11 11:45 LifepointContact(JSTO): Abbi Reed					
CARE PROVIDERS	MKW	MKW	JSTO	MKW	JSTO
STOUT, JESSICA A(JSTO)RN		WITTENMEYER, MARIANNE K(MKW)RN			

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - 24Hour Adult Patient Record
 ROOM: *1070-A* Page: 72

PERM

GOODWIN, DAVON	
MUSC	
24Hour Adult Patient Record	
FROM: 04/28/11 02:55 TO: 05/04/11 16:07	
ROOM: *1070-A* ADM: 04/28/11 03:18	
AGE 18Y SEX M : LEON, STUART M	
DOB: [REDACTED]	ID: 495654253 MR: 002657049
ACQUIRED: 05/05/11 00:06	
OPT OUT:	
Page: 73	

FLWSHEET	05/04
Misc Events	12:47 13:45
Misc events	& &
05/04/11 12:47 Misc events(JSTO): Lifepoint called to say they released the body.	
05/04/11 13:45 Misc events(MKWI): Patients body to morgue	
CARE PROVIDERS	JSTO MKWI

STOUT, JESSICA A(JSTO)RN

WITTENMEYER, MARIANNE K(MKWI)RN

LAST PAGE

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - 24Hour Adult Patient Record
 ROOM: *1070-A* Page: 73

PERM

Patient: GOODWIN, DAVON A

MRN: 725008

Encounter: 495654253

Page 73 of 73

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/20/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUIREMENTS: 05/05/11 00:00
OPT OUT:

Page: 1

FLWSHEET	04/26							
Vital Signs	05:15	05:30	05:45	06:05	06:15	06:29	06:30	06:45
Temp in C	36.6C Rectal	36.6C			36.1C		36.0C	36.0C
PULSE	87	81	86	93	88		96	92
RESPIRATIONS	5 Visual		23	18	18		16	18
Vent resp	18							
O2 SAT %	100% O2			100% O2				
O2 flow	40 Percent							
O2 equipment	Vent							
BP (NIBP)	94/48							
BP mean (NIBP)	60							
Arterial BP	119/55	126/46	121/52	108/62	108/66		89/63	119/53
Arterial mean	69	63	71	75	78		73	68
Glucose fs				273mg/dL				
Insulin muliptr				.03				
RASS	-3			-3				
CAM-ICU	Ngatve							
PAIN LEVEL	1 Wongbk			3				
WEIGHT in Kg	94.5kg							
Glucose	05:15	05:30	05:45	06:05	06:15	06:29	06:30	06:45
GLUCOSE PCX, WHO		212 H				273 H		
Medicated Drops	05:15	05:30	05:45	06:05	06:15	06:29	06:30	06:45
Fentanyl				50mcg/hr				
Insulin				6.39units/hr				
Propofol				30mcg/kg/min				
I&O SUMMARY	05:15	05:30	05:45	06:05	06:15	06:29	06:30	06:45
Intake Total	1003			2028.39				
Output Total	75			100				
NET	928			1928.39				
INTAKE	05:15	05:30	05:45	06:05	06:15	06:29	06:30	06:45
InvasiveLineFish	3			3				
Lactated Ringers	1000			2000				
Fentanyl				1				
Insulin				6.39				
Propofol				18				
Intake Total	1003			2028.39				
CARE PROVIDERS	MEAS	SID SMT5	SMT5	SMT5	SMT5	SID	SMT5	SMT5

ASKEA, MEAGHAN E(MEAS)RN

CC SYSTEM, ID(SID)

MCGLYNN, STEPHANIE(SMT5)RN

Ngatve negative
Percent percent

Rectal rectal
Vent ventilator

Visual visual
Wongbk Wong-Baker (face)

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 1

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 2

FLWSHEET	04/26							
OUTPUT	05:15	05:30	05:45	06:05	06:15	06:28	06:30	06:45
Foley cath	25			100				
NG tube	&							
Wound drn #1	50&							
Output Total	75			100				

04/26/11 05:15 NG tube(MEAS): R nare

04/26/11 05:15 Wound drn #1 (MEAS): to wall suction

CARE PROVIDERS	MEAS		SMT5					

ASKEA, MEAGHAN E(MEAS)RN

MCGLYNN, STEPHANIE(SMT5)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 2

PERM

GOODWIN, DAVON
 MUSC
 Vital Signs, I&O
 FROM: 04/26/11 02:56 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MRI: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 3

FLOWSHEET		04/26						
Vital Signs	06:50	07:00	07:24	08:00	08:02	08:05	10:00	
Temp in C		(35.9C)		35.9C		36.3C		
PULSE		87		94		87		
RESPIRATIONS		18		18		18		
Vent resp				18		18		
O2 SAT %		100% O2		100% O2		100%		
Arterial BP		137/66		142/68		155/76		
Arterial mean		83		86		95		
Glucose fs		185mg/dL		186mg/dL		85mg/dL		
Insulin mulriplr		0.04		.04		.04		
PAIN LEVEL				0 App		0 App		
04/26/11 07:00 Temp in C(SMT5): Bear hugger placed on pt.								
Glucose	06:50	07:00	07:24	08:00	08:02	08:05	10:00	
GLUCOSE PCX, WHO			185 H		85			
Medicated Drips	06:50	07:00	07:24	08:00	08:02	08:05	10:00	
Fentanyl		50mcg/hr		50mcg/hr		100mcg/hr		
Insulin		8.5units/hr		8.5units/hr		.8units/hr		
Propofol		30mcg/kg/min		30mcg/kg/min		20mcg/kg/min		
I&O SUMMARY	06:50	07:00	07:24	08:00	08:02	08:05	10:00	
Intake Total	0	1630.5		178.4		795.5	50	
Output Total		1050		960		325		
NET	0	580.5		-781.6		470.5	50	
INTAKE	06:50	07:00	07:24	08:00	08:02	08:05	10:00	
IVPB							50	
InvasiveLineFlsh		3		3		3		
Lactated Ringers	0	1000		150		150		
Fentanyl	0	1		1		2		
Insulin	0	8.5		6.4		8.5		
Propofol	0	18		18		12		
FFP		600				620		
Intake Total	0	1630.5		178.4		795.5	50	
OUTPUT	06:50	07:00	07:24	08:00	08:02	08:05	10:00	
Foley cath		150		60		125		
NG tube				300				
Wound drn #1		900		600&		200		
Output Total		1050		960		325		
04/26/11 08:00 Wound drn #1(LAA): Dr perry aware								
CARE PROVIDERS	SMT5	SMT5	SID	LAA	SID	LAA	LAA	
ADAMS, LESLIE A(LAA)RN App AssumePainPreent			CC SYSTEM, ID(SID)			MCGLYNN, STEPHANIE(SMT5)RN		

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Vital Signs, I&O
 ROOM: *1070-A*

Page: 3

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 49Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 4

FLWSHEET	04/26						
Vital Signs	10:01	10:05	10:25	11:01	11:10	11:58	12:00
Temp in C		36.7C			37.0C		37.2C
PULSE		91			95		97
RESPIRATIONS		18			18		18
Vent resp		18			18		18
O2 SAT %		100%			100%		100%
BP (NIBP)		114/62			121/58		
BP mean (NIBP)		75			70		
Arterial BP		128/64			134/52		142/64
Arterial mean		81			73		83
Glucose fs		55mg/dL&			88mg/dL		90mg/dL
Insulin multiplr		.03			.02		.01
PAIN LEVEL		0 App			0 App		0 App
04/26/11 10:05 Glucose fs(LAA): D50 given as per protocol							
Glucose	10:01	10:05	10:25	11:01	11:10	11:58	12:00
GLUCOSE PCX, WHO	55 L		97	88		90	
Medicated Drips	10:01	10:05	10:25	11:01	11:10	11:58	12:00
Fentanyl		100mcg/hr			100mcg/hr		125mcg/hr
Insulin		&			.3units/hr		.2units/hr
Propofol		20mcg/kg/min			12mcg/kg/min		60mcg/kg/min
04/26/11 10:05 Insulin(LAA): off as per protocol							
I&O SUMMARY	10:01	10:05	10:25	11:01	11:10	11:58	12:00
Intake Total		417.8			417.7		441.8
Output Total		30			120		620
NET		387.8			297.7		-178.2
INTAKE	10:01	10:05	10:25	11:01	11:10	11:58	12:00
InvasiveLineFish		3			3		3
Lactated Ringers		150			150		150
OTHER PRIMARY IV		250&			250		250
Fentanyl		2			2		2.5
Insulin		0.8			0.7		0.3
Propofol		12			12		36
Intake Total		417.8			417.7		441.8
04/26/11 10:05 OTHER PRIMARY IV(LAA): cc for cc wound vac replacement							
OUTPUT	10:01	10:05	10:25	11:01	11:10	11:58	12:00
Foley cath		30			120		120
NG tube							0
Wound drn #1							500
Output Total		30			120		620
CARE PROVIDERS	SID	LAA	SID	SID	LAA	SID	LAA

ADAMS, LESLIE A(LAA)RN
App AssumePainPresent

CC SYSTEM, ID(SID)

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 4

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB [REDACTED] ID: 495654253 MR: 002657049
ACQUIRED: 05/05/11 00:06
OPT OUT:

Page: 5

Flowsheet	04/26						
Vital Signs	12:32	13:12	13:14	13:28	14:00	14:10	14:15
Temp in C			37.7C			38.0C	
PULSE			107			110	
RESPIRATIONS			18			19	
O2 SAT %			100% O2				
BP (NIBP)						127/71	
BP mean (NIBP)						82	
Arterial BP			140/75				
Arterial mean			82				
Glucose fs			104mg/dL			115mg/dL	
Insulin multiplr			.005			.005	
PAIN LEVEL			0 Wongbk			0 App	
Glucose	12:32	13:12	13:14	13:28	14:00	14:10	14:15
GLUCOSE PCX, WHO		104 H					115 H
Medicated Drops	12:32	13:12	13:14	13:28	14:00	14:10	14:15
Fentanyl			125mcg/hr			125mcg/hr	
Insulin			.2units/hr			1.1units/hr	
Propofol			80mcg/kg/min			80mcg/kg/min	
I&O SUMMARY	12:32	13:12	13:14	13:28	14:00	14:10	14:15
Intake Total	0		316.7	0	50	316.7	
Output Total			35			270	
NET	0		281.7	0	50	46.7	
INTAKE	12:32	13:12	13:14	13:28	14:00	14:10	14:15
IVPB					50		
InvasiveLineFish			3			3	
Lactated Ringers	0		150	0		150	
OTHER PRIMARY IV			125			125	
Fentanyl			2.5			2.5	
Insulin			0.2			0.2	
Propofol	0		36	0		36	
Intake Total	0		316.7	0	50	316.7	
OUTPUT	12:32	13:12	13:14	13:28	14:00	14:10	14:15
Foley cath			35			120	
NG tube						150	
Output Total			35			270	
CARE PROVIDERS	LAA	SID	LAA	LAA	LAA	LAA	SID

ADAMS, LESLIE A(LAA)RN

CC SYSTEM, ID(SID)

App AssumePainPresent

Wongbk Wong-Baker(face)

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 5

FORM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/26/11 02:56 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MFI: 002657049
ACQU: 04/26/11 00:00
OPT OUT:
Page: 6

Flowsheet	04/26							
Vital Signs	14:45	14:52	14:54	16:05	16:08	16:39	17:10	17:56
Temp in C	38.2C		38.2C	38.1C			37.9C	
PULSE	112		112	98			94	
RESPIRATIONS	18		17	18			18	
Vent resp				18			18	
O2 SAT %	100%		100%	100% O2			100% O2	
BP (NIBP)	84/49&			105/48				
BP mean (NIBP)	58			61				
Arterial BP	84/57						114/57	
Arterial mean	65						73	
Glucose fs	139mg/dL			86mg/dL				
Insulin multiplr	.02			.02			.02	
PAIN LEVEL	0 App			0 App			0 App	
04/26/11 14:45 BP (NIBP)(LAA): Labs sent early								
Glucose	14:45	14:52	14:54	16:05	16:08	16:39	17:10	17:56
GLUCOSE PCX, WHO		139 H			86			101 H
Medicated Drips	14:45	14:52	14:54	16:05	16:08	16:39	17:10	17:56
Fentanyl	125mcg/hr			125mcg/hr			125mcg/hr	
Insulin	2.4units/hr			.5units/hr			.5units/hr	
Propofol	40mcg/kg/min			&			30mcg/kg/min	
04/26/11 16:05 Propofol(LAA): on hold due to low BP								
I&O SUMMARY	14:45	14:52	14:54	16:05	16:08	16:39	17:10	17:56
Intake Total	180.6			294.9		1000	274	
Output Total	35			755			100	
NET	145.6			-460.1		1000	174	
INTAKE	14:45	14:52	14:54	16:05	16:08	16:39	17:10	17:56
InvasiveLineFlsh	3			3			3	
Lactated Ringers	150			150		1000	150	
OTHER PRIMARY IV				125			100	
Fentanyl	2.5			2.5			2.5	
Insulin	1.1			2.4			0.5	
Propofol	24			12			18	
Intake Total	180.6			294.9		1000	274	
OUTPUT	14:45	14:52	14:54	16:05	16:08	16:39	17:10	17:56
Foley cath	35			115			100	
NG tube				240				
Wound drn #1				400				
Output Total	35			755			100	
CARE PROVIDERS	LAA	SID	LAA	LAA	SID	LAA	LAA	SID

ADAMS, LESLIE A(LAA)RN
App AssumePainPresent

CC SYSTEM, ID(SID)

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Vital Signs, I&O
ROOM: *1070-A*

Page: 6

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55, TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 7

FLWSHEET	04/26						
Vital Signs	18:00	18:04	18:41	18:44	18:46	18:47	18:58
Temp in C	37.8C					37.8C	
PULSE	98					95	
RESPIRATIONS	18					18	
Vent resp	18					18	
O2 SAT %	100% O2					100% O2	
BP (NIBP)						102/51	
BP mean (NIBP)						64	
Arterial BP	115/58					93/48	
Arterial mean	73					81	
Glucose fs	101mg/dL					104mg/dL	
Insulin multipl	.02					.02	
PAIN LEVEL	0 App					0 App	
Glucose	18:00	18:04	18:41	18:44	18:46	18:47	18:58
GLUCOSE PCX, WHO				104 H			
Medicated Drips	18:00	18:04	18:41	18:44	18:46	18:47	18:58
Fentanyl	125mcg/hr					125mcg/hr	
Insulin	.8units/hr					.9units/hr	
Propofol	18mcg/kg/min					30mcg/kg/min	
I&O SUMMARY	18:00	18:04	18:41	18:44	18:46	18:47	18:58
Intake Total	274	0	0		0	274.3	250
Output Total	100					100	
NET	174	0	0		0	174.3	250
INTAKE	18:00	18:04	18:41	18:44	18:46	18:47	18:58
IVPB							
InvasiveLineFish	3					3	
Lactated Ringers	150	0			0	150	250
OTHER PRIMARY IV	100					100	
Fentanyl	2.5		0			2.5	
Insulin	0.5					0.8	
Propofol	18	0				18	
Intake Total	274	0	0		0	274.3	250
OUTPUT	18:00	18:04	18:41	18:44	18:46	18:47	18:58
Foley cath	100					100	
NG tube							
Wound drn #1							
Output Total	100					100	
CARE PROVIDERS	LAA	LAA	LAA	SID	LAA	LAA	LAA

ADAMS, LESLIE A(LAA)RN
App AssumePainPresent

CC SYSTEM, ID(SID)

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 7

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 8

FLWSHEET	04/26					
Vital Signs	19:40	19:41	19:53	21:00	21:02	22:06
Temp in C	37.5C			37.6C		37.6C
PULSE	96			98		95
RESPIRATIONS	19			18		9
O2 SAT %		100% O2		100% O2		100% O2
BP (NIBP)	103/59					
BP mean (NIBP)	66					
Arterial BP	93/50			106/53		106/52
Arterial mean	62			67		67
Glucose fs			117mg/dL	95mg/dL		87mg/dL
PAIN LEVEL	0 Wongbk			0 Wongbk		0 Wongbk
Glucose	19:40	19:41	19:53	21:00	21:02	22:06
GLUCOSE POX, WHO			117 H		95	87
Medicated Drips	19:40	19:41	19:53	21:00	21:02	22:06
Fentanyl	125mcg/hr					
Insulin	.9units/hr			1.7units/hr		.7units/hr
Propofol	30mcg/kg/min			20mcg/kg/min		
I&O SUMMARY	19:40	19:41	19:53	21:00	21:02	22:06
Intake Total	249.4			294.2		293.2
Output Total	800			175		100
NET	-550.6			119.2		193.2
INTAKE	19:40	19:41	19:53	21:00	21:02	22:06
IVPB	50					
InvasiveLineFlsh	3			3		3
Lactated Ringers	175			275		275
Fentanyl	2.5			2.5		2.5
Insulin	0.9			1.7		0.7
Propofol	18			12		12
Intake Total	249.4			294.2		293.2
OUTPUT	19:40	19:41	19:53	21:00	21:02	22:06
Foley cath	200			175		100
NG tube	100					
Wound drn #1	(500)					
Output Total	800			175		100
CARE PROVIDERS	JLP	JLP	SID JLP	JLP	SID	SID JLP

CC SYSTEM, ID(SID)
Wongbk Wong-Baker(face)

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Vital Signs, I&O
ROOM: *1070-A*

Page: 8

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:19
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 9

FLWSHEET	04/26		04/27					
Vital Signs	23:05	23:15	00:00	00:05	01:17	02:05	02:15	
Temp in C	37.6C		37.8C			38.0C		
PULSE	98		100			107		
RESPIRATIONS	19		18			18		
O2 SAT %	100% O2		100% O2			100%		
Arterial BP	121/57		121/56			116/66		
Arterial mean	74		73			80		
Glucose fs	104mg/dL		97mg/dL			150mg/dL		
PAIN LEVEL	0 Wongbk		0 Wongbk			0 Wongbk		
Glucose	23:05	23:15	00:00	00:05	01:17	02:05	02:15	
GLUCOSE PCX, WHO		104 H		97	88		150 H	
Medicated Drips	23:05	23:15	00:00	00:05	01:17	02:05	02:15	
Insulin	.3units/hr		.3units/hr			.3units/hr		
Propofol	25mcg/kg/min							
I&O SUMMARY	23:05	23:15	00:00	00:05	01:17	02:05	02:15	
Intake Total	295.8		295.8			345.8		
Output Total	100		550			50		
NET	195.8		-254.2			295.8		
INTAKE	23:05	23:15	00:00	00:05	01:17	02:05	02:15	
IVPB						50		
InvasiveLineFish	3		3			3		
Lactated Ringers	275		275			275		
Fentanyl	2.5		2.5			2.5		
Insulin	0.3		0.3			0.3		
Propofol	15		15			15		
Intake Total	295.8		295.8			345.8		
OUTPUT	23:05	23:15	00:00	00:05	01:17	02:05	02:15	
Foley cath	100		50			50		
NG tube			100					
Wound drn #1			400					
Output Total	100		550			50		
CARE PROVIDERS	JLP	SID	JLP	SID	SID	JLP	SID	

CC SYSTEM, ID(SID)
Wongbk Wong-Baker(face)

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 9

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/26/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 10

FLWSHEET	04/27							
Vital Signs	03:10	03:25	04:00	04:11	05:10	05:28	06:10	06:16
Temp in C	38.0C		37.9C		37.9C		38.1C	
PULSE	108		108		105		108	
RESPIRATIONS	18		8				18	
Vent resp								
O2 SAT %	100%		100%		100%		100% O2	
Arterial BP	118/63		118/55		114/51		113/58	
Arterial mean	78		72		69		74	
Glucose fs	113mg/dL						92mg/dL	
PAIN LEVEL	0 Wongbk		0 Wongbk		0 Wongbk		0 Wongbk	
Glucose	03:10	03:25	04:00	04:11	05:10	05:28	06:10	06:16
GLUCOSE PCX, WHO		113 H		86				92
Medicated Drips	03:10	03:25	04:00	04:11	05:10	05:28	06:10	06:16
Fentanyl								
Insulin	1.8units/hr		1.8units/hr		1units/hr		1units/hr	
Propofol								
I&O SUMMARY	03:10	03:25	04:00	04:11	05:10	05:28	06:10	06:16
Intake Total	297.3		297.3		271.5	0	271.5	
Output Total	75		500		100		100	
NET	222.3		-202.7		171.5	0	171.5	
INTAKE	03:10	03:25	04:00	04:11	05:10	05:28	06:10	06:16
InvasiveLineFish	3		3		3		3	
Lactated Ringers	275		275		250		250	
Fentanyl	2.5		2.5		2.5		2.5	
Insulin	1.8		1.8		1		1	
Propofol	15		15		15	0	15	
Intake Total	297.3		297.3		271.5	0	271.5	
OUTPUT	03:10	03:25	04:00	04:11	05:10	05:28	06:10	06:16
Foley cath	75		100		100		100	
NG tube			100					
Wound drn #1			300					
Output Total	75		500		100		100	
CARE PROVIDERS	JLP	SID	JLP	SID	JLP	JLP	JLP	SID

CC SYSTEM, ID(SID)
Wongbk Wong-Baker(face)

PETERSON, JENNIFER L(JLP)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 10

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUIREMENTS: 05/05/11 00:00
OPT OUT:

Page: 11

FLWSHEET	04/27					
Vital Signs	07:25	11:30	11:37	12:00	12:37	12:49
Temp in C	38.2C	37C		37.0C		
PULSE	106	105		107		
RESPIRATIONS				19		
Vent resp	18	18				
O2 SAT %	100% O2	100%		100%		
BP (NIBP)		131/95		130/55		
BP mean (NIBP)		103		71		
Arterial BP	118/64					
Arterial mean	79					
Glucose fs	92mg/dL	164mg/dL				
Insulin mulriplr		.03				
RASS		0				
CAM-ICU		Ngatve				
PAIN LEVEL	4 App	3 App		3 App		
Glucose	07:25	11:30	11:37	12:00	12:37	12:49
GLUCOSE PCX, WHO			164 H			97
Medicated Drips	07:25	11:30	11:37	12:00	12:37	12:49
Fentanyl	125mcg/hr	125mcg/hr		125mcg/hr		
Insulin	.2units/hr	3.1 units/hr		3.2 units/hr		
Propofol	25mcg/kg/min	25mcg/kg/min		25mcg/kg/min		
I&O SUMMARY	07:25	11:30	11:37	12:00	12:37	12:49
Intake Total	270.7	270.6		270.7	0	
Output Total	100	125		50		
NET	170.7	145.6		220.7	0	
INTAKE	07:25	11:30	11:37	12:00	12:37	12:49
InvasiveLineFish	3					
D5W/NaCl.45%+KCl					0	
Lactated Ringers	250	250		250	0	
Fentanyl	2.5	2.5		2.5		
Insulin	0.2	3.1		3.2		
Propofol	15	15		15		
Intake Total	270.7	270.6		270.7	0	
OUTPUT	07:25	11:30	11:37	12:00	12:37	12:49
Foley cath	100	125		50		
G-tube						
Output Total	100	125		50		
CARE PROVIDERS	BCAR	BCAR	SID	BCAR	BCAR	SID

CARPENTER, BROOKE(BCAR) RN CC SYSTEM, ID(SID)
App AssumePainPresent Ngatve negative

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Vital Signs, I&O
ROOM: *1070-A*

Page: 11

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REGULATED: 05/05/11 00:00
OPT OUT:

Page: 12

FLWSHEET	04/27					
Vital Signs	13:00	13:02	14:00	14:57	15:00	15:53
Temp in C	37.0C		36.9C		37.1C	
PULSE	95		103		104	
RESPIRATIONS					18	
Vent resp	18		18		18	
O2 SAT %	100%		100% O2		100% O2	
BP (NIBP)	112/52		114/66		118/67	
BP mean (NIBP)	65		72		79	
Arterial BP	106/58		105/60		94/61	
Arterial mean	71		72		72	
Glucose fs	97mg/dL		151mg/dL		161mg/dL	
Insulin multiplr	.03		.03		.04	
RASS					0	
CAM-ICU					Negative	
PAIN LEVEL	3 App		3 App		3 App	
CO			5.9		6.4	
CI			2.9		3.1	
SVI			27		29	
SVV			18		22	
Glucose	13:00	13:02	14:00	14:57	15:00	15:53
GLUCOSE PCX, WHO			151 H	161 H		158 H
Medicated Drips	13:00	13:02	14:00	14:57	15:00	15:53
Fentanyl	100mcg/hr		100mcg/hr		100mcg/hr	
Insulin	.7units/hr		2.7units/hr		4units/hr	
Propofol	15mcg/kg/min		15mcg/kg/min		25mcg/kg/min	
I&O SUMMARY	13:00	13:02	14:00	14:57	15:00	15:53
Intake Total	1138.7	0	140.7		1149	
Output Total	140		100		55	
NET	998.7	0	40.7		1094	
INTAKE	13:00	13:02	14:00	14:57	15:00	15:53
InvasiveLineFlsh	3		3		3	
D5W/NaCl.45%+KCl	125		125		125	
Lactated Ringers	1000				1000	
Fentanyl	2	0	2		2	
Insulin	0.7		2.7		4	
Propofol	8		8		15	
Intake Total	1138.7	0	140.7		1149	
CARE PROVIDERS	BCAR	BCAR	SID BCAR	SID	BCAR	SID

CARPENTER, BROOKE (BCAR) RN CC SYSTEM, ID (SID)
App AssumePainPresent Negative negative

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 12

PERM

GOODWIN, DAVON
 MUSC
 Vital Signs, I&O
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 13

FLWSHEET	04/27					
OUTPUT	13:00	13:02	14:00	14:57	15:00	15:53
Foley cath	100		100		50	
NG tube						
G-tube	40				6	
JP #1						
JP #2						
Wound drn #1						
Output Total	140		100		55	
CARE PROVIDERS	BCAR		BCAR		BCAR	

CARPENTER, BROOKE(BCAR)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Vital Signs, I&O
 ROOM: *1070-A*

Page: 13

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 03:18
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 06/05/11 00:06
OPT OUT:

Page: 14

FLWSHEET	04/27						
Vital Signs	16:00	16:48	16:59	17:00	18:00	18:15	18:54
Temp in C	37.2C			37.2C	37.4C		
PULSE	98			98	105		
RESPIRATIONS	16			18			
Vent resp	18			18	18		
O2 SAT %	100% O2			100% O2	100% O2		
BP (NIBP)	118/69				112/59		
BP mean (NIBP)	77				71		
Arterial BP	105/59			130/69	116/60		
Arterial mean	73			87	75		
Glucose fs	158mg/dL			138mg/dL	122mg/dL		
Insulin multiplr	.05			.06	.07		
RASS	0				0		
CAM-ICU	Ngatve				Ngatve		
PAIN LEVEL	3 App			3 App	3 App		
CO				9.3	9.8		
CI				4.5	4.7		
SVI	48			48	45		
SVV	19			17	17		
Glucose	16:00	16:48	16:59	17:00	18:00	18:15	18:54
GLUCOSE PCX, WHO			138 H			122 H	117 H
Medicated Drips	16:00	16:48	16:59	17:00	18:00	18:15	18:54
Fentanyl	100mcg/hr			100mcg/hr	100mcg/hr		
Insulin	4.9units/hr			4.7units/hr	4.3units/hr		
Propofol	25mcg/kg/min			25mcg/kg/min	25mcg/kg/min		
I&O SUMMARY	16:00	16:48	16:59	17:00	18:00	18:15	18:54
Intake Total	149.9	0		159.7	159.3		
Output Total	330			75	63		
NET	-180.1	0		84.7	96.3		
INTAKE	16:00	16:48	16:59	17:00	18:00	18:15	18:54
InvasiveLineFish	3			3	3		
D5W/NaCl.45%+KCl	125			125	125		
Lactated Ringers		0		10	10		
Fentanyl	2			2	2		
Insulin	4.9			4.7	4.3		
Propofol	15			15	15		
Intake Total	149.9	0		159.7	159.3		
CARE PROVIDERS	BCAR	BCAR	SID	BCAR	BCAR	SID	SID

CARPENTER, BROOKE(BCAR)RN
App AssumePainPresent Ngatve negative

CC SYSTEM, ID(SID)

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 14

PERM

GOODWIN, DAVON
 MUSC
 Vital Signs, I&O
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 15

FLWSHEET	04/27						
OUTPUT	16:00	16:45	16:58	17:00	18:00	18:15	18:54
Foley cath	45			75	60		
NG tube	100						
G-tube	7				3		
JP #1	28&						
JP #2	100&						
Wound drn #1	50&						
Output Total	330			75	63		
04/27/11 16:00 JP #1(BCAR): pancreas							
04/27/11 16:00 JP #2(BCAR): duodenal							
04/27/11 16:00 Wound drn #1(BCAR): duodenostomy-wall suction							
CARE PROVIDERS	BCAR			BCAR	BCAR		

CARPENTER, BROOKE(BCAR)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Vital Signs, I&O
 ROOM: *1070-A*

Page: 15

PERM

GOODWIN, DAVON
 MUSC
 Vital Signs, I&O
 FROM: 04/26/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE 49Y SEX M : LEON, STUART M
 DOB [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 16

FLWSHEET	04/27							
Vital Signs	18:00	19:44	20:05	20:36	20:42	21:02	21:05	21:57
Temp in C	37.5C		37.6C				37.6C	
PULSE	102		98				97	
RESPIRATIONS			25				19	
Vent resp	18							
O2 SAT %	100% O2		100% O2				100% O2	
O2 flow								
O2 equipment								
BP (NIBP)	128/73							
BP mean (NIBP)	87							
Arterial BP	127/68		130/69				149/70	
Arterial mean	85		87				90	
Glucose fs	117mg/dL		116mg/dL				119mg/dL	
Insulin multiplr	.08		.08				.09	
RASS	0							
CAM-ICU	Ngative							
PAIN LEVEL	3 App		0 Wongbk				0 Wongbk	
CO	8.9							
CI	4.3							
SVI	42							
SVV	16							
Glucose	18:00	19:44	20:05	20:36	20:42	21:02	21:05	21:57
GLUCOSE PCX, WHO		116 H				119 H		118 H
Medicated Drips	18:00	19:44	20:05	20:36	20:42	21:02	21:05	21:57
Fentanyl	100mcg/hr							
Insulin	4.5units/hr		5units/hr				5.9units/hr	
Propofol	25mcg/kg/min							
I&O SUMMARY	18:00	19:44	20:05	20:36	20:42	21:02	21:05	21:57
Intake Total	159.5		160	0	0		145.9	
Output Total	45		30				310	
NET	114.5		130	0	0		-164.1	
INTAKE	18:00	19:44	20:05	20:36	20:42	21:02	21:05	21:57
InvasiveLineFish	3		3				3	
D5W/NaCl.45%+KCl	125		125	0			125	
Lactated Ringers	10		10				10	
Fentanyl	2		2	0			2	
Insulin	4.5		5				5.9	
Propofol	15		15		0			
Intake Total	159.5		160	0	0		145.9	
CARE PROVIDERS	BCAR	SID	LLHU	LZIM	LZIM	SID	LLHU	SID

CARPENTER, BROOKE(BCAR)RN

CC SYSTEM, ID(SID)

HURLEY, LORRI L(LLHU)RN

ZIMMERMAN, LAURA(LZIM)RN

App AssumePainPresent

Ngative negative

Wongbk Wong-Baker(face)

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O

ROOM: *1070-A*

Page: 16

PERM

GOODWIN, DAVON
 MUSC
 Vital Signs, I&O
 FROM: 04/28/11 02:56 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/06/11 00:06
 OPT OUT:

Page: 17

FLWSHEET	04/27							
OUTPUT	18:00	19:44	20:05	20:36	20:42	21:02	21:05	21:57
Foley cath	45		30				100	
NG tube							25	
G-tube							40	
JP #1							15	
JP #2							105	
Wound drn #1							25	
Output Total	45		30				310	
CARE PROVIDERS	BCAR		LLHU				LLHU	

CARPENTER, BROOKE(BCAR)RN

HURLEY, LORRI L(LLHU)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
 ROOM: *1070-A*

Page: 17

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 18

FLWSHEET	04/27				04/28	
Vital Signs	22:00	23:00	23:02	23:57	00:00	01:05
Temp in C	37.7C	37.7C			37.7C	38.0C
PULSE	104	96			98	97
RESPIRATIONS	18	18			18	18
O2 SAT %	100%	100% O2			99% O2	100% O2
O2 flow	40 Percent	40 Percent			40 Percent	40 Percent
O2 equipment	Vent	Vent			Vent	Vent
Arterial BP	162/75	126/60			149/64	141/61
Arterial mean	97	78			87	81
Insulin multiplr					0.09	0.03
RASS	-2	-2			-2	-2
PAIN LEVEL	4 Wongbk	0 Wongbk			0 Wongbk	0 Wongbk
Glucose	22:00	23:00	23:02	23:57	00:00	01:05
GLUCOSE PCX, WHO			86	79		104 H
Medicated Drips	22:00	23:00	23:02	23:57	00:00	01:05
Fentanyl	100mcg/hr	100mcg/hr			100mcg/hr	100mcg/hr
Insulin	5.8units/hr	2.3units/hr			0units/hr	1.3units/hr
Propofol	25mcg/kg/min	25mcg/kg/min			35mcg/kg/min	35mcg/kg/min
I&O SUMMARY	22:00	23:00	23:02	23:57	00:00	01:05
Intake Total	161.8	158.3			161	162.3
Output Total	40	50			265	40
NET	121.8	108.3			-104	122.3
INTAKE	22:00	23:00	23:02	23:57	00:00	01:05
InvasiveLineFish	3	3			3	3
D5W/NaCl.45%+KCl	125	125			125	125
Lactated Ringers	10	10			10	10
Fentanyl	2	2			2	2
Insulin	5.8	2.3			0	1.3
Propofol	16	16			21	21
Intake Total	161.8	158.3			161	162.3
OUTPUT	22:00	23:00	23:02	23:57	00:00	01:05
Foley cath	40	50			75	40
NG tube					25	
G-tube					60	
JP #1					20	
JP #2					85	
Wound drn #1					0	
Output Total	40	50			265	40
CARE PROVIDERS	TMW1	TMW1	SID	SID	TMW1	SID TMW1

CC SYSTEM, ID(SID)
Percent percent

Vent ventilator

WOMACK, TASSI M(TMW1)RN
Wongbk Wong-Baker (face)

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 18

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 18Y 65K M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 19

FLWSHEET	04/28						
Vital Signs	02:00	02:06	03:00	03:06	03:57	04:00	04:05
Temp in C	38.0C		38.0C				38.0C
PULSE	101		102				107
RESPIRATIONS	18		18				28
O2 SAT %	100% O2		100% O2				100% O2
O2 flow	40 Percent		40 Percent				40 Percent
O2 equipment	Vent		Vent				Vent
Arterial BP	149/57		140/56			146/65	155/63
Arterial mean	79		78				87
Insulin multiplr	0.03		0.05				
RASS	-2		0				0
PAIN LEVEL	0 Numvlu		0 Wongbk				0 Wongbk
SVV			17				
Glucose	02:00	02:06	03:00	03:06	03:57	04:00	04:05
GLUCOSE PCX, WHO		121 H		123 H	107 H		
Medicated Drops	02:00	02:06	03:00	03:06	03:57	04:00	04:05
Fentanyl	100mcg/hr		100mcg/hr				100mcg/hr
Insulin	3.1 units/hr		3.1 units/hr				2.2 units/hr
Propofol	35mcg/kg/min		35mcg/kg/min				35mcg/kg/min
I&O SUMMARY	02:00	02:06	03:00	03:06	03:57	04:00	04:05
Intake Total	164.1		155.1				163.2
Output Total	120		50				245
NET	44.1		105.1				-81.8
INTAKE	02:00	02:06	03:00	03:06	03:57	04:00	04:05
InvasiveLineFlsh	3		3				3
D5W/NaCl.45%+KCl	125		125				125
Lactated Ringers	10						10
Fentanyl	2		2				2
Insulin	3.1		3.1				2.2
Propofol	21		22				21
Intake Total	164.1		155.1				163.2
OUTPUT	02:00	02:06	03:00	03:06	03:57	04:00	04:05
Foley cath	120		50				60
NG tube							0
G-tube							80
JP #1							15
JP #2							90
Wound drn #1							0
Output Total	120		50				245
CARE PROVIDERS	TMW1	SID	TMW1	SID	SID	TMW1	TMW1

CC SYSTEM, ID(SID)
Numvlu Numeric Value

Percent percent

WOMACK, TASSI M(TMW1)RN
Vent ventilator

Wongbk Wong-Baker(face)

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 19

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 20

FLWSHEET	04/28						
Vital Signs	04:51	04:56	05:05	05:46	06:00	06:10	07:05
Temp in C			38.0C		37.8C		37.6C
PULSE			99		97		92
RESPIRATIONS			24		28		
O2 SAT %			100% O2		100% O2		100% O2
O2 flow			40 Percent		40 Percent		40 Percent
O2 equipment			Vent		Vent		Vent
Arterial BP			151/54		135/56		123/53
Arterial mean			78		76		72
RASS			0		0		0
PAIN LEVEL			0 Wongbk		0 Wongbk		0 Wongbk
Glucose	04:51	04:56	05:05	05:46	06:00	06:10	07:05
GLUCOSE POX, WHO	107 H					106 H	
Medicated Drips	04:51	04:56	05:05	05:46	06:00	06:10	07:05
Fentanyl			125mcg/hr		125mcg/hr		125mcg/hr
Insulin			2.3units/hr		2.2units/hr		2.3units/hr
Propofol					35mcg/kg/min		35mcg/kg/min
I&O SUMMARY	04:51	04:56	05:05	05:46	06:00	06:10	07:05
Intake Total		0	138	0	163.7		232.2
Output Total			50		35		50
NET		0	88	0	128.7		182.2
INTAKE	04:51	04:56	05:05	05:46	06:00	06:10	07:05
IVPB							50
InvasiveLineFish			3		3		3
D5W/NaCl.45%+KCl		0	125		125		125
Lactated Ringers			10		10		10
Fentanyl				0	2.5		2
Insulin					2.2		2.2
Propofol				0	21		40
Intake Total		0	138	0	183.7		232.2
OUTPUT	04:51	04:56	05:05	05:46	06:00	06:10	07:05
Foley cath			50		35		50
Output Total			50		35		50
CARE PROVIDERS	SID	TMW1	TMW1	TMW1	TMW1	SID	TMW1

CC SYSTEM, ID(SID)
Percent percent

Vent ventilator

WOMACK, TASSI M(TMW1)RN
Wongbk Wong-Baker(face)

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 20

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/26/11 02:55 TO: 05/04/11 03:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 03:00
OPT OUT:

Page: 21

FLWSHEET	04/28						
Vital Signs	07:07	07:57	08:00	08:00	09:13	10:00	10:04
Temp in C			37.6C	37.6C		37.4C	
PULSE			108	97		100	
RESPIRATIONS			16	12		15	
O2 SAT %			100%	100%		100%	
BP (NIBP)				136/66		131/73	
BP mean (NIBP)				83		87	
Arterial BP			146/66	94/78			
Arterial mean			80	86			
Glucose fs			102mg/dL	91mg/dL		105mg/dL	
Insulin mulriplr			.05	.04		.04	
RASS			-2				
CAM-ICU			Ngatve				
PAIN LEVEL			0 Wongbk	2 Wongbk		2 Wongbk	
Glucose	07:07	07:57	08:00	08:00	09:13	10:00	10:04
GLUCOSE PCX, WHO	103 H	102 H			91		105 H
Medicated Drips	07:07	07:57	08:00	08:00	09:13	10:00	10:04
Fentanyl			125mcg/hr	125mcg/hr		200mcg/hr	
Insulin			2.3units/hr	2.1units/hr		1.2units/hr	
Propofol			35mcg/kg/min	20mcg/kg/min			
I&O SUMMARY	07:07	07:57	08:00	08:00	09:13	10:00	10:04
Intake Total			163.8	154.6		140.2	
Output Total			250	85		50	
NET			-86.2	69.6		90.2	
INTAKE	07:07	07:57	08:00	08:00	09:13	10:00	10:04
InvasiveLineFlsh			3	3			
D5W/NaCl.45%+KCl			125	125		125	
Lactated Ringers			10	10		10	
Fentanyl			2.5	2.5		4	
Insulin			2.3	2.1		1.2	
Propofol			21	12			
Intake Total			163.8	154.6		140.2	
OUTPUT	07:07	07:57	08:00	08:00	09:13	10:00	10:04
Foley cath			75	85		50	
G-tube			75				
JP #1			10				
JP #2			90				
Output Total			250	85		50	
CARE PROVIDERS	SID	SID	SACT	SACT	SID	SACT	SID

CC SYSTEM, ID(SID)
Ngatve negative

NIREWICZ, SARAH A(SACT)RN
Wongbk Wong-Baker(face)

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 21

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002857049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 22

FLWSHEET	04/28							
Vital Signs	11:00	11:05	12:00	12:02	12:08	12:31	12:32	13:00
Temp in C	37.0C		37.3C					36.8C
PULSE	115		116					107
RESPIRATIONS	21		26					23
O2 SAT %	98%		94%					99%
BP (NIBP)	164/74		158/71					153/70
BP mean (NIBP)	96		91					91
Glucose fs	146mg/dL		154mg/dL					146mg/dL
Insulin mulriplr	.05		.06					.07
PAIN LEVEL	7		4 Numvlu					0 Sleepg
Glucose	11:00	11:05	12:00	12:02	12:08	12:31	12:32	13:00
GLUCOSE PCX, WHO		146 H		154 H				
Medicated Drips	11:00	11:05	12:00	12:02	12:08	12:31	12:32	13:00
Fentanyl	50mcg/hr		50mcg/hr					50mcg/hr
Insulin	1.8units/hr		4.3units/hr					5.6units/hr
I&O SUMMARY	11:00	11:05	12:00	12:02	12:08	12:31	12:32	13:00
Intake Total	137.8		140.3		0	0	0	141.6
Output Total	75		460					150
NET	62.8		-319.7		0	0	0	-8.4
INTAKE	11:00	11:05	12:00	12:02	12:08	12:31	12:32	13:00
D5W/NaCl.45%+KCl	125		125		0			125
Lactated Ringers	10		10					10
Fentanyl	1		1					1
Insulin	1.8		4.3			0	0	5.6
Propofol					0			
Intake Total	137.8		140.3		0	0	0	141.6
OUTPUT	11:00	11:05	12:00	12:02	12:08	12:31	12:32	13:00
Foley cath	75		100					150
NG tube			50					
G-tube			200					
JP #1			10					
JP #2			100					
Output Total	75		460					150
CARE PROVIDERS	SACT	SID	SACT	SID	SACT	SACT	SACT	SACT

CC SYSTEM, ID(SID)
Numvlu Numeric Value

Sleepg sleeping

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 22

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 23

FLOWSHEET		04/28					
Vital Signs	13:07	14:00	15:00	15:08	15:58	16:00	17:00
Temp in C		36.3C					
PULSE		107	117			113	113
RESPIRATIONS		22	23			26	27
O2 SAT %		99%	99%			97%	97%
BP (NIBP)		141/70	160/79			160/76	145/68
BP mean (NIBP)		87	98			96	87
Glucose fs		142mg/dL	151mg/dL			128mg/dL	127mg/dL
Insulin multiplr		.08	.09			.09	.1
PAIN LEVEL		0 Sleepg	4 Numvlu			0 Sleepg	0 Sleepg
Glucose	13:07	14:00	15:00	15:08	15:58	16:00	17:00
GLUCOSE PCX, WHO		146 H	142 H		151 H	128 H	
Medicated Drips	13:07	14:00	15:00	15:08	15:58	16:00	17:00
Fentanyl		50mcg/hr	75mcg/hr				75mcg/hr
Insulin		6units/hr	6.6units/hr			8.2units/hr	6.1units/hr
I&O SUMMARY	13:07	14:00	15:00	15:08	15:58	16:00	17:00
Intake Total		142	143.1			143.2	142.6
Output Total		100	125			325	150
NET		42	18.1			-181.8	-7.4
INTAKE	13:07	14:00	15:00	15:08	15:58	16:00	17:00
D5W/NaCl.45%+KCl		125	125			125	125
Lactated Ringers		10	10			10	10
Fentanyl		1	1.6				1.6
Insulin		6	6.6			8.2	6.1
Intake Total		142	143.1			143.2	142.6
OUTPUT	13:07	14:00	15:00	15:08	15:58	16:00	17:00
Foley cath		100	125			100	150
G-tube						125	
JP #1						15	
JP #2						85	
Output Total		100	125			325	150
CARE PROVIDERS	SID	SID SACT	SACT	SID	SID	SACT	SACT

CC SYSTEM, ID(SID)
Numvlu Numeric Value

Sleepg sleeping

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 23

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:56 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 24

FLOWSHEET		04/28							
Vital Signs	17:04	18:00	18:02	18:57	18:58	18:59	19:00	19:55	
PULSE		121					118	115	
RESPIRATIONS		28					27	28	
O2 SAT %		96%					95%	100%	
O2 flow								2 Liters	
O2 equipment								Nasicon	
BP (NIBP)		157/77					160/73	147/59 Larm	
BP mean (NIBP)		96					81	80	
Glucose fs		74mg/dL					114mg/dL	101mg/dL	
Insulin multiplr		.09					.1	0.1	
PAIN LEVEL		2 Numvlu					4 Numvlu	0 Numvlu	
Glucose	17:04	18:00	18:02	18:57	18:58	18:59	19:00	19:55	
GLUCOSE PCX, WHO	127 H		74			114 H			
Medicated Drips	17:04	18:00	18:02	18:57	18:58	18:59	19:00	19:55	
Fentanyl		75mcg/hr					75mcg/hr	75mcg/hr	
Insulin		6.7units/hr					1.3units/hr	4.1units/hr	
I&O SUMMARY	17:04	18:00	18:02	18:57	18:58	18:59	19:00	19:55	
Intake Total		141.7		0	0		137.8	141.9	
Output Total		75					125	420	
NET		66.7		0	0		12.8	-278.1	
INTAKE	17:04	18:00	18:02	18:57	18:58	18:59	19:00	19:55	
D5W/NaCl.45%+KCl		125		0	0		125	125	
Lactated Ringers		10					10	10	
Fentanyl							1.5	1.5	
Insulin		6.7					1.3	5.4	
Intake Total		141.7		0	0		137.8	141.9	
OUTPUT	17:04	18:00	18:02	18:57	18:58	18:59	19:00	19:55	
Foley cath		75					125	130	
NG tube								0	
G-tube								200	
JP #1								10	
JP #2								80	
Wound drn #1								0	
Output Total		75					125	420	
CARE PROVIDERS	SID	SACT	SID	SACT	SACT	SID	SACT	SSPU	

CC SYSTEM, ID(SID)
Larm arm L

NIREWICZ, SARAH A(SACT)RN
Liters liter(s)
Nasicon nasal cannula

SPURR, SARAH(SSPU)RN
Numvlu Numeric Value

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 24

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 40Y SEX M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 25

FLWSHEET	04/28							
Vital Signs	20:01	20:58	21:00	22:00	22:03	22:14	22:17	23:00
PULSE			116	115				
RESPIRATIONS			29	29				
O2 SAT %			96% Rmair	94% Rmair				
BP (NIBP)			158/88	158/75 Larm				
BP mean (NIBP)			84	95				
Glucose fs			83mg/dL	74mg/dL				90mg/dL
Insulin mulriplr			.09	.08				
PAIN LEVEL			0 Numvlu	5 Numvlu				
Glucose	20:01	20:58	21:00	22:00	22:03	22:14	22:17	23:00
GLUCOSE PCX, WHO	101 H	83			74			
Medicated Drips	20:01	20:58	21:00	22:00	22:03	22:14	22:17	23:00
Fentanyl			75mcg/hr	75mcg/hr				
Insulin			2.1units/hr	1.1units/hr				
I&O SUMMARY	20:01	20:58	21:00	22:00	22:03	22:14	22:17	23:00
Intake Total			140.6	138.6			30	
Output Total			125	160		100		
NET			15.6	-21.4		-100	30	
INTAKE	20:01	20:58	21:00	22:00	22:03	22:14	22:17	23:00
Meds per tube							30	
D5W/NaCl.45%+KCl			125	125				
Lactated Ringers			10	10				
Fentanyl			1.5	1.6				
Insulin			4.1	2.1				
Intake Total			140.6	138.6			30	
OUTPUT	20:01	20:58	21:00	22:00	22:03	22:14	22:17	23:00
Foley cath			125	160				
JP #2						100		
Output Total			125	160		100		
CARE PROVIDERS	SID	SID	SSPU	SSPU	SID	SSPU	SSPU	MGAL

CC SYSTEM, ID(SID)
Larm arm L

GALAMITON, MAXIMO(MGAL)CA
Numvlu Numeric Value Rmair room air

SPURR, SARAH(SSPU)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] Vital Signs, I&O
ROOM: *1070-A*

Page: 25

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 26

FLWSHEET	04/28			04/29				
Vital Signs	23:06	23:10	23:57	00:10	01:15	01:16	02:03	02:10
Temp in C				38C Oral				
PULSE		118		115	114			111
RESPIRATIONS		30		33	32			30
O2 SAT %		94% Rmair		98%	95%			95%
O2 flow				2 Liters				
O2 equipment				Naslon				
BP (NIBP)		156/81 Larm		128/61	136/64 Rarm			157/54
BP mean (NIBP)		94		72	77			81
Glucose fs		84mg/dL		77mg/dL				
Insulin multiplr		.07		.06				
PAIN LEVEL				0 Numvlu				
Glucose	23:06	23:10	23:57	00:10	01:15	01:16	02:03	02:10
GLUCOSE PCX, WHO	84		77			81	88	
Medicated Drips	23:06	23:10	23:57	00:10	01:15	01:16	02:03	02:10
Fentanyl		75mcg/hr		75mcg/hr	75mcg/hr			75mcg/hr
Insulin		1.7units/hr		1units/hr				1units/hr
I&O SUMMARY	23:06	23:10	23:57	00:10	01:15	01:16	02:03	02:10
Intake Total		137.6		135	127.5			127.5
Output Total		150		375	200			75
NET		-12.4		-240	-72.5			52.5
INTAKE	23:06	23:10	23:57	00:10	01:15	01:16	02:03	02:10
D5W/NaCl.45%+KCl		125		125	125			125
Lactated Ringers				10				
OTHER PRIMARY IV		10						
Fentanyl		1.5			1.5			1.5
Insulin		1.1			1			1
Intake Total		137.6		135	127.5			127.5
OUTPUT	23:06	23:10	23:57	00:10	01:15	01:16	02:03	02:10
Foley cath		150		175	100			75
G-tube				200				
JP #2					100			
Output Total		150		375	200			75
CARE PROVIDERS	SID	SSPU	SID	SSPU	SSPU	SID	SID	SSPU

CC SYSTEM, ID(SID)
Larm arm L
Litera liter(s)

SPURR, SARAH(SSPU)RN
Naslon nasal cannula
Numvlu Numeric Value

Oral oral
Rarm arm R

Rmair room air

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 26

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002857049
ACQUIRED: 05/05/11 00:00
OPT OUT:

Page: 27

FLWSHEET	04/29							
Vital Signs	03:01	03:04	04:00	04:11	05:03	05:10	05:22	05:58
Temp in C			38.3C Oral					
PULSE		110	117			114		
RESPIRATIONS		28	20			30		
O2 SAT %		93% Rmair	95%			97%		
O2 flow			2 Liters					
O2 equipment			Naslon					
BP (NIBP)		158/73	160/68 Larm			147/62		
BP mean (NIBP)		92	89			82		
Glucose fs			82mg/dL			96mg/dL		
Insulin multiplr			.04			.04		
PAIN LEVEL								
Glucose	03:01	03:04	04:00	04:11	05:03	05:10	05:22	05:58
GLUCOSE PCX, WHO	95			82	96			106 H
Medicated Drips	03:01	03:04	04:00	04:11	05:03	05:10	05:22	05:58
Fentanyl		75mcg/hr	75mcg/hr			125mcg/hr		
Insulin		1units/hr	0.9units/hr			1units/hr		
I&O SUMMARY	03:01	03:04	04:00	04:11	05:03	05:10	05:22	05:58
Intake Total		127.5	128.3			127.5	350	
Output Total		100	305			225		
NET		27.5	-176.7			-97.5	350	
INTAKE	03:01	03:04	04:00	04:11	05:03	05:10	05:22	05:58
D5W/NaCl.45%+KCl		125	125			125		
Fentanyl		1.5	1.5			1.5		
Insulin		1	1.8			1		
PRBC							350	
Intake Total		127.5	128.3			127.5	350	
OUTPUT	03:01	03:04	04:00	04:11	05:03	05:10	05:22	05:58
Foley cath		100	30			225		
G-tube			175					
JP #1			30					
JP #2			70					
Wound drn #1			0					
Output Total		100	305			225		
CARE PROVIDERS	SID	SSPU	SSPU	SID	SID	SSPU	SSPU	SID

CC SYSTEM, ID(SID)

Larm arm L
Liters liter(s)Naslon nasal cannula
Oral oral

SPURR, SARAH(SSPU)RN

Rmair room air

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 27

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 19Y SEX M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002857049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 28

FLWSHEET	04/29						
Vital Signs	08:10	06:42	07:00	08:00	08:07	08:00	09:01
Temp in C				37.8C Oral			
PULSE	112		110	103		103	
RESPIRATIONS	23		30	24		33	
O2 SAT %	97%		97%	97%		95%	
O2 flow			2 Liters				
O2 equipment			Naslon				
BP (NIBP)	154/71			164/82		174/95	
BP mean (NIBP)	91			101		114	
Glucose fs	106mg/dL		102mg/dL	121mg/dL		128mg/dL	
Insulin multiplr	.03		.03	.03		.04	
PAIN LEVEL	0 Numvlu			5 Numvlu		6 Numvlu	
WEIGHT in Kg		116.2kg					
Glucose	08:10	06:42	07:00	08:00	08:07	09:00	09:01
GLUCOSE PCX, WHO			102 H		108 H		129 H
Medicated Drips	08:10	06:42	07:00	08:00	08:07	09:00	09:01
Fentanyl	50mcg/hr		50mcg/hr	50mcg/hr		50mcg/hr	
Insulin	1.4units/hr		1.3units/hr	1.3units/hr		1.4units/hr	
I&O SUMMARY	08:10	06:42	07:00	08:00	08:07	09:00	09:01
Intake Total	127.4		536.4	127.3		127.4	
Output Total	125		60	335		100	
NET	2.4		476.4	-207.7		27.4	
INTAKE	08:10	06:42	07:00	08:00	08:07	09:00	09:01
Meds per tube			80				
D5W/NaCl.45%+KCl	125		125	125		125	
Fentanyl	1.5			1		1	
Insulin	0.9		1.4	1.3		1.4	
PRBC			350				
Intake Total	127.4		536.4	127.3		127.4	
OUTPUT	08:10	06:42	07:00	08:00	08:07	09:00	09:01
Foley cath	125		60	100		100	
G-tube				150			
JP #1				5			
JP #2				80			
Output Total	125		60	335		100	
CARE PROVIDERS	SSPU	SSPU	SID SSPU	SACT	SID	SACT	SID

CC SYSTEM, ID(SID)
Liters liter(s)

NIREWICZ, SARAH A(SACT)RN
Naslon nasal cannula Numvlu Numeric Value

SPURR, SARAH(SSPU)RN
Oral oral

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 28

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 29

FLWSHEET	04/29						
Vital Signs	09:59	10:00	10:10	11:00	11:15	12:00	12:11
Temp in C						37C Oral	
PULSE		102	107	101		101	
RESPIRATIONS		31	27	31		30	
O2 SAT %		97%	95%	93% O2		96% O2	
BP (NIBP)		160/71	160/71	149/70		155/83	
BP mean (NIBP)		92	92	89		100	
Glucose fs		123mg/dL		131mg/dL		115mg/dL	
Insulin multiplr		.05		.06		.07	
PAIN LEVEL		5 Numvlu		5 Numvlu		5 Numvlu	
Glucose	09:59	10:00	10:10	11:00	11:15	12:00	12:11
GLUCOSE PCX, WHO	123 H				131 H		115 H
Medicated Drips	09:59	10:00	10:10	11:00	11:15	12:00	12:11
Fentanyl						50mcg/hr	
Insulin		2.8units/hr		3.2units/hr		4.3units/hr	
I&O SUMMARY	09:59	10:00	10:10	11:00	11:15	12:00	12:11
Intake Total		127.8		128.2		130.3	
Output Total		75		125		365	
NET		52.8		3.2		-234.7	
INTAKE	09:59	10:00	10:10	11:00	11:15	12:00	12:11
D5W/NaCl.45%+KCl		125		125		125	
Fentanyl						1	
Insulin		2.8		3.2		4.8	
Intake Total		127.8		128.2		130.3	
OUTPUT	09:59	10:00	10:10	11:00	11:15	12:00	12:11
Foley cath		75		125		150	
G-tube						125	
JP #1						10	
JP #2						80	
Output Total		75		125		365	
CARE PROVIDERS	SID	SACT	SACT	SACT	SID	SACT	SID

CC SYSTEM, ID(SID)
Numeric Numeric Value

Oral oral

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 29

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002857049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 30

FLWSHEET	04/29						
Vital Signs	13:00	13:01	13:17	14:00	14:04	15:00	15:11
PULSE	86			86		103	
RESPIRATIONS	17			21		31	
O2 SAT %	100%			100%		100%	
BP (NIBP)	155/78			144/75		173/83	
BP mean (NIBP)	95			92		105	
Glucose fs	105mg/dL			101mg/dL		97mg/dL	
Insulin mulriplr	.07			.07		.06	
PAIN LEVEL	5 Numvlu			5 Numvlu		0 Sleepg	
Glucose	13:00	15:01	15:17	14:00	14:04	15:00	15:11
GLUCOSE PCX, WHO		105 H			101 H		97
Medicated Drips	13:00	13:01	13:17	14:00	14:04	15:00	15:11
Fentanyl	50mcg/hr			50mcg/hr		50mcg/hr	
Insulin	3.9units/hr			3.2units/hr		2.9units/hr	
I&O SUMMARY	13:00	13:01	13:17	14:00	14:04	15:00	15:11
Intake Total	129.9		0	149.2		148.9	
Output Total	60			75		125	
NET	69.9		0	74.2		23.9	
INTAKE	13:00	13:01	13:17	14:00	14:04	15:00	15:11
Tube feeding				20		20	
Tube feed flush							
D5W/NaCl.45%+KCl	125		0	125		125	
Fentanyl	1			1		1	
Insulin	3.9			3.2		2.9	
Intake Total	129.9		0	149.2		148.9	
OUTPUT	13:00	13:01	13:17	14:00	14:04	15:00	15:11
Foley cath	60			75		125	
G-tube							
JP #1							
JP #2							
Output Total	60			75		125	
CARE PROVIDERS	SACT	SID	SACT	SACT	SID	SACT	SID

CC SYSTEM, ID(SID)
Numvlu Numeric Value

Sleepg sleeping

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB - Vital Signs, I&O
ROOM: *1070-A*

Page: 30

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 00:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 31

Flowsheet	04/29						
Vital Signs	18:00	18:02	17:00	17:10	18:23	18:25	19:07
PULSE	94		105			100	
RESPIRATIONS	29		22			27	
O2 SAT %	98%		99%			99%	
BP (NIBP)	173/93		177/81			184/85	
BP mean (NIBP)	107		104			106	
Glucose fs	104mg/dL		117mg/dL			96mg/dL	
Insulin mulriplr	.06		.07			.06	
PAIN LEVEL	5 Numvlu		3 Numvlu			0 Sleepg	
Glucose	18:00	18:02	17:00	17:10	18:23	18:25	19:07
GLUCOSE PCX, WHO		104 H		117 H	96		91
Medicated Drips	18:00	18:02	17:00	17:10	18:23	18:25	19:07
Fentanyl	50mcg/hr		50mcg/hr			50mcg/hr	
Insulin	2.2units/hr		2.6units/hr			4units/hr	
I&O SUMMARY	18:00	18:02	17:00	17:10	18:23	18:25	19:07
Intake Total	178.2		148.8			150	
Output Total	300		175			275	
NET	-121.8		-26.4			-125	
INTAKE	18:00	18:02	17:00	17:10	18:23	18:25	19:07
Tube feeding	20		20			20	
Tube feed flush	30						
D5W/NaCl.45%+KCl	125		125			125	
Fentanyl	1		1			1	
Insulin	2.2		2.6			4	
Intake Total	178.2		148.8			150	
OUTPUT	18:00	18:02	17:00	17:10	18:23	18:25	19:07
Foley cath	100		175			275	
G-tube	125						
JP #1	5						
JP #2	70						
Output Total	300		175			275	
CARE PROVIDERS	SACT	SID	SACT	SID	SID	SACT	SID

CC SYSTEM, ID(SID)
Numvlu Numeric Value

Sleepg sleeping

NIREWICZ, SARAH A(SACT)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 31

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 32

FLWSHEET	04/29						
Vital Signs	19:08	19:50	19:54	20:00	20:15	20:57	20:59
TEMP #1 in F				100.9F Oral			
PULSE				108 Radial			
RESPIRATIONS				20 Visual			
O2 SAT %				93% O2			
O2 flow				2 Liters			
O2 equipment				Nascon			
BP (NIBP)				192/90 Flowig&			
Glucose fs	91mg/dL			94mg/dL			107mg/dL
Insulin Multiplr				.04			
Insulin multiplr	.05						
PAIN LEVEL				8 App			
HEIGHT					185.4cm		
WEIGHT in Kg				79.38kg			

04/29/11 20:00 BP (NIBP)(MGAL): pt. arrived on floor

Glucose	19:08	19:50	19:54	20:00	20:15	20:57	20:59
GLUCOSE POX, WHO			94			107 H	
Medicated Drips	19:08	19:50	19:54	20:00	20:15	20:57	20:59
Insulin	2.2units/hr			1.4units/hr			
I&O SUMMARY	19:08	19:50	19:54	20:00	20:15	20:57	20:59
Intake Total	147.2			60			
Output Total	150	1700		650			
NET	-2.8	-1700		-590			
INTAKE	19:08	19:50	19:54	20:00	20:15	20:57	20:59
Tube feeding	20			20&			
Meds per tube				40			
D5W/NaCl.45%+KCl	125						
Insulin	2.2						
Intake Total	147.2			60			

04/29/11 20:00 Tube feeding(JAC1): zero residual, increased to 35 cc to reach goal of 95

OUTPUT	19:08	19:50	19:54	20:00	20:15	20:57	20:59
Foley cath	150	1700					
G-tube				650			
Output Total	150	1700		650			
CARE PROVIDERS	SACT	DLRO	SID	JAC1 JAC1, {DLRO} MGAL	MGAL	SID	MGAL

CC SYSTEM, ID(SID)

NIREWICZ, SARAH A(SACT)RN

COTTLE, JEAN A(JAC1)RN

ROBERTS, DUSTI L(DLRO)RN

GALAMITON, MAXIMO(MGAL)CA

App AssumePainPresnt
Liters liter(s)Nascon nasal cannula
Oral oralRadial radial
Flowig right lower leg

Visual visual

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Vital Signs, I&O
ROOM: *1070-A*

Page: 32

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 33

FLWSHEET	04/28						04/30
Vital Signs	21:00	22:00	22:03	22:06	23:00	23:03	00:00
TEMP #1 in F		101.5F Oral&			101.6F Oral		101.7F Oral
PULSE		106 Radial					
RESPIRATIONS		20 Visual					
O2 SAT %		95% O2					
O2 flow		2 Liters					
BP (NIBP)		197/85 Rarmly					
Glucose fs		208mg/dL			90mg/dL		92mg/dL
Insulin Multipl	.04	.05			.04		.03
04/29/11 22:00 TEMP #1 in F(MGAL): Ordered pt. use i.s. while awake							
Glucose	21:00	22:00	22:03	22:06	23:00	23:03	00:00
GLUCOSE PCX, WHO			208 H			90	
Medicated Drips	21:00	22:00	22:03	22:06	23:00	23:03	00:00
Insulin	1.9units/hr	7.4units/hr			1.2units/hr		1units/hr
I&O SUMMARY	21:00	22:00	22:03	22:06	23:00	23:03	00:00
Intake Total	35			0			770
NET	35			0			770
INTAKE	21:00	22:00	22:03	22:06	23:00	23:03	00:00
Tube feeding	35						105
Tube feed flush							30
Meds per tube							60
D5W/NaCl.45%+KCl				0			575
Fentanyl				0			
Intake Total	35			0			770
CARE PROVIDERS	JAC1 JAC1, (DLRO)	JAC1 JAC1, (DLRO) MGAL	SID	JAC1	JAC1, (DLRO) JAC1	SID	JAC1, (DLRO) MGAL JAC1

CC SYSTEM, ID(SID)

COTTLE, JEAN A(JAC1)RN

GALAMITON, MAXIMO(MGAL)CA

ROBERTS, DUSTI L(DLRO)RN

Liters liter(s) Radial radial
Oral oral Rarmly Rt arm lying

Visual visual

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Vital Signs, I&O
ROOM: *1070-A*

Page: 33

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 34

FLWSHEET	04/30						
Vital Signs	00:07	00:54	01:00	01:06	02:00	02:08	02:52
TEMP #1 in F					100.7F Oral		
PULSE					111 Radial		
RESPIRATIONS					18 Visual		
O2 SAT %					97% O2		
O2 flow					2 Liters		
O2 equipment					Nasion		
BP (NIBP)					188/80 Rarmly		
Glucose fs			108mg/dL		128mg/dL		
Insulin Multiplr			.03		.04		
PAIN LEVEL					2 Sleepg		
Glucose	00:07	00:54	01:00	01:06	02:00	02:08	02:52
GLUCOSE PCX, WHO	92	108 H				128 H	126 H
Medicated Drips	00:07	00:54	01:00	01:06	02:00	02:08	02:52
Insulin			1.4units/hr		2.7units/hr		
I&O SUMMARY	00:07	00:54	01:00	01:06	02:00	02:08	02:52
Intake Total					70		
Output Total				880			
NET				-880	70		
INTAKE	00:07	00:54	01:00	01:06	02:00	02:08	02:52
Tube feeding					70&		
Intake Total					70		
04/30/11 02:00 Tube feeding(JAC1): increased to 500/hr, residual 1000							
OUTPUT	00:07	00:54	01:00	01:06	02:00	02:08	02:52
Foley cath				600			
G-tube				200			
JP #1				0			
JP #2				80			
Output Total				880			
CARE PROVIDERS	SID	SID	JAC1, (DLRO) JAC1	MGAL	JAC1, (DLRO) JAC1 MGAL	SID	SID

CC SYSTEM, ID(SID) COTTLE, JEAN A(JAC1)RN GALAMITON, MAXIMO(MGAL)CA
ROBERTS, DUSTI L(DLRO)RN
 Litters Litter(s) Oral oral Family Rt arm lying Visual visual
 Nasion nasal cannula Radial radial Sleepg sleeping

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] Vital Signs, I&O
ROOM: *1070-A*

Page: 34

PERM

GOODWIN, DAVON
 MUSC
 Vital Signs, I&O
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002857049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 35

FLOWSHEET		04/30						
Vital Signs	03:00	04:00	04:05	04:55	05:00	05:02	06:00	
Glucose fs	126mg/dL	117mg/dL			124mg/dL		101mg/dL	
Insulin Multiplr	.05	.06			.07		.07	
Glucose	03:00	04:00	04:05	04:55	05:00	05:02	06:00	
GLUCOSE PCX, WHO			117 H	124 H				
Medicated Drips	03:00	04:00	04:05	04:55	05:00	05:02	06:00	
Insulin	3.3units/hr	3.4units/hr			4.5units/hr		2.9units/hr	
I&O SUMMARY	03:00	04:00	04:05	04:55	05:00	05:02	06:00	
Intake Total		90				150	175	
Output Total		988						
NET		-896				150	175	
INTAKE	03:00	04:00	04:05	04:55	05:00	05:02	06:00	
Tube feeding						150	50	
Tube feed flush		30						
Meds per tube		60						
D5W/NaCl.45%+KCl							125	
Intake Total		90				150	175	
OUTPUT	03:00	04:00	04:05	04:55	05:00	05:02	06:00	
Foley cath		800						
Stool		1&						
G-tube		150						
JP #1		5						
JP #2		30						
Output Total		988						
04/30/11 04:00 Stool(MGAL): pt. stool wasa bloody shown to th nurse								
CARE PROVIDERS	JAC1 JAC1, (DL RO) MGAL	JAC1 JAC1, (DL RO) MGAL	SID	SID	JAC1 JAC1, (DL RO) MGAL	JAC1	JAC1	
CC SYSTEM, ID(SID)	COTTLE, JEAN A(JAC1)RN		GALAMITON, MAXIMO(MGAL)CA					
ROBERTS, DUSTI L(DLRO)RN								

CONTINUED

GOODWIN, DAVON MR: 002857049 ID: 495654253 DOB [REDACTED] - Vital Signs, I&O
 ROOM: *1070-A*

Page: 35

PERM

GOODWIN, DAVON
 MUSC
 Vital Signs, I&O
 FROM: 04/28/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:
 Page: 36

FLWSHEET	04/30								
Vital Signs	08:01	08:53	08:54	07:57	08:00	08:08	08:10	08:24	08:00
Insulin Multipir			.06					.07	.08
Glucose	08:01	08:53	08:54	07:57	08:00	08:08	08:10	08:24	08:00
GLUCOSE PCX, WHO	101 H	97				110 H			
Medicated Drips	08:01	08:53	08:54	07:57	08:00	08:08	08:10	08:24	08:00
Insulin		2.2units/hr						4.1units/hr	
I&O SUMMARY	08:01	08:53	08:54	07:57	08:00	08:08	08:10	08:24	08:00
Intake Total				80	80				120
Output Total					0		650		
NET				80	80		-650		120
INTAKE	08:01	08:53	08:54	07:57	08:00	08:08	08:10	08:24	08:00
Oral									120
Tube feeding					50				
Tube feed flush					30				
Meds per tube				30					
InvasiveLineFish				60					
Intake Total				90	80				120
OUTPUT	08:01	08:53	08:54	07:57	08:00	08:08	08:10	08:24	08:00
Foley cath							650&		
Emesis									1
TF residual					0				
Output Total					0		650		
04/80/11 08:10 Foley cath(WCW): foely d'od									
CARE PROVIDERS	SID	JAC1 SID	JAC1	JLNO	JLNO	SID	WCW	JLNO	JLNO

04/30/11 08:10 Foley cath(WCW): foely d'od

CARE PROVIDERS	SID	JAC1 SID	JAC1	JLNO	JLNO	SID	WCW	JLNO	JLNO

CC SYSTEM, ID(SID)

COTTLE, JEAN A(JAC1)RN

NORMAN, JILL L(JLNO)RN

WILLIAMS, WENDY C(WCW)CA

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
 ROOM: *1070-A*

Page: 36

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 37

FLWSHEET	04/30						
Vital Signs	09:04	09:11	09:46	10:00	10:05	10:22	10:37
TEMP #1 in F				88.7F Oral			
PULSE				106 Radial			
RESPIRATIONS				18 Visual			
BP (NIBP)				195/95 Rarmly&			
Insulin Multiplr							.09
PAIN LEVEL				6 Numvlu			
04/30/11 10:00 BP (NIBP)(WCW): RN aware							
Glucose	09:04	09:11	09:46	10:00	10:05	10:22	10:37
GLUCOSE PCX, WHO	120 H				128 H	169 H	
Medicated Drips	09:04	09:11	09:46	10:00	10:05	10:22	10:37
Insulin		4.8units/hr		9.8units/hr			
I&O SUMMARY	09:04	09:11	09:46	10:00	10:05	10:22	10:37
Intake Total			0				
Output Total			445				
NET			0	-445			
INTAKE	09:04	09:11	09:46	10:00	10:05	10:22	10:37
D5W/NaCl.45%+KCl			0				
Intake Total			0				
OUTPUT	09:04	09:11	09:46	10:00	10:05	10:22	10:37
Foley cath			0				
Stool			0				
G-tube			400				
JP #1			30				
JP #2			15				
Output Total			445				
CARE PROVIDERS	SID	JLNO	JLNO	JLNO WCW	SID	SID	JLNO

CC SYSTEM, ID(SID)
Numvlu Numeric Value
Oral oral

Radial radial
Rarmly Rt arm lying

NORMAN, JILL L(JLNO)RN

Visual visual

WILLIAMS, WENDY C(WCW)CA

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Vital Signs, I&O
ROOM: *1070-A*

Page: 37

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:19
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 98

FLWSHEET	04/30							
Vital Signs	11:00	11:35	11:37	12:00	12:08	12:30	13:00	13:23
Insulin Multiplr	.08			.8			.08	
Glucose	11:00	11:35	11:37	12:00	12:08	12:30	13:00	13:23
GLUCOSE PCX, WHO		98			113 H			63 L
Medicated Drips	11:00	11:35	11:37	12:00	12:08	12:30	13:00	13:23
Insulin	3.0units/hr			4.8units/hr			.2units/hr	
I&O SUMMARY	11:00	11:35	11:37	12:00	12:08	12:30	13:00	13:23
Intake Total				290				
Output Total			60			650		
NET			-60	290		-650		
INTAKE	11:00	11:35	11:37	12:00	12:08	12:30	13:00	13:23
Tube feeding				260&				
Tube feed flush				30				
Intake Total				290				
04/30/11 12:00 Tube feeding(JLNO): tf stopped								
OUTPUT	11:00	11:35	11:37	12:00	12:08	12:30	13:00	13:23
Urine						650		
Emesis			60					
TF residual								
Output Total			60			650		
CARE PROVIDERS	JLNO	SID	JLNO	JLNO	SID	WCW	JLNO	SID
CC SYSTEM, ID(SID) NORMAN, JILL L(JLNO)RN WILLIAMS, WENDY C(WCW)CA								

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 38

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 39

FLOWSHEET		04/30						
Vital Signs	14:00	14:10	14:20	14:26	15:00	15:24	16:00	
TEMP #1 in F			98.2F					
PULSE			104 Radial					
RESPIRATIONS			18 Visual					
O2 SAT %			99% O2					
O2 flow			2 Liters					
O2 equipment			Nascon					
Manual BP			190/80 Rarmly&					
Insulin Multiplr	.07				.07		.07	
PAIN LEVEL			5 Numvlu					
04/30/11 14:20 Manual BP(WCW): RN aware								
Glucose	14:00	14:10	14:20	14:26	15:00	15:24	16:00	
GLUCOSE PCX, WHO		97				100		
Medicated Drips	14:00	14:10	14:20	14:26	15:00	15:24	16:00	
Insulin	2.6units/hr				2.8units/hr			
I&O SUMMARY	14:00	14:10	14:20	14:26	15:00	15:24	16:00	
Intake Total				85				
Output Total	85		315					
NET	-65		-315	95				
INTAKE	14:00	14:10	14:20	14:26	15:00	15:24	16:00	
Meds per tube				30				
InvasiveLineFish				65				
Intake Total				85				
OUTPUT	14:00	14:10	14:20	14:26	15:00	15:24	16:00	
Stool			0					
G-tube			300					
TF residual	65							
JP #1			15					
JP #2			0					
Wound drn #1			0					
Output Total	85		315					
CARE PROVIDERS	JLNO	SID	WCW	JLNO	JLNO	SID	JLNO	
CC SYSTEM, ID(SID)	NORMAN, JILL L(JLNO)RN							
Liters	Numvlu	Numeric Value	WILLIAMS, WENDY C(WCW)CA					
filter(s)	Radial	radial						
Nascon	Radial	radial						
			Rarmly	Rt arm lying				
			Visual	visual				

CC SYSTEM, ID(SID)

Liters filter(s)
Nascon nasal cannulaNumvlu Numeric Value
Radial radialNORMAN, JILL L(JLNO)RN
Rarmly Rt arm lying
Visual visual

WILLIAMS, WENDY C(WCW)CA

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 39

PERM

GOODWIN, DAVON
 MUSC
 Vital Signs, I&O
 FROM: 04/26/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 40

FLWSHEET	04/30					
Vital Signs	16:20	16:22	16:26	16:30	17:00	17:08
PULSE					83	
BP (NIBP)					186/92 Larmly	
Manual BP			180/80 Larmly			
Insulin Multiplr					.07	
Glucose	16:20	16:22	16:26	16:30	17:00	17:08
GLUCOSE PCX, WHO	101 H					106 H
Medicated Drips	16:20	16:22	16:26	16:30	17:00	17:08
Insulin		2.9units/hr			3.2units/hr	
OUTPUT	16:20	16:22	16:26	16:30	17:00	17:08
Stool				1		
CARE PROVIDERS	SID	JLNO	JLNO	WCW	JLNO	SID

CC SYSTEM, ID(SID)
 Larmly Lt arm lying

NORMAN, JILL L(JLNO)RN

WILLIAMS, WENDY C(WCW)CA

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
 ROOM: *1070-A*

Page: 40

PERM

GOODWIN, DAVON
 MUSC
 Vital Signs, I&O
 FROM: 04/28/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 49Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 41

FLWSHEET	04/30						
Vital Signs	17:15	17:35	18:00	18:18	18:41	19:00	19:01
TEMP #1 in F			99.6F Oral				
PULSE	90	89 Radial	93 Radial				
RESPIRATIONS			18 Visual				
O2 SAT %			97% O2				
O2 flow			2 Liters				
O2 equipment			Nasich				
BP (NIBP)	179/89 Larmly	177/86 Rarmly	162/80 Rarmly				
Insulin Multiplr			.03			.05	
PAIN LEVEL			0 Numvlu				
Glucose	17:15	17:35	18:00	18:18	18:41	19:00	19:01
GLUCOSE PCX, WHO				87			88
Medicated Drips	17:15	17:35	18:00	18:18	18:41	19:00	19:01
Insulin			1.6units/hr				
I&O SUMMARY	17:15	17:35	18:00	18:18	18:41	19:00	19:01
Intake Total						300	
Output Total					581		
NET					-581	300	
INTAKE	17:15	17:35	18:00	18:18	18:41	19:00	19:01
Meds per tube							
InvasiveLineFish							
D5W/NaCl.45%+KCl						300	
Intake Total						300	
OUTPUT	17:15	17:35	18:00	18:18	18:41	19:00	19:01
Stool					1		
G-tube					550		
JP #1					30		
Output Total					581		
CARE PROVIDERS	JLNO	BM2	JLNO WCW	SID	WCW	JLNO	SID

CC SYSTEM, ID(SID)

MILLS, BRETT(BM2)PCT

NORMAN, JILL L(JLNO)RN

WILLIAMS, WENDY C(WCW)CA

Larmly Lt arm lying
Utire Iter(e)Nasich nasal cannula
Numvlu Numeric ValueOral oral
Radial radialRarmly Rt arm lying
Visual visual

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
 ROOM: *1070-A*

Page: 41

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 42

FLWSHEET	04/30						
Vital Signs	19:04	19:25	20:00	20:04	21:00	21:12	21:51
TEMP #1 in F							
PULSE							
RESPIRATIONS							
O2 SAT %							
O2 flow							
O2 equipment							
BP (NIBP)							
Glucose fs			101mg/dL		99mg/dL		
Insulin Multiplr			.05		.04		
Glucose	19:04	19:25	20:00	20:04	21:00	21:12	21:51
GLUCOSE PCX, WHO				101 H		99	97
Medicated Drips	19:04	19:25	20:00	20:04	21:00	21:12	21:51
Insulin		1.4units/hr	2.1units/hr		1.6units/hr		
I&O SUMMARY	19:04	19:25	20:00	20:04	21:00	21:12	21:51
Intake Total	90						
Output Total							
NET	90						
INTAKE	19:04	19:25	20:00	20:04	21:00	21:12	21:51
Meds per tube	30						
InvasiveLineFish	60						
Intake Total	90						
OUTPUT	19:04	19:25	20:00	20:04	21:00	21:12	21:51
Urine							
Output Total							
CARE PROVIDERS	JLNO	JLNO	JAC1 MGAL	SID	JAC1 MGAL	SID	SID

CC SYSTEM, ID(SID) COTTLE, JEAN A(JAC1)RN GALAMITON, MAXIMO(MGAL)CA
NORMAN, JILL L(JLNO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB - Vital Signs, I&O
ROOM: *1070-A*

Page: 42

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 43

FLWSHEET	04/30	05/01
Vital Signs	22:00	23:00 23:08 00:00 00:04 00:57 01:00
TEMP #1 In F	98.7F Oral	
PULSE	87 Radial	
RESPIRATIONS	20 Visual	
O2 SAT %	100% O2	
O2 flow	2 Liters	
O2 equipment	Nasicon	
BP (NIBP)	159/82 Rarmly	
Glucose fs	97mg/dL	106mg/dL 102mg/dL 97mg/dL
Insulin Multiplr	.03	.03 .03 .02
PAIN LEVEL		
Glucose	22:00	23:00 23:08 00:00 00:04 00:57 01:00
GLUCOSE PCX, WHO		106 H 102 H 97
Medicated Drips	22:00	23:00 23:08 00:00 00:04 00:57 01:00
Insulin	1.1units/hr	1.4units/hr 1.3units/hr .7units/hr
I&O SUMMARY	22:00	23:00 23:08 00:00 00:04 00:57 01:00
Intake Total		500
Output Total	400	
NET	-400	500
INTAKE	22:00	23:00 23:08 00:00 00:04 00:57 01:00
Oral		
D5W/NaCl.45%+KCl		500
Intake Total		500
OUTPUT	22:00	23:00 23:08 00:00 00:04 00:57 01:00
Urine	400	
G-tube		
JP #1		
JP #2		
Output Total	400	
CARE PROVIDERS	JAC1 MGAL	JAC1 SID JAC1 SID SID JAC1

CC SYSTEM, ID(SID)
Litre Ltr(s)
Nascon nasal cannula

Oral oral
Radial radial

COTTLE, JEAN A(JAC1)RN
Rarmly Rt arm lying
Visual visual

GALAMITON, MAXIMO(MGAL)CA

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 43

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE 18Y SEX M : LEON, STUART M
DOB [REDACTED] ID: 495654253 MR: 002657049
REQUIREMENTS: 05/05/11 03:00
OPT OUT:

Page: 44

FLWSHEET	05/01						
Vital Signs	02:00	02:06	03:00	03:09	04:00	04:02	05:00
TEMP #1 in F	99.5F Oral						
PULSE	105 Radial						
RESPIRATIONS	20 Visual						
O2 SAT %	100% O2						
O2 flow	2 Liters						
O2 equipment	Nascon						
BP (NIBP)	162/82						
Glucose fs	75mg/dL		105mg/dL		114mg/dL		113mg/dL
Insulin Multiplr	.01		.01		.02		.03
PAIN LEVEL	3 Numvlu						
Glucose	02:00	02:06	03:00	03:09	04:00	04:02	05:00
GLUCOSE PCX, WHO		75		105 H		114 H	
Medicated Drops	02:00	02:06	03:00	03:09	04:00	04:02	05:00
Insulin	.2units/hr		.5units/hr		1.1units/hr		1.6units/hr
I&O SUMMARY	02:00	02:06	03:00	03:09	04:00	04:02	05:00
Intake Total	0						500
Output Total	803						150
NET	-803						350
INTAKE	02:00	02:06	03:00	03:09	04:00	04:02	05:00
Oral	0						
D5W/NaCl.45%+KCl							500
Intake Total	0						500
OUTPUT	02:00	02:06	03:00	03:09	04:00	04:02	05:00
Urine	400						
G-tube	400						
JP #1	0						
JP #2	3						
Wound drn #1							150
Output Total	803						150
CARE PROVIDERS	JAC1 MGAL	SID	JAC1	SID	JAC1 MGAL	SID	JAC1

CC SYSTEM, ID(SID)

Litters
Nascon nasal cannula

COTTLE, JEAN A(JAC1)RN

Numvlu
OralRadial
Visual

GALAMITON, MAXIMO(MGAL)CA

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 44

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/26/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 45

Flowsheet	05/01						
Vital Signs	05:10	06:00	06:12	06:26	06:56	06:58	06:59
TEMP #1 in F				97.8F Oral			
PULSE				97 Radial			
RESPIRATIONS				20 Visual			
O2 SAT %				98% O2			
O2 flow				2 Liters			
O2 equipment				Nascon			
BP (NIBP)				151/82 Rarmly			
Glucose fs		103mg/dL			104mg/dL		
Insulin Multiplr		.03				.03	
PAIN LEVEL				5 Numvlu			
Glucose	05:10	06:00	06:12	06:26	06:56	06:58	06:59
GLUCOSE PCX, WHO	113 H		103 H		104 H		
Medicated Drips	05:10	06:00	06:12	06:26	06:56	06:58	06:59
Insulin		1.3units/hr					1.3units/hr
I&O SUMMARY	05:10	06:00	06:12	06:26	06:56	06:58	06:59
Output Total		600					
NET		-600					
OUTPUT	05:10	06:00	06:12	06:26	06:56	06:58	06:59
Urine		600					
Output Total		600					
CARE PROVIDERS	SID	JAC1	SID	MGAL	SID	JAC1	JAC1

CC SYSTEM, ID(SID)

Liter
Nascon
liter(s)
nasal cannula

Numvlu
Oral
Numeric Value
oral

COTTLE, JEAN A(JAC1)RN

Radial
Rarmly
radial
Rt arm lying

GALAMITON, MAXIMO(MGAL)CA

Visual
visual

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 45

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 46

FLOWSHEET		05/01							
Vital Signs	08:00	08:03	08:43	09:00	09:06	10:00	10:53	11:00	11:15
TEMP #1 in F						100.6F Oral			
PULSE						104 Radial			
RESPIRATIONS						26 Visual&			
O2 SAT %						98% Rmair			
BP (NIBP)						177/88 Rarmly&			
Insulin Multiplr	0.02			0.02					
PAIN LEVEL						0 Sleepg			
05/01/11 10:00 RESPIRATIONS(BM2): rn aware									
05/01/11 10:00 BP (NIBP)(BM2): rn aware									
Glucose	08:00	08:03	08:43	09:00	09:06	10:00	10:53	11:00	11:15
GLUCOSE PCX, WHO		109 H			117 H				
I&O SUMMARY	08:00	08:03	08:43	09:00	09:06	10:00	10:53	11:00	11:15
Intake Total			0				0	400	0
Output Total							0		
NET			0				0	400	0
INTAKE	08:00	08:03	08:43	09:00	09:06	10:00	10:53	11:00	11:15
Oral							0		
D5W/NaCl.45%+KCl			0					400	
Insulin									0
Intake Total			0				0	400	0
OUTPUT	08:00	08:03	08:43	09:00	09:06	10:00	10:53	11:00	11:15
Urine							0		
Output Total							0		
CARE PROVIDERS	SPS1	SID	SPS1	SPS1	SID	BM2	BM2	SPS1	SPS1

CC SYSTEM, ID(SID)

Oral oral
Radial radial

MILLS, BRETT(BM2)PCT

Rarmly Rt arm lying
Rmair room airSleepg sleeping
Visual visual

SHEFTON, SENACA P(SPS1)RN

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Vital Signs, I&O
ROOM: *1070-A*

Page: 46

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 47

FLOWSHEET		05/01						
Vital Signs	11:36	12:00	14:12	15:00	15:37	18:21	18:23	18:32
TEMP #1 in F			99.3F Oral			99.3F Oral		
PULSE			97 Radial			100 Radial		
RESPIRATIONS			25 Visual			25 Visual		
O2 SAT %			99% Rmair			100% Rmair		
BP (NIBP)			158/86 Rarmly			162/89 Rarmly		
PAIN LEVEL			4 Numvlu			4 Numvlu		
Glucose	11:36	12:00	14:12	15:00	15:37	18:21	18:23	18:32
GLUCOSE PCX, WHO	116 H				151 H			
I&O SUMMARY	11:36	12:00	14:12	15:00	15:37	18:21	18:23	18:32
Intake Total		120	100	880			150	
Output Total			2075				350	400
NET		120	-1975	880			-200	-400
INTAKE	11:36	12:00	14:12	15:00	15:37	18:21	18:23	18:32
Oral			100				150	
Tube feeding				380				
Tube feed flush		90		90				
Meds per tube		30		10				
D5W/NaCl.45%+KCl				400				
Intake Total		120	100	880			150	
OUTPUT	11:36	12:00	14:12	15:00	15:37	18:21	18:23	18:32
Urine			1000				350	
Stool			1&					
G-tube			325					400
JP #1			10					
JP #2			15					
Wound drn #1			725					
Output Total			2075				350	400
05/01/11 14:12 Stool(BM2): Liquid, BLoody, substantial								
CARE PROVIDERS	SID	SPS1	BM2	SPS1	SID	BM2	BM2	BM2

CC SYSTEM, ID(SID)
Numvlu Numeric Value
Oral oral

Radial radial
Rarmly Rt arm lying

MILLS, BRETT(BM2)PCT
Rmair room air
Visual visual

SHEFTON, SENACA P(SPS1)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Vital Signs, I&O
ROOM: *1070-A*

Page: 47

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:
Page: 48

FLWSHEET	05/01				05/02			
Vital Signs	18:50	19:30	19:33	20:40	21:47	23:28	00:00	02:04
TEMP #1 in F					99.7F			100.7F Oral
PULSE					111 Brachl			112 Brachl
RESPIRATIONS					24 Visual			22 Visual
O2 SAT %					99% Rmair			99% Rmair
BP (NIBP)					159/95 Larmly			(161/95 Rarmly&)
PAIN LEVEL					4 Numvlu			5 Numvlu
05/02/11 02:04 BP (NIBP)(ET72): rn notified								
Glucose	18:50	19:30	19:33	20:40	21:47	23:28	00:00	02:04
GLUCOSE PCX, WHO			168 H			111 H		
I&O SUMMARY	18:50	19:30	19:33	20:40	21:47	23:28	00:00	02:04
Intake Total	810			0			1065	0
Output Total		400			465			0
NET	810	-400		0	-465		1065	0
INTAKE	18:50	19:30	19:33	20:40	21:47	23:28	00:00	02:04
Oral								0
Tube feeding	380						475	
Tube feed flush	30						30	
Meds per tube							60	
D5W/NaCl.45%+KCl	400			0			500	
Intake Total	810			0			1065	0
OUTPUT	18:50	19:30	19:33	20:40	21:47	23:28	00:00	02:04
Urine					0			0
Urine		1			0			0
Foley cath					325			0
Stool		1&			1			
G-tube					125			0
JP #1					15			0
JP #2								0
Wound drn #1		400						
Output Total		400			465			0
05/01/11 19:30 Stool(BM2): Bloody, liquid, substantial								
CARE PROVIDERS	SPS1	BM2	SID	JAC1	ET72	SID	JAC1	ET72

CC SYSTEM, ID(SID)

COTTLE, JEAN A(JAC1)RN

MILLS, BRETT(BM2)PCT

SHEFTON, SENACA P(SPS1)RN

TRIA, EMMANUEL(ET72)PCT

Brachl
LarmlyNumvlu
OralRarmly
RmairVisual
visual

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 48

PERM

GOODWIN, DAVON
 MUSC
 Vital Signs, I&O
 FROM: 04/28/11 02:56 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 49

Flowsheet	05/02							
Vital Signs	04:18	05:57	06:00	06:16	07:58	08:00	08:38	09:00
TEMP #1 in F				99.8F Oral				
PULSE				88 Brachl				
RESPIRATIONS				22 Visual				
O2 SAT %				99% Rmair				
BP (NIBP)				161/93 Larmly				
PAIN LEVEL				4 Numvlu				
Glucose	04:18	05:57	06:00	06:16	07:58	08:00	08:38	09:00
GLUCOSE PCX, WHO	117 H				134 H			
I&O SUMMARY	04:18	05:57	06:00	06:16	07:58	08:00	08:38	09:00
Intake Total		1260						240
Output Total			500	555		1	400	
NET		1260	-500	-555		-1	-400	240
INTAKE	04:18	05:57	06:00	06:16	07:58	08:00	08:38	09:00
Oral								240
Tube feeding		570						
Tube feed flush		30						
Meds per tube		80						
D5W/NaCl.45%+KCl		600						
Intake Total		1260						240
OUTPUT	04:18	05:57	06:00	06:16	07:58	08:00	08:38	09:00
Urine							400	
Foley oath				555				
Emesis						1		
Wound drn #1			500					
Output Total			500	555		1	400	
CARE PROVIDERS	SID	JAC1	JAC1	ET72	SID	MP04	MP04	MP04

CC SYSTEM, ID(SID)

COTTLE, JEAN A(JAC1)RN

PASCARELLA, MELISSA(MP04)RN

TRIA, EMMANUEL(ET72)PCT

 Brachl
 Larmly

 Numvlu
 Oral

 Rmair
 Visual

CONTINUED

 GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
 ROOM: *1070-A*

Page: 49

PERM

GOODWIN, DAVON
 MUSC
 Vital Signs, I&O
 FROM: 04/28/11 02:56 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/28/11 03:18
 AGE: 18Y SEX: M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:06
 OPT OUT:

Page: 50

FLWSHEET	05/02							
Vital Signs	10:00	12:00	12:05	14:00	14:11	14:12	15:00	15:59
TEMP #1 in F	98.1F Oral			98.4F Oral				
PULSE	85 Radial			80 Radial				
RESPIRATIONS	20 Visual			20 Visual				
O2 SAT %	98% Rmair			99% Rmair				
BP (NIBP)	167/92 Rarmly&			172/95 Rarmly&				
PAIN LEVEL	6 Numvlu			4 Numvlu				
WEIGHT in Kg								

05/02/11 10:00 BP (NIBP)(ALB3): RN Missy aware

05/02/11 14:00 BP (NIBP)(ALB3): RN Missy aware

Glucose	10:00	12:00	12:05	14:00	14:11	14:12	15:00	15:59
GLUCOSE PCX, WHO			141 H					107 H

I&O SUMMARY	10:00	12:00	12:05	14:00	14:11	14:12	15:00	15:59
Intake Total		240				120	240	
Output Total					25			
NET		240			-25	120	240	

INTAKE	10:00	12:00	12:05	14:00	14:11	14:12	15:00	15:59
Oral		240				120	240	
Intake Total		240				120	240	

OUTPUT	10:00	12:00	12:05	14:00	14:11	14:12	15:00	15:59
JP #2					25			
Output Total					25			

CARE PROVIDERS	ALB3	MP04	SID	ALB3	ALB3	ALB3	MP04	SID

BOSTER, ALIX(ALB3)PCT

CC SYSTEM, ID(SID)

PASCARELLA, MELISSA(MP04)RN

Numvlu Numeric Value
Oral oralRadial radial
Rarmly R arm lyingRmair room air
Visual visual

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
 ROOM: *1070-A*

Page: 50

PERM

GOODWIN, DAVON
 MUSC
 Vital Signs, I&O
 FROM: 04/26/11 02:55 TO: 05/04/11 18:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE: 19Y SEX: M : LEON, STUART M
 DOB: ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 51

FLWSHEET	05/02								
Vital Signs	18:22	18:23	18:24	17:18	17:21	18:00	18:04	18:29	20:00
TEMP #1 in F						85.5F Oral			
PULSE						97 Radial			
RESPIRATIONS						24 Visual			
O2 SAT %						99% Rmair			
BP (NIBP)						160/95 Rarmly			
PAIN LEVEL						10 Numvlu			
WEIGHT in Kg	92.2kg								
Glucose	18:22	18:23	18:24	17:18	17:21	18:00	18:04	18:29	20:00
GLUCOSE PCX, WHO									
I&O SUMMARY	18:22	18:23	18:24	17:18	17:21	18:00	18:04	18:29	20:00
Intake Total					360				610
Output Total		950	0	700			950		
NET		-950	0	-700	360		-950		610
INTAKE	18:22	18:23	18:24	17:18	17:21	18:00	18:04	18:29	20:00
Oral					360				
Tube feeding									380
Tube feed flush									30
D5W/NaCl.45%+KCl									200
Intake Total					360				610
OUTPUT	18:22	18:23	18:24	17:18	17:21	18:00	18:04	18:29	20:00
Foley cath		950					950		
Emesis								1	
JP #1			0						
Wound drn #1				700					
Output Total		950	0	700			950		
CARE PROVIDERS	ALB3	ALB3	ALB3	MP04	MP04	ALB3	ALB3	ALB3	DLRO

BOSTER, ALIX(ALB3)PCT
 Numvlu Numeric Value
 Oral oral

PASCARELLA, MELISSA(MP04)RN
 Radial radial
 Rarmly Rt arm lying

ROBERTS, DUSTI L(DLRO)RN
 Rmair room air
 Visual visual

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: - Vital Signs, I&O
 ROOM: *1070-A*

Page: 51

PERM

GOODWIN, DAVON
 MUSC
 Vital Signs, I&O
 FROM: 04/26/11 02:55 TO: 05/04/11 16:07
 ROOM: *1070-A* ADM: 04/26/11 03:18
 AGE 48Y SEX M : LEON, STUART M
 DOB: [REDACTED] ID: 495654253 MR: 002657049
 REQUESTED: 05/05/11 00:00
 OPT OUT:

Page: 52

FLWSHEET	05/02		05/03				
Vital Signs	20:02	22:29	22:30	23:00	23:31	00:00	01:00
TEMP #1 in F		95.3F Oral					
PULSE		97 Radial			88 Radial		
RESPIRATIONS		20 Visual					
O2 SAT %		100% Rmair					
BP (NIBP)		186/97 Rarmly			167/97 Rarmly		
PAIN LEVEL		5 Numvlu					
Glucose	20:02	22:29	22:30	23:00	23:31	00:00	01:00
GLUCOSE PCX, WHO	125 H			126 H			
I&O SUMMARY	20:02	22:29	22:30	23:00	23:31	00:00	01:00
Intake Total						610	
Output Total			700				400
NET			-700			610	-400
INTAKE	20:02	22:29	22:30	23:00	23:31	00:00	01:00
Tube feeding						380	
Tube feed flush						30	
D5W/NaCl.45%+KCl						200	
Intake Total						610	
OUTPUT	20:02	22:29	22:30	23:00	23:31	00:00	01:00
Urine							400
G-tube			700				
Output Total			700				400
CARE PROVIDERS	SID	BRB6	DLRO	SID	BRB6	DLRO	DLRO

BAKER, BRIAN(BRB6)PCT
 Numvlu Numeric Value
 Oral oral

CC SYSTEM, ID(SID)
 Radial radial
 Rarmly Rt arm lying
 Rmair room air
 Visual visual

ROBERTS, DUSTI L(DLRO)RN

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
 ROOM: *1070-A*

Page: 52

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/26/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:19
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 53

FLWSHEET	05/03						
Vital Signs	01:22	03:00	03:04	04:00	04:26	05:05	05:24
TEMP #1 in F	98.8F Oral						96.7F Oral
PULSE	97 Brachl						93 Brachl
RESPIRATIONS	18 Visual						18 Visual
O2 SAT %	99% Rmair						99% Rmair
BP (NIBP)	185/96 Larmly	162/88 Larm&					187/95 Larmly
PAIN LEVEL	5 Numvlu						6 Numvlu
05/03/11 03:00 BP (NIBP)(DLRO): Manual							
Glucose	01:32	03:00	03:04	04:00	04:26	05:05	05:24
GLUCOSE PCX, WHO			116 H				
I&O SUMMARY	01:32	03:00	03:04	04:00	04:26	05:05	05:24
Intake Total				610			
Output Total		200			500	250	1610
NET		-200		610	-500	-250	-1610
INTAKE	01:32	03:00	03:04	04:00	04:26	05:05	05:24
Tube feeding				380			
Tube feed flush				30			
D5W/NaCl.45%+KCl				200			
Intake Total				610			
OUTPUT	01:32	03:00	03:04	04:00	04:26	05:05	05:24
Urine		200			500		
G-tube							1600
JP #2							10
Wound drn #1						250	
Output Total		200			500	250	1610
CARE PROVIDERS	BRB5	DLRO	SID	DLRO	BRB5	DLRO	BRB5

BAKER, BRIAN(BRB5)PCT

CC SYSTEM, ID(SID)

ROBERTS, DUSTI L(DLRO)RN

Brachl brachial
Larm arm LLarmly Lt arm lying
Numvlu Numeric ValueOral oral
Rmair room air

Visual visual

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 53

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 40Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:06
OPT OUT:

Page: 54

FLWSHEET	05/03						
Vital Signs	07:48	07:57	08:43	10:00	10:19	10:30	10:58
TEMP #1 in F				97.9F Oral			
PULSE				116 Radial	114 Radial	98 Radial	
RESPIRATIONS				22 Visual			
O2 SAT %				99% Rmair			
BP (NIBP)				157/106 Rarmly&			
Manual BP					170/100	158/96 Larm	
Glucose fs		125mg/dL					
FSBGreportedToRN		Done					
PAIN LEVEL				4 Numvlu			
05/03/11 10:00 BP (NIBP)(JTC): RN Corey aware							
Glucose	07:48	07:57	08:43	10:00	10:19	10:30	10:58
GLUCOSE PCX, WHO	125 H						
I&O SUMMARY	07:48	07:57	08:43	10:00	10:19	10:30	10:58
Intake Total				120			0
Output Total			750	870			
NET			-750	-550			0
INTAKE	07:48	07:57	08:43	10:00	10:19	10:30	10:58
Oral				120			
Tube feeding							
Tube feed flush							
D5W/NaCl.45%+KCl							0
Intake Total				120			0
OUTPUT	07:48	07:57	08:43	10:00	10:19	10:30	10:58
Urine				1			
Stool				1&			
G-tube			750	850			
JP #2				20			
Output Total			750	870			
05/03/11 10:00 Stool(JTC): small loose stool							
CARE PROVIDERS	SID	JTC	JTC	COS0 JTC	COS0	COS0	COS0
CARSON, JOHN T(JTC)CA	CC SYSTEM, ID(SID)			SLUSARSKI, COREY(COS0)RN			
Done arm L	Numvlu Oral	Numeric Value oral	Radial Rarmly	Radial Rt arm lying	Room Visual	Room Air Visual	

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 54

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 55

FLWSHEET	05/03						
Vital Signs	11:00	11:07	12:00	12:09	12:30	14:00	14:15
TEMP #1 in F						97F Oral	
PULSE	90 Radial					112 Brachl	
RESPIRATIONS						20 Visual	
O2 SAT %						99% Rmair	
BP (NIBP)						187/100 Rarmly&	
Manual BP	160/98 Larm						164/100 Rarm
FSBGreporiedToRN			Done				
PAIN LEVEL						5 Numvlu	
05/03/11 14:00 BP (NIBP)(JTC): RN Corey aware							
Glucose	11:00	11:07	12:00	12:09	12:30	14:00	14:15
GLUCOSE PCX, WHO				138 H			
I&O SUMMARY	11:00	11:07	12:00	12:09	12:30	14:00	14:15
Intake Total	410	200				240	
Output Total					850	50	
NET	410	200			-850	190	
INTAKE	11:00	11:07	12:00	12:09	12:30	14:00	14:15
Oral						240	
Tube feeding	380						
Tube feed flush	30						
D5W/NaCl.45%+KCl		200					
Intake Total	410	200				240	
OUTPUT	11:00	11:07	12:00	12:09	12:30	14:00	14:15
G-tube					850		
JP #2							
Wound drn #1						50	
Output Total					850	50	
CARE PROVIDERS	COS0	COS0	JTC	SID	JTC	COS0 JTC	COS0

CARSON, JOHN T(JTC)CA

CC SYSTEM, ID(SID)

SLUSARSKI, COREY(COS0)RN

Brachl
Done
Larm

brachial
done
arm L

Numvlu
Oral
Radial

Numeric Value
oral
radial

Rarm
Rarmly
Rmair

arm R
Rt arm lying
room air

Visual
visual

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 55

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/26/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/26/11 03:18
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 56

FLWSHEET	05/03								
Vital Signs	14:28	15:00	15:12	15:50	16:09	16:19	17:08	17:54	18:00
TEMP #1 in F									96.5F Oral
PULSE									125 Brachl&
RESPIRATIONS									22 Visual
O2 SAT %									99% Rmair
BP (NIBP)									203/101 Rarmly&
Manual BP									
PAIN LEVEL									5 Numvlu

05/03/11 18:00 PULSE(JTC): RN Corey aware

05/03/11 18:00 BP (NIBP)(JTC): RN Corey aware

Glucose	14:28	15:00	15:12	15:50	16:09	16:19	17:08	17:54	18:00
GLUCOSE PCX, WHO				125 H					

I&O SUMMARY	14:28	15:00	15:12	15:50	16:09	16:19	17:08	17:54	18:00

Intake Total	120	670					640		240
Output Total	460					5		100	800
NET	-340	670				-5	640	-100	-560

INTAKE	14:28	15:00	15:12	15:50	16:09	16:19	17:08	17:54	18:00

Oral	120								240
Tube feeding		380					380		
Tube feed flush		30					30		
Meds per tube		60					30		
D5W/NaCl.45%+KCl		200					200		
Intake Total	120	670					640		240

OUTPUT	14:28	15:00	15:12	15:50	16:09	16:19	17:08	17:54	18:00

Urine			1						
Stool			1&		1				
G-tube	450								800
JP #1					5				
JP #2	10								
Wound drn #1								100	
Output Total	460				5			100	800

05/03/11 15:12 Stool(JTC): small bm

CARE PROVIDERS	JTC	COS0	JTC	SID	COS0	JTC	COS0	COS0	JTC

CARSON, JOHN T(JTC)CA

CG SYSTEM, ID(SID)

SLUSARSKI, COREY(COS0)RN

Brachl
brachial
Numeric Value

Oral
Rarmly
oral
Rt arm lying

Rmair
Visual
room air
visual

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 56

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 18:07
ROOM: *1070-A* ADM: 04/26/11 03:19
AGE: 18Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 67

FLWSHEET	05/03						05/04
Vital Signs	18:08	18:20	18:59	20:15	22:00	23:36	01:52
TEMP #1 in F					99.7F Oral		98.3F Oral
PULSE	116 Radial	100 Radial			126 Brachl		125 Brachl
RESPIRATIONS					24 Visual		22 Visual
O2 SAT %					99% Rmair		100% Rmair
BP (NIBP)					150/65 Larm		
Manual BP	174/98 Rarm	160/98 Rarm					
PAIN LEVEL					5 Numvlu		7 Numvlu
Glucose	18:08	18:20	18:59	20:15	22:00	23:36	01:52
GLUCOSE PCX, WHO				121 H		99	
I&O SUMMARY	18:08	18:20	18:59	20:15	22:00	23:36	01:52
Output Total					560		0
NET					-560		0
OUTPUT	18:08	18:20	18:59	20:15	22:00	23:36	01:52
Urine			1		3		
Stool			1		3		
G-tube					550		
JP #1					5		
JP #2					5		
Wound drn #1							0
Output Total					560		0
CARE PROVIDERS	COS0	COS0	JTC	SID	PA35	SID	PA35

ALLS, PHINETHA(PA35)POT

CARSON, JOHN T(JTC)CA

CC SYSTEM, ID(SID)

SLUSARSKI, COREY(COS0)RN

Brachl brachial Numvlu Numeric Value

Radial radial

Rmair room air

Visual visual

CONTINUED

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 57

PERM

GOODWIN, DAVON
MUSC
Vital Signs, I&O
FROM: 04/28/11 02:55 TO: 05/04/11 16:07
ROOM: *1070-A* ADM: 04/28/11 03:18
AGE: 19Y SEX: M : LEON, STUART M
DOB: [REDACTED] ID: 495654253 MR: 002657049
REQUESTED: 05/05/11 00:00
OPT OUT:

Page: 58

FLWSHEET	05/04									
Vital Signs	02:00	03:47	04:14	04:15	06:51	06:55	07:29	08:05	08:06	
TEMP #1 in F					85.1F Oral					
PULSE					113 Brachl				(115&)	
RESPIRATIONS					24 Visual					
O2 SAT %					99% Rmair					
PAIN LEVEL					7 Numvlu					

05/04/11 08:06 PULSE(JTC): The patient was sitting up in the wheelchair when I did a manual pulse. The patient was verbal and complaining of pain. RN Marianne notified.

Glucose	02:00	03:47	04:14	04:15	06:51	06:55	07:29	08:05	08:06
GLUCOSE PCX, WHO		180 H					117 H		
I&O SUMMARY	02:00	03:47	04:14	04:15	06:51	06:55	07:29	08:05	08:06
Intake Total						2960			
Output Total	1600		905	0			2100		
NET	-1600		-905	0		2960		-2100	
INTAKE	02:00	03:47	04:14	04:15	06:51	06:55	07:29	08:05	08:06
Oral						1050			
Tube feeding						1140			
Tube feed flush						120			
Meds per tube						50			
D5W/NaCl.45%+KCl						600			
Intake Total						2960			
OUTPUT	02:00	03:47	04:14	04:15	06:51	06:55	07:29	08:05	08:06
Urine				0					
Urine			1						
Stool				0					
G-tube	1600		800				2100		
JP #1			5						
Wound drn #1			100						
Output Total	1600		905	0			2100		
CARE PROVIDERS	RPGO	SID	TMM2	TMM2	PA35	TMM2	SID	MKWI	JTC

ALLS, PHINETHA(PA35)PCT
GOLUB, RACHEL P(RPGO)RN
Brachl brachial
Numvlu Numeric Value

CARSON, JOHN T(JTC)CA
MARTUCCI, TIFFANY(TMM2)RN
Visual visual

CC SYSTEM, ID(SID)
WITTENMEYER, MARIANNE K(MKWI)RN

LAST PAGE

GOODWIN, DAVON MR: 002657049 ID: 495654253 DOB: [REDACTED] - Vital Signs, I&O
ROOM: *1070-A*

Page: 58

PERM

Ex: Nursing Records, Physician Orders, Progress Notes, etc.

[illegible]

This form is used to indicate documents that were not received (in Health Information Services) with the medical record at the time of discharge.

4-5 1-2-13

499



"INTRAOPNOTE"
POST-PROCEDURE / OPERATIVE NOTE

Form Origination Date: 1/00
Version: 8

Version Date: 1/10

Patient Name
MRN

PATIENT IDENTIFICATION LABEL



P:495654253 DOB: [REDACTED] U
TRAUMA
VICTIM PP
Adm:04/26/11 M:2657049

ALL STARRED (*) ELEMENTS OF THIS FORM MUST BE COMPLETED

UMA Providers: To effectively track the clinic location where the patient originated from, check the following (other than MUSC Campus Clinics).
☐ Beaufort ☐ E. Cooper ☐ Florence ☐ Greenville ☐ N. Chas ☐ Trident ☐ W. Ashley ☐ Other:

PRE-OP DIAGNOSIS, POST-OP DIAGNOSIS AND PROCEDURE MUST BE WRITTEN OUT

* DATE: 4/26/11
* PRE-OP DIAGNOSIS: Gun to Abdomen
* POST-OP DIAGNOSIS: Same
* PROCEDURE: Damage Control Laparotomy Repair of Tapered
POST-OP DIAGNOSIS: ICD-9 Code Injuries to SMA, SMV, through
1. 2. duodenal injury (D31) & repair through
* CPT Code Injuries to IVC (Intrahepatic)

Procedure 1	Procedure 2	Procedure 3	Procedure 4	Procedure 5	Procedure 6	Procedure 7	Procedure 8
Procedure 9	Procedure 10	Procedure 11	Procedure 12	Procedure 13	Procedure 14	Procedure 15	Procedure 16

MODIFIERS: Put modifier with procedure number in above box(es)

-22 unusual	-50 bilateral	-51 multiple	-52 reduced service	-53 discontinued
-54 surgery only	-55 postop mgmt only	-56 preop mgmt only	-58 staged / related	-59 distinct procedure
-62 two surgeons	-66 team	-76 repeat / same MD	-77 repeat / different MD	-78 return to OR
-79 unrelated / same MD	-82 asst surgeon, no resident available			

* ATTENDING SURGEON: S. Lee, MD ASSISTANT: C. Selinger MD
CO-SURGEON: ANESTHESIA: G. E. D. A.
* SPECIMEN REMOVED: ☒ None ☐ Yes, (specify)
RETAINED ITEM(S) TO BE REMOVED: ☒ N/A ☐ Tap packs (4x5) DATE TO BE REMOVED:
* ESTIMATED BLOOD LOSS: ☐ None ☒ Amount 1500 cc 8700 cc controlled 400 cc uncontrolled
COMPLICATIONS: ☐ No ☒ Yes, indicate Hypotension 4x4 PABC

* FINDINGS: Gun to Lung, through the hepatic se, 3, lacerated, passed through

DICTATION JOB NUMBER: 6040068 lesser sac, tapered injuries to
SMV & SMA, through D31, through
IVC
☒ I was present for the entire procedure.
☐ I was present for the key portions of the procedure and immediately available for the entire procedure.
☐ I was present for the key portions of the procedure and either I or Dr. was immediately available for the entire procedure.
☐ Overlapping Procedures:
You must indicate what the Key Portions of the procedure were, and Dr. was immediately available.
Key Portions:

As attending physician, I verify that this represents an accurate description of services rendered.

*Attending Signature: [Signature] Doctor # 72639 *Pager ID 14334 *Date 4/26/11 *Time 05:02 AM PM
intraop1 OTE 700194 Rev. 1/10



"INTRAOPNOTE"
POST-PROCEDURE / OPERATIVE NOTE
 Page 1 of 1

Form Origination Date: 1/00
 Version: 8

Version Date: 1/10

P:495654253 DOB: **GOODWIN** B M
DAVON
 Adm: 04/26/11 M: 2657049

Patient Name
 MRN

PATIENT IDENTIFICATION LABEL

ALL STARRED (*) ELEMENTS OF THIS FORM MUST BE COMPLETED

UMA Providers: To effectively track the clinic location where the patient originated from, check the following (other than MUSC Campus Clinics).

☐ Beaufort ☐ E. Cooper ☐ Florence ☐ Greenville ☐ N. Chas ☐ Trident ☐ W. Ashley ☐ Other:

PRE-OP DIAGNOSIS, POST-OP DIAGNOSIS AND PROCEDURE MUST BE WRITTEN OUT

* DATE: 4/27/11

* PRE-OP DIAGNOSIS: CSW to abdomen

* POST-OP DIAGNOSIS: same

* PROCEDURE: Open repair of hernia, inguinal, right side, partial, paraly
 POST-OP DIAGNOSIS: ICD-9 Code 68.61, 68.62, 68.63, 68.64, 68.65, 68.66, 68.67, 68.68, 68.69, 68.70, 68.71, 68.72, 68.73, 68.74, 68.75, 68.76, 68.77, 68.78, 68.79, 68.80, 68.81, 68.82, 68.83, 68.84, 68.85, 68.86, 68.87, 68.88, 68.89, 68.90, 68.91, 68.92, 68.93, 68.94, 68.95, 68.96, 68.97, 68.98, 68.99

1.	2.	3.	4.	5.

*** CPT Code**

Procedure 1	Procedure 2	Procedure 3	Procedure 4	Procedure 5	Procedure 6	Procedure 7	Procedure 8
Procedure 9	Procedure 10	Procedure 11	Procedure 12	Procedure 13	Procedure 14	Procedure 15	Procedure 16

MODIFIERS: Put modifier with procedure number in above box(es)

-22 unusual	-50 bilateral	-51 multiple	-52 reduced service	-53 discontinued
-54 surgery only	-55 postop mgmt only	-56 preop mgmt only	-58 staged / related	-59 distinct procedure
-62 two surgeons	-66 team	-76 repeat / same MD	-77 repeat / different MD	-78 return to OR
-79 unrelated / same MD	-82 asst surgeon, no resident available			

* ATTENDING SURGEON: Narvesh

ASSISTANT: Perry, Cindy

CO-SURGEON:

ANESTHESIA: GA

* SPECIMEN REMOVED: ☒ None ☐ Yes, (specify)

RETAINED ITEM(S) TO BE REMOVED: ☐ N/A ☒ Multiple tubes, sponges DATE TO BE REMOVED: TBD

* ESTIMATED BLOOD LOSS: ☐ None ☒ Amount Minimal

COMPLICATIONS: ☐ No ☐ Yes, indicate

* FINDINGS: Bowel viable. Suture line on duodenum intact but a large serosal tear making a layer closure impossible. Free extremity, clamped. @ duodenum suture @ umbilical process.

DICTIONATION JOB NUMBER: 6040 339

☒ I was present for the entire procedure.

☐ I was present for the key portions of the procedure and immediately available for the entire procedure.

☐ I was present for the key portions of the procedure and either I or Dr. _____ was immediately available for the entire procedure.

☐ Overlapping Procedures:

You must indicate what the Key Portions of the procedure were, and Dr. _____ was immediately available.
 Key Portions:

As attending physician, I verify that this represents an accurate description of services rendered.

*Attending Signature
 Intraop1

Doctor # 15716

*Pager ID 14223

*Date 4/27/11

*Time 10:30 AM

OTE 700194 Rev. 1/10